

0001 KLEPPER  
 1 CAUSE NO. 40,551  
 2 [REDACTED] IN THE COUNTY COURT  
 3 ET AL.,  
 4 Plaintiffs,  
 5 VS. AT LAW  
 6 OWENS CORNING, ET AL.,  
 7 Defendants. SMITH COUNTY, TEXAS

10 \*\*\*\*\*  
 11 VIDEO DEPOSITION OF  
 12 MARK KLEPPER, M.D.  
 13 JUNE 20, 2000  
 14 VOLUME 1  
 15 \*\*\*\*\*

17 VIDEO DEPOSITION OF MARK KLEPPER, M.D., produced  
 18 as a witness at the instance of the Plaintiffs, and  
 19 duly sworn, was taken in the above-styled and numbered  
 20 cause on the 20th of June, 2000, from 2:50 PM to 7:20  
 21 PM, before Cinnamon Boyle, CSR in and for the State of  
 22 Texas, reported by machine shorthand, at the offices of  
 23 Mark Klepper, M.D., 1305 West 34th Street, Suite 200,  
 24 Austin, Texas, pursuant to the Texas Rules of Civil  
 25 Procedure.

0002  
 1 A P P E A R A N C E S  
 2 FOR THE PLAINTIFFS:  
 3 ALEX BARLOW  
 4 JENNYFER GRAY  
 5 BAROW & BUDD  
 3102 Oak Lawn  
 Suite 1100  
 Dallas, Texas 75219  
 Page 1

12 KLEPPER  
 13 Reporter's Certificate..... 151  
 14

15 EXHIBITS

NO.	DESCRIPTION	PAGE
17 1	Asbestos Causes.....	5
18 2	Asbestosis Progression.....	5
19 3	More than 50% of Deaths Asbestos Related.	5
20 4	Long Term Radiological Effects of Short Term Exposure to Amosite Asbestos Among Factory Workers.....	5
22 5	Roentgenographic Underestimation of Early Asbestosis by International Labor Organization Classification.....	5
24 6	Curriculum Vitae for Mark Stuart Klepper, M.D.....	5

0004

NO.	DESCRIPTION	PAGE
2 7	Examination of Mr. [REDACTED].....	5
3 8	ILO Form dated 10-31-96.....	5
4 9	Pleural Plaques and Risk for Bronchial Carcinoma and Mesothelioma.....	29
5 10	ILO Form dated 05-24-00.....	115
6 11	Respiratory Questionnaire.....	120
7 12	ILO Form dated 12-11-96.....	121
8 13	ILO Form dated 06-05-00.....	124
9 14	ILO Form dated 05-24-00.....	124
10 15	The Diagnosis of Nonmalignant Diseases Related to Asbestos.....	150

6 KLEPPER  
 7 FOR THE DEFENDANT SWAN TRANSPORTATION COMPANY:  
 8 LISA POWELL  
 9 JACKSON & WALKER  
 10 1100 Louisiana Street  
 11 Suite 4200  
 Houston, Texas 77002-5219  
 (713) 752-4200  
 12 FOR THE DEFENDANT DALLOZ SAFETY, INC.:  
 13 RYAN A. BEASON  
 14 FAIRCHILD, PRICE, THOMAS,  
 15 HALEY & WILLINGHAM, LLP  
 16 440 Louisiana Street  
 Suite 2110  
 Houston, Texas 77002  
 (713) 426-1700

18 ALSO PRESENT:  
 19 KELLY SLAYTOR - Videographer

0003

INDEX	PAGE
2 Appearances.....	2
3	
4	
5 MARK KLEPPER, M.D.	
6 Examination by MR. BARLOW.....	5
7 Examination by MS. POWELL.....	38
8 Examination by MR. BEASON.....	126
9 Examination by MR. BARLOW.....	135
10 Examination by MS. POWELL.....	141
11 Examination by MR. BARLOW.....	148

Page 2

17 KLEPPER  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25  
 0005  
 1 P R O C E E D I N G S  
 2 (Exhibit Nos. 1 through 8 were marked)  
 3 THE VIDEOGRAPHER: Stand by. Going on  
 4 record at 2:50.  
 5 MARK KLEPPER, M.D.,  
 6 having been first duly sworn testified as follows:  
 7 DIRECT EXAMINATION  
 8 BY MR. BARLOW:  
 9 Q. Good afternoon, Doctor.  
 10 A. Good afternoon.  
 11 Q. Could you introduce yourself to the ladies and  
 12 gentlemen of the jury?  
 13 A. My name is Mark Klepper.  
 14 Q. And I call you doctor. Are you a medical  
 15 doctor?  
 16 A. Yes.  
 17 Q. What kind of medical doctor?  
 18 A. I am a pulmonologist, internal medicine,  
 19 pulmonary and critical care physician.  
 20 Q. And in the past, have you examined Mr [REDACTED]  
 21 [REDACTED]?  
 22 A. Yes, I have.  
 Page 4

23 Q. What was your diagnosis of Mr. [REDACTED]?  
24 A. When I saw Mr. [REDACTED] for independent medical  
25 evaluation, I determined at that time that he had been

0006

1 exposed to asbestos fiber dust in the work place and  
2 had made a diagnosis of asbestosis and asbestos-related  
3 pleural disease or pleural plaque.

4 Q. Doctor, what are the health consequences of  
5 Mr. [REDACTED] asbestosis?

6 A. Because asbestosis is considered to be a  
7 progressive disease, this man faces progressive  
8 fibrotic lung disease, progressive shortness of breath,  
9 cough, right-sided heart failure that we prefer to as  
10 cor pulmonale, lung cancer, several types of cancer.  
11 And in addition to the fibrosis itself being a comorbid  
12 phenomenon, that is any time he gets sick with  
13 something else, he may well have more problems related  
14 to his asbestos disease.

15 Q. What is your opinion, Doctor, within a  
16 reasonable degree of medical certainty as to whether or  
17 not Mr. [REDACTED] is likely to die of an asbestos-related  
18 disease?

19 A. It's my opinion that his chances are more  
20 likely than not that he will die of an asbestos-related  
21 phenomenon whether that be cancer, respiratory failure  
22 or again a contribution to another disease such as  
23 pneumonia.

24 Q. Doctor, I want to go into more detail in your  
25 testimony today, and I want to go over three areas

0007

1 basically. I want to go over your background which

8 care training. And I finished that in 1991 and came to  
9 town here in Austin and joined the group I'm with now.

10 Q. And the group you're with now, is that the  
11 largest pulmonary group in Austin?

12 A. Yes.

13 Q. Doctor, have you ever been in the military?

14 A. I was in the Reserves, the Army Reserves.

15 Q. Can you tell the jury what you did in the Army  
16 Reserves?

17 A. I drilled on the weekends and did some  
18 rotations out of town in military hospitals. I never  
19 left the states and did that for approximately five  
20 years. I'm out now.

21 Q. You were serving as a doctor, though?

22 A. That's correct.

23 Q. Did you serve -- I think, you may have  
24 mentioned this. Can you tell the jury a little bit  
25 more about your time as a pulmonary fellow?

0009

1 A. Pulmonary fellowship is now three years. When  
2 I did it, it was a two-year stint in which you  
3 rotate -- I rotated between a county hospital, Ben  
4 Taub, the VA Hospital and the private sector which at  
5 that time was Methodist Hospital spending approximately  
6 one or two months at each facility in a rotational  
7 manner doing ICU blocks. I did some research in ICU  
8 nutrition. The fellowship involved not only hands-on  
9 care but supervision of medical students and residents  
10 giving lectures, regular conferences involving  
11 pathologists, radiologists, et cetera. And, in fact,  
12 at Baylor there are some -- several pathologists that

2 qualifies you to diagnose Mr. [REDACTED] and testify in  
3 this case. I want to go over Mr. [REDACTED] disease, the  
4 asbestosis, and I want to go over what Mr. [REDACTED] can  
5 expect in the future as a result of this disease.

6 A. Okay.

7 Q. Can we do that? Let's start with your  
8 background. Where do you practice?

9 A. I practice here in Austin.

10 Q. And tell the jury because they may not have  
11 heard yet what a pulmonologist is?

12 A. I'm a partner in a group of nine  
13 pulmonologists, and we do pulmonary and critical care  
14 medicine which runs a spectrum of outpatient care of  
15 asthma, inpatient care of pneumonia, intensive care  
16 work, working with people with life-threatening  
17 diseases. We also do some occupational medicine and as  
18 well as some internal medicine.

19 Q. What kind of training and education did you  
20 receive to be a pulmonologist?

21 A. You go through medical school, and then you  
22 typically do three years of internal medicine  
23 residency.

24 Q. Doctor, let me stop you. Let's talk about  
25 what you specifically did about your educational

0008

1 background.

2 A. Okay. I did college in medical school in six  
3 years instead of eight years in Missouri, essentially  
4 went year around and then did my training at Baylor in  
5 Houston and did three years of internal medicine  
6 training and two years of pulmonary fellowship which at  
7 the time was a combination of pulmonary and critical

13 are interested in asbestos and have done a lot of work  
14 in the area of asbestos disease and mesothelioma, and  
15 so it's a global type of experience.

16 Q. Doctor, is your medical license currently on  
17 file with the state of Texas?

18 A. Yes, sir.

19 Q. Can you tell the jury what a typical day for  
20 you is in your practice?

21 A. We usually work about 12 to 18 hours a day  
22 taking night call about twice a week which means  
23 getting calls in the middle of the night all night long  
24 and usually leaving to come back in at least once for  
25 emergency room stuff or ICU consultation. I have three

0010

1 half days of office per week where I see people with  
2 emphysema and asthma and follow-ups from the hospital.  
3 The remainder of that time I spend in the hospitals  
4 making rounds. We have a huge practice that spans four  
5 acute hospitals and three or four rehab hospitals.

6 Q. All right. Let's take a break right now.

7 MR. BARLOW: Go off the record for a  
8 second.

9 THE VIDEOGRAPHER: Going off the record at  
10 2:58.

11 (Recess taken from 2:58 PM to 3:00 PM)

12 THE VIDEOGRAPHER: Stand by. Going on  
13 record at 3 o'clock.

14 Q. (BY MR. BARLOW) Doctor, can you tell the jury  
15 what kinds of patients, just give them the range of  
16 patients that you see, the kind of diseases that they  
17 have?

18 A. In the office we'll see patients with cough,  
Page 8

19 shortness of breath, previously diagnosed diseases like  
20 asthma or fluid collections around the lung, lung  
21 masses, abnormal x-rays of various forms. In the  
22 hospital, we see people with pneumonia, emphysema, lung  
23 cancer, sicker people. And in the ICU, we'll take care  
24 of patients post open-heart surgery, typically large  
25 vascular surgeries that require prolonged periods in

0011  
1 the operating room.

2 Q. Among the patients that you see, are there  
3 victims of asbestos exposure?

4 A. In our day-to-day practice, we see some  
5 patients with asbestos exposure and a handful of  
6 patients with asbestos disease whether that be  
7 pulmonary fibrosis or lung cancer or pleural plaque,  
8 but those are relatively rare in the general practice  
9 of a pulmonologist.

10 Q. Are you board certified as a pulmonologist?

11 A. Yes, sir.

12 Q. Can you tell the jury what all board  
13 certifications you have?

14 A. I'm board certified in internal medicine, in  
15 pulmonary medicine, and both of those require the  
16 indicated amount of training and doing, you know, an  
17 acceptable job and being recommended and then passing  
18 the tests, the board tests, for the two specialties.  
19 And it now requires giving -- requires recertification  
20 every ten years which is what I'm doing right now for  
21 both.

22 Q. Doctor, have you received any honors over the  
23 course of your academic career and in your medical

Page 9

KLEPPER

4 A. On a rotational basis, myself and my partners  
5 instruct residents from first-year residents to  
6 third-year residents in the ICU at Brackenridge  
7 Hospital which is what we consider the county hospital  
8 and make rounds with them on a regular basis and do  
9 talks on a daily basis, lectures and then give them  
10 essentially backup support for admissions and problems  
11 with their patients, the teaching service. So in  
12 essence we're on call for the Brackenridge emergency  
13 room every night for real sick patients whose doctor  
14 either doesn't want to come and see them or they don't  
15 have a doctor.

16 Q. Finally, Doctor, have you published in the  
17 peer review medical literature?

18 A. Yes. I have two articles that I wrote as a  
19 fellow. One was titled "A Case of Mountain Sickness"  
20 which is a type of pulmonary change that occurs in  
21 people who live at extreme altitude, and I also wrote a  
22 paper on pneumocystis in a nonAIDS patient in a kind of  
23 a unique setting both which were published in a peer  
24 review journal, Chest.

25 Q. Chest. And can you tell the ladies and

0014  
1 gentlemen of the jury what Chest is?

2 A. Chest is one of two or three main journals  
3 that a pulmonologist would subscribe to and read on a  
4 regular basis.

5 Q. Do pulmonologists go to Chest -- the Chest  
6 journal to find medical articles that they rely on in  
7 their practice?

8 A. Yes, sir.

Page 11

KLEPPER

24 career?

25 A. There are several honors noted in my CV. I

0012  
1 was on the Dean's list in medical school in Kansas City  
2 for the first several years. I was in the top five  
3 percent of my class, university scholar. We do some  
4 work with teaching at the county hospital here in town  
5 and was voted the consultant of the year by the  
6 residents who we supervise.

7 Q. Doctor, you mentioned your CV. Why don't we  
8 go ahead and do it right now. I have what has been  
9 marked as Klepper Exhibit 6. Is that a true and  
10 correct copy of your curriculum vitae?

11 A. Yes, it is.

12 Q. Okay. Let me just go through a few more  
13 things on it. Are you a member of any professional  
14 societies?

15 A. Yes.

16 Q. Can you name a few of those?

17 A. I'm a member of the AMA, the American -- I'm a  
18 fellow of the American College of Chest Physicians. I  
19 am not -- I don't think I'm in the American Thoracic  
20 Society anymore.

21 Q. Have been in the past?

22 A. I let that drop. It's simply a large sum of  
23 money that's given every year. I'm a member of the  
24 Travis County Medical Association which most physicians  
25 in this county are.

0013  
1 Q. And you talked a little bit about the teaching  
2 that you do. Can you tell the jury a little bit more  
3 about that?

Page 10

KLEPPER

9 Q. Doctor, I -- I guess this is probably common  
10 sense, but a man of your qualifications doesn't do work  
11 for free. Are you charging me for your time today?

12 A. Yes, sir.

13 Q. How much are you charging me?

14 A. \$315 an hour.

15 Q. In the past, have you testified on behalf of  
16 defendants in litigation when you agreed with their  
17 position?

18 A. Yes.

19 Q. Finally, Doctor, I'm about to turn to some  
20 medical opinions and ask you to give some medical  
21 opinions, and can we have an agreement that any medical  
22 opinions you offer today will be within a reasonable  
23 degree of medical certainty?

24 A. Yes, sir.

25 Q. The first thing I want you to do, Doctor, is

0015  
1 teach the jury a little bit about asbestosis, the  
2 disease that Mr. [REDACTED] has been diagnosed with. What  
3 is asbestosis?

4 A. Asbestosis is a disease of the lungs that  
5 typically manifest as fibrosis or scarring more  
6 commonly in the middle and lower aspects of the lungs.  
7 It involves certainly an exposure to asbestos fiber  
8 dust, that is airborne dust from asbestos materials.  
9 It involves a latency period. By that I mean one has  
10 to be exposed to the dust, and then it takes a certain  
11 period of time for the body to respond to that dust to  
12 cause the scarring process. Other manifestations of  
13 asbestosis would be crackling or a velcro kind of sound  
14 on physical exam of the lungs. Asbestosis is most

Page 12

15 people believe progressive, so it leads to progressive  
16 shortness of breath and dyspnea which is shortness of  
17 breath, debilitation, impaired ability to exercise and  
18 to work for that matter.

19 Q. Has Mr. [REDACTED] begun suffering these symptoms?

20 A. Yes, to some extent when I saw him, and that  
21 was several years ago. It can lead to a change of the  
22 fingers which is actually a change of the bones called  
23 clubbing or hypertrophic pulmonary osteoarthropathy.

24 Q. Doctor, I want to ask you -- I want to show  
25 you actually what has been marked as Klepper Exhibit 1.

0016

1 Have you -- you've seen that chart before?

2 A. Yes, sir, or charts like it.

3 Q. Can you just go through that chart with the  
4 jury and explain the diseases that asbestos can cause?

5 A. Okay. This is a list of many of the things  
6 I've just described. Once again, when one breathes in  
7 airborne asbestos fibers, it can cause asbestosis,  
8 scarring in the lungs. It can cause --

9 Q. Let me stop you. How does the asbestos fiber  
10 actually cause the scarring of the lungs? Can you  
11 describe that process a little bit?

12 A. Well, it's an involved process, and there's  
13 probably some difference of opinion as to exactly how  
14 it occurs. In simple terms, when you breathe the  
15 fibers in, they're typically very small. Some are  
16 spiral shaped. The fibers are breathed down into the  
17 lung -- the airways and dispersed and usually engulfed  
18 by cells. The cells then give off a signal to cause  
19 inflammation and scarring, and it's a cascade

Page 13

KLEPPER

0018

1 profile and because it's so thin it doesn't appear. We  
2 don't really know how this happens. But in some people  
3 when they inhale asbestos dust, they get a thickening  
4 of that lining around the lung either the thickening on  
5 the lung itself or inside the chest wall. And if you  
6 can look inside, you would see a homogeneous typically  
7 white shiny material almost like cartilage that ranges  
8 from dime sized rounded or irregular changes to large  
9 areas of thickening. The thickening can be two  
10 millimeters too as much as ten millimeters thick in  
11 areas, and it can envelop the divisions between the  
12 lung. It can depending on where it occurs cause  
13 substantial problems with breathing.

14 Q. Does Mr. [REDACTED] have these pleural plaques?

15 A. Yes, sir, he does. He has asbestos-related  
16 pleural disease, I believe, on one side.

17 Q. Okay. Let's go on. Now, you mentioned  
18 earlier, I think, that asbestos can cause pleural --  
19 cor pulmonale, excuse me.

20 A. Cor pulmonale is another term for right-sided  
21 heart failure. What happens in many lung diseases is  
22 that the vascular flow, the blood flow through the  
23 lungs is -- the small vessels are obliterated or  
24 obstructed, that causes the pressure between the right  
25 side of the heart and the lung to rise. The right side

0019

1 of the heart doesn't like a pressure-loading  
2 phenomenon, and this leads to dilatation of that  
3 chamber, the right-sided chambers, and blood then backs  
4 up into the liver, the abdomen, the legs. And though

Page 15

KLEPPER

20 phenomenon. Other cells are recruited. The fiber is  
21 coated with various materials as it's recognized as a  
22 foreign body, and that scarring process starts and is  
23 felt to continue.

24 Q. As the scarring builds up on the lung, what  
25 effect does that have on the lung as a whole?

0017

1 A. The scarring causes stiffness of the lung  
2 which we refer to as compliance. The lung is normally  
3 spongy and elastic. When you get more and more  
4 scarring, it's almost rock hard at its in-stage. So  
5 it's more firm because of the change in the elasticity,  
6 that's what causes the shortness of breath or part of  
7 what causes the shortness of breath and increased work  
8 of breathing to expand the lung to fill it with air,  
9 and they also affect the microvasculature of the lung.  
10 Remember that the exchange of gas from the air phase  
11 into the capillary is a microscopic process, and the  
12 fibrosis in the lungs can obliterate that microscopic  
13 network of tiny air sacs and capillaries. And as that  
14 becomes obliterated, gas exchange is impaired and that  
15 also leads to worsening shortness of breath and low  
16 oxygen levels, et cetera.

17 Q. (BY MR. BARLOW) Okay, Doctor. We've discussed  
18 the scarring of the lung that asbestos can cause. I  
19 think the next thing on the chart is plaques and  
20 pleural thickening. Can you tell the jury a little bit  
21 about that?

22 A. Pleural plaque is -- well, backing up a step.  
23 The lining on the lung and inside the chest wall  
24 consists of a membrane that is very thin, paper thin.  
25 And normally on an x-ray, you see that lining in

Page 14

KLEPPER

5 they may not sound like an important phenomenon, it's  
6 very important because it causes liver damage. It is a  
7 common thread or accompaniment of death in patients  
8 with COPD, emphysema, advanced asbestosis, again,  
9 causing liver failure. It's very difficult to treat.  
10 Oxygen is one of the few things that helps.

11 Q. Is Mr. [REDACTED] at an increased risk for cor  
12 pulmonale?

13 A. Yes, he is.

14 Q. What about the cancers? I see on the chart  
15 mesothelioma of the heart, lung and stomach is listed.  
16 Can you tell the jury very briefly what mesothelioma  
17 is?

18 A. Mesothelioma is that and malignant melanoma in  
19 my thinking and, I think, most physicians would agree  
20 are some of the most aggressive cancers one can get.  
21 It is very, very rare if not -- well, it's very, very  
22 rare to survive mesothelioma. Mesothelioma of the  
23 chest would involve a tumor that spreads  
24 circumferentially around the lung itself incasing the  
25 lung and spreading and invading contiguous surfaces

0020

1 including the center of the chest, the chest wall  
2 itself. It is a horrible cancer, and there really is  
3 no effective treatment. These people have terrible  
4 pain because it invades nerves. The -- the  
5 treatment -- the only really effective treatment is  
6 what's called a pleural pneumonectomy where not only is  
7 the lung removed but the entire lining around the lung  
8 is removed from one side of the chest which is a huge  
9 operation. I've seen several patients undergo that.  
10 It almost always comes back and kills them.

Page 16

11 Q. Is mesothelioma an asbestos cancer?  
 12 A. Mesothelioma occurs in people who have never  
 13 been exposed to asbestos. We do know that asbestos  
 14 increases one's risk of getting mesothelioma.  
 15 Q. Is Mr. [REDACTED] at an increased risk of getting  
 16 mesothelioma?  
 17 A. Yes, sir.  
 18 Q. What about lung cancer? I think the jury is  
 19 probably familiar with what lung cancer is, but is  
 20 Mr. [REDACTED] at an increased risk for that?  
 21 A. Mr. [REDACTED] is despite being a nonsmoker at an  
 22 increased risk of getting lung cancer. There are four  
 23 general kinds of lung cancer, and all four have been  
 24 linked to asbestos exposure and asbestosis.  
 25 Q. And then there's also listed on the chart  
 0021 laryngeal, esophageal and G.I. cancer.  
 2 A. Yes, sir.  
 3 Q. Does asbestos cause all those diseases?  
 4 A. Yes. If you think about it, any dust that one  
 5 would inhale, some of those particles are going to  
 6 adhere or stick to the upper aerodigestive system. So  
 7 those particles would be swallowed, and although colon  
 8 cancer is a relatively common cancer, it once again is  
 9 linked to or there's an increased risk of getting colon  
 10 cancer when one has been exposed to asbestos.  
 11 Q. And Mr. [REDACTED] is at an increased risk for  
 12 these as well?  
 13 A. Yes, sir.  
 14 Q. Doctor, is asbestosis a progressive disease?  
 15 A. Yes, sir.

Page 17

22 the symptoms that accompany that progression of  
 23 scarring which include debilitation, exercise  
 24 limitation. And as it goes through its spectrum, one  
 25 gets to the point or may reach the point where they're  
 0023 short of breath at rest requiring oxygen. That in a  
 2 nutshell is what this describes, and that is --  
 3 Q. Well, can you read it to the jury and then  
 4 tell them what parts that you agree with or disagree  
 5 with?  
 6 A. Certainly. The main symptom of asbestosis is  
 7 progressive shortness of breath. When this has its  
 8 onset in its typically insidious and gradual manner,  
 9 the individual thinks that he is getting older or a  
 10 little overweight, comma, he can't run as fast as he  
 11 used to or gets out of breath more easily than he used  
 12 to and attributes this to the aforementioned facts,  
 13 getting older and gaining weight, quote, unquote. A  
 14 little later on, the person begins to notice that, in  
 15 fact, he or she can't do the many things that other  
 16 people the same age can do. As time goes on, the  
 17 dependence on younger workers becomes greater assuming  
 18 they're still working, until pretty soon the individual  
 19 is experiencing the fact that he or she can't carry out  
 20 the job without such dependence on coworkers.  
 21 Eventually, in the very severe cases, a person's life  
 22 consists of sitting in an armchair on the ground floor  
 23 with an oxygen tank and disconnecting it just long  
 24 enough to go to the bathroom and back.  
 25 Q. Is this a fair depiction of asbestos or

Page 19

16 Q. Can you explain to the jury what is meant when  
 17 doctors say that asbestosis is a progressive disease?  
 18 A. Well, asbestosis is a spectrum from exposure.  
 19 I've mentioned the latency period. At some point in  
 20 time the asbestos scarring is visible on the x-ray, and  
 21 it is felt that it progresses at a fairly steady rate  
 22 over time leading to more and more scarring, more and  
 23 more shortness of breath and the complications that  
 24 I've described. People often require oxygen  
 25 supplementation as the disease progresses. They -- one  
 0022 of the features of asbestosis is a cough. It's a dry  
 2 cough, a hacking tickling cough which is very difficult  
 3 to treat.  
 4 Q. Is there any medicine that can be given to  
 5 Mr. Vaughn that will keep his asbestosis from  
 6 progressing?  
 7 A. No, sir.  
 8 Q. Is there any treatment that can be done to  
 9 keep it from progressing?  
 10 A. No, sir.  
 11 Q. Doctor, I want to show you what has been  
 12 marked as Klepper Exhibit 2. And this is the testimony  
 13 of Dr. Holstein. Is this among --  
 14 MS. POWELL: Objection, misstates the  
 15 evidence.  
 16 Q. (BY MR. BARLOW) Is this among the information  
 17 that you rely on in forming your opinions about the  
 18 progression of asbestosis?  
 19 A. Well, as you indicated, this is a statement of  
 20 a pulmonary physician describing in essence what I've  
 21 already described, which is progression of scarring and  
 Page 18

1 asbestosis progression?  
 2 A. Yes. I would say it describes the entire  
 3 spectrum of no disease to serious disease with serious  
 4 impairment.  
 5 Q. Doctor, can just a little bit of exposure to  
 6 asbestos cause asbestosis?  
 7 A. Just a little bit, one can theoretically be  
 8 exposed to asbestos over a short period of time. The  
 9 exposure to a dust relates not only to the time factor  
 10 one is around it but to the circumstance. And by that,  
 11 I mean there are workers that are exposed over, for  
 12 example, three, months of work that may work in an  
 13 enclosed area with no ventilation in situations where  
 14 the asbestos is either pulverized, cut, sanded, et  
 15 cetera, and the concentration in the air is very high.  
 16 They can thus be exposed to large amounts of dust and,  
 17 yes, this after an adequate or appropriate latency  
 18 period can lead to clinical asbestosis.  
 19 Q. When you examined Mr. [REDACTED], what was his  
 20 chief complaint if you need to refer to your --  
 21 A. May I?  
 22 Q. Yes.  
 23 A. Well, in all fairness, the patients don't  
 24 typically come complaining of things -- symptoms. What  
 25 I described or what I get from them are the questions  
 0025 that I ask. And this man had cough. He had cough on  
 2 approximately half of the days. His cough was dry. He  
 3 had shortness of breath, and he described to me that he  
 4 could only walk about a block on level ground without  
 5 having to stop and catch his breath. And once again,  
 6 asking him about his exercise tolerance he was only  
 Page 20

7 able to go up one flight of stairs. So that was his  
8 chief complaint, if you will.

9 Q. Okay. What was the cause of his shortness of  
10 breath and cough?

11 A. It's my opinion that the cause of that cough  
12 and shortness of breath is -- was his asbestosis or is  
13 his asbestosis.

14 Q. Can you explain to the jury the steps you went  
15 through in examining Mr. Vaughn?

16 A. When I see a patient for a medical evaluation  
17 of this sort, I'll go through a general history of  
18 whether they have no lung disease. I'll get a history  
19 of any current symptoms like cough, coughing up blood,  
20 et cetera. Then I'll very carefully go through their  
21 occupational history, try and go back to high school.  
22 And if they worked during high school, we try to record  
23 that also.

24 Q. What was significant to you about Mr. [REDACTED]  
25 occupational history?

0026

1 A. This man told me that he had worked at Tyler  
2 Pipe, which is a cast iron foundry, between [REDACTED] and  
3 [REDACTED] and I -- he had described a number of different  
4 jobs that he had had in that work place which is fairly  
5 typical. People tend to do different things over time  
6 in the work place.

7 Q. Was -- did he have exposure to asbestos?

8 A. Yes, he did.

9 Q. In addition to the occupational history that  
10 you took, did you perform a physical examination?

11 A. Yes, sir.

Page 21

KLEPPER

18 history, I -- No. 1, if they actively smoke, I counsel  
19 them about the effect of smoking on lung cancer and the  
20 effect or the additive effect of smoking and asbestos  
21 exposure, so that's important. No. 2, in my assessment  
22 of their symptoms and their pulmonary functions, it's  
23 important for me to know whether they were smokers or  
24 not and if so when they quit. And it's also important  
25 even if they were smokers and quit for me to explain

0028

1 their relative risk of lung cancer. As we all know  
2 smoking causes lung cancer as well as asbestos causes  
3 lung cancer, and I try to give them some sense of their  
4 relative risk of cancer and the need for follow-up.

5 Q. Did you examine x-rays of Mr. [REDACTED]?

6 A. Yes, sir.

7 Q. What was significant, if anything, about the  
8 x-rays?

9 A. I had an x-ray on this gentleman dated  
10 6/13/96. It was a front and side view, and that x-ray  
11 showed what we call irregular opacities or little dots  
12 and spots in the lungs in the mid and lower part on  
13 both sides which is --

14 Q. Let me ask you. When you're seeing the --  
15 what are you seeing -- is that the scars you're seeing  
16 show up on the x-rays?

17 A. Yes. That's the scarring not the asbestos  
18 fibers themselves.

19 Q. Okay.

20 A. It's the result of the fiber and the  
21 subsequent fibrogenic cascade, if you will.

22 Q. What is significant about the scarring in the

Page 23

KLEPPER

12 Q. Was there anything remarkable about the  
13 physical examination?

14 A. The things that specifically I look for are  
15 crackles or rales, which I had mentioned the Velcro  
16 sound in the lungs. He did not have that. He did not  
17 have any wheezing which might indicate something like  
18 asthma or emphysema. We look for clubbing or the  
19 changes of the more distal bones of the finger that go  
20 along with asbestosis as well as other diseases.

21 Q. His general appearance on physical  
22 examination, was it fairly normal at this time?

23 A. I don't make a comment to that effect, and  
24 this was in 1997. So I don't picture the man. He was  
25 not in distress at the time I saw him. And in general,

0027

1 my examination showed only his scar from his  
2 sternotomy, his open-heart surgery.

3 Q. Would it be unusual for someone with  
4 asbestosis, even severe asbestosis, to appear normal on  
5 the outside to the naked eye?

6 A. Wouldn't be unusual, no.

7 Q. Did you do a smoking history?

8 A. Yes.

9 Q. And what was Mr. [REDACTED] smoking history?

10 A. He told me at the time he did not smoke and  
11 never had --

12 Q. Is that --

13 A. -- substantial.

14 Q. Is that significant to you?

15 A. Yes, it is.

16 Q. How so?

17 A. If someone has had a substantial smoking  
Page 22

KLEPPER

23 mid and lower regions of the lungs?

24 A. Well, classically asbestosis causes --  
25 asbestosis is seen as scarring in the mid and lower

0029

1 zones. Certainly it can occupy to some extent the  
2 upper zones but is more prominent in the middle and  
3 lower parts of the lungs. This gentleman also had  
4 pleural plaque or thickening around the lung on the  
5 left side along the axillary or armpit area.

6 Q. The pleural plaque, is -- what does that tell  
7 you about whether or not his disease is related to his  
8 asbestos exposure?

9 A. Pleural plaque is important to me in that if I  
10 find no other cause for pleural plaque like a prior  
11 surgery, rib fractures, trauma, et cetera, if I  
12 definitely see changes compatible with pleural plaque,  
13 it supports my suspicion of asbestos exposure. And if  
14 I think someone has asbestosis, it helps confirm that  
15 question or that issue. And it's my opinion that this  
16 gentleman has or had at the time pleural plaque. It  
17 certainly hasn't gone away.

18 Q. Doctor, I want to ask you about an excerpt  
19 from a medical article by Hillerdall.

20 MR. BARLOW: And let me go ahead and mark  
21 this as Klepper Exhibit -- what number are we on -- 9,  
22 Klepper Exhibit 9.

23 MS. POWELL: Can I see his B Reader  
24 report?

25 (Exhibit No. 9 was marked)

0030

1 Q. (BY MR. BARLOW) Doctor, let me ask you about  
2 this excerpt from an article by Gunner Hillerdall  
Page 24

KLEPPER

3 entitled "Plural Plaques and Risks for Bronchial  
4 Carcinoma and Mesothelioma, A Prospective Study."

5 would you read that to the jury?

6 A. It says, and I quote, Thus pleural plaques on  
7 chest roentgenogram, chest x-ray, indicates significant  
8 exposure to asbestos with an increased risk for  
9 mesothelioma and possibly also for bronchial carcinoma,  
10 end quotes.

11 Q. Do you agree with that statement?

12 A. Yes, sir.

13 Q. Is Mr. [REDACTED] at an increased risk for the  
14 mesothelioma and bronchial carcinoma?

15 A. Yes, sir.

16 Q. And is this among the body of literature that  
17 you rely upon for your opinions?

18 A. Yes, sir. I would consider that common  
19 knowledge in this area and a standard belief.

20 Q. Doctor, did you also perform a pulmonary  
21 function test on Mr. [REDACTED]?

22 A. Yes, sir.

23 Q. And I want you to use page 3 of your report  
24 which has been marked as Klepper Exhibit 7 and just go  
25 through it and explain to the jury using that sheet and

0031

1 whatever else you need to, what was significant about  
2 the pulmonary function test that you performed?

3 A. Okay. Pulmonary function tests are  
4 assessments of airflow, airway resistance, lung size  
5 which reflects emphysema or scarring. It reflects lung  
6 compliance. And the third part of the test is what we  
7 call the diffusing capacity, which is the ability for a

Page 25

KLEPPER

14 That speaks very strongly against substantial  
15 obstruction. Doesn't rule it out, but certainly does  
16 not indicate significant obstruction.

17 Q. What did -- what kind of lung problems did  
18 Mr. [REDACTED] have?

19 A. The second part of the test looks at his lung  
20 size, and what we tend to see with advanced fibrosis  
21 from any cause including asbestosis would be  
22 restriction, and that is small lung volumes. We do a  
23 measurement called the total lung capacity. 80 percent  
24 to a hundred percent is considered the normal range.  
25 On this day, his total lung capacity was 72 percent or

0033

1 predicted which I would call mild restrictive  
2 physiology or mild restriction.

3 Q. As Mr. [REDACTED] disease progresses, will his  
4 restriction progress from mild to worse or what are  
5 your expectations on that?

6 A. It should get worse, yes. As his fibrosis  
7 worsens, his restrictive physiology should worsen.

8 Q. And is restriction a classic hallmark of  
9 asbestosis?

10 A. Yes, sir.

11 Q. Okay. Is there anything else significant  
12 about the pulmonary function test that the jury needs  
13 to know about?

14 A. No, sir.

15 Q. Let me ask you. We talked briefly about this.  
16 I want to go into more detail. Is Mr. [REDACTED] at an  
17 increased risk for lung cancer?

18 A. Yes.

Page 27

KLEPPER

8 gas to get from the air into the blood. This  
9 gentleman -- and by the way, we have a very, very high  
10 quality sophisticated machine that we use to do this  
11 with. The technician that does this has -- is -- has  
12 been doing this sort of work for many, many years -- 30  
13 years. And I trust his abilities, and I think he's top  
14 notch. And that's important because one needs to know  
15 what they're doing to do a good test and get good  
16 results.

17 Q. So Mr. [REDACTED], what were his results and what  
18 were significant about them?

19 A. The first part of it is called spirometry, and  
20 spirometry looks primarily at airflow and airway  
21 resistance. In general, we would say that someone is  
22 obstructed or restricted or normal. He had a slight  
23 reduction in his spirometric numbers in a parallel  
24 fashion. When someone is obstructed, they'll have --  
25 and I need to be kind of specific to explain my point.

0032

1 Q. Tell us what line you're referring to there.

2 A. On the third line of the spirometry printout.  
3 There is a phenomenon or a reading that we call the FEV  
4 1, slash, FVC, and that's a ratio, and that tells us  
5 about airflow obstruction.

6 With airflow obstruction one would see  
7 that -- a normal ratio is 80 percent. As one gets  
8 older, that normal ratio for the older person is  
9 reduced. Significant obstruction might be a ratio of  
10 50 percent or even 60 percent of -- an absolute value  
11 of 50 or 60 percent. This man's predicted value was 78  
12 percent. That is if he's normal, his ratio should be  
13 78 percent. His ratio was 76 percent which is normal.  
Page 26

KLEPPER

19 Q. Can you explain to the jury how much of an  
20 increased risk and why that is?

21 A. There are various reports as to the relative  
22 risk or the relative increased risk of lung cancer,  
23 bronchogenic lung cancer, mesothelioma, et cetera.  
24 Generally speaking, what I tell these patients is that  
25 their relative risk of lung cancer in a nonsmoking

0034

1 asbestos exposed person with substantial pulmonary  
2 fibrosis or asbestosis is that the risk is about 20  
3 times higher than the normal person, the normal  
4 nonsmoking, nonexposed person.

5 Q. Can Mr. [REDACTED] expect his breathing to get  
6 worse over the rest of his life?

7 A. Yes.

8 Q. Can Mr. [REDACTED] expect to have physical pain  
9 and suffering in the future as a result of his asbestos  
10 disease?

11 A. Yes.

12 Q. Can you explain some of the types of physical  
13 suffering that he may expect in the future?

14 A. I actually have taken care of a patient or  
15 had. He died about six months ago, and I think this  
16 kind of describes the phenomenon of progression and  
17 suffering. These patients develop increasing shortness  
18 of breath so they're less active. They get to the  
19 point where they're kind of stuck in the wheelchair.  
20 They use oxygen at night initially and then start  
21 wearing oxygen 24 hours a day. They tend to start  
22 gaining weight. They tend to have problems with  
23 thinning of the bones, compression fractures. The  
24 patient that I recall developed vertebral compression  
Page 28

KLEPPER

25 fractures which is where from simply moving or coughing

0035

1 the vertebral bodies compress and fracture which is an  
2 extremely painful condition. This gentleman developed  
3 on several occasions rib fractures from coughing, just  
4 coughing over and over and very hard, it's not uncommon  
5 to develop rib fractures.

6 This man was short of breath all the time.  
7 He was short of breath at rest in his wheelchair. And  
8 so I saw him literally every three months from the time  
9 I came to Austin to the time he died. And his last  
10 three to five years were miserable with pain and  
11 shortness of breath. And part of the focus of the  
12 treatment in a patient like I describe and perhaps in  
13 this man is treatment for his dyspnea. These people  
14 suffocate, and the treatment is narcotics which  
15 suppress the sensation of shortness of breath.

16 MS. POWELL: Just a minute. Objection,  
17 nonresponsive; objection, form.

18 Q. (BY MR. BARLOW) Okay. Based on your  
19 experience as a doctor and a pulmonologist treating  
20 patients like Mr. [REDACTED] what reasonable and necessary  
21 future medical expenses can Mr. [REDACTED] expect as a  
22 result of his asbestos-related disease?

23 A. That is a really difficult question to answer  
24 because there are many variables to consider. And  
25 there is a huge range. We know that asbestosis

0036

1 progresses. We know that sick people need to see the  
2 doctor more often. They come into the hospital more  
3 often. They stay longer, so -- if you got lung cancer,

Page 29

KLEPPER

10 of the basis for your opinion about Mr. [REDACTED]  
11 expectations?

12 MS. POWELL: Objection, form.

13 A. Very briefly. This is an excerpt from one of  
14 the many papers by Dr. Selikoff and Siedman, and they  
15 looked at asbestos workers insulators and found that at  
16 least 50 percent or greater, their cause of death was  
17 related to the asbestos exposure, once again whether it  
18 was death from cancer or death from fibrosis or death  
19 from G.I. malignancy, colon cancer, et cetera. There  
20 are many ways in which asbestos contributes to death  
21 and debility, and that's simply what this describes.

22 MS. POWELL: Objection, form.

23 MR. BARLOW: I'm sorry. Before we go on,  
24 can you go ahead and give me the basis in case I need  
25 to fix it?

0038

1 MS. POWELL: Nonresponsive.

2 MR. BARLOW: To my question I wanted to  
3 have him answer. You objected to form of the question  
4 or are you only objecting to his answer?

5 MS. POWELL: That objection was to his  
6 answer.

7 MR. BARLOW: Right. But I wanted him to  
8 go ahead and get his answer, then I might want to  
9 re-ask that question. What was the basis of your  
10 objection?

11 MS. POWELL: The one to your question?

12 MR. BARLOW: Yeah.

13 MS. POWELL: Was leading and -- it was  
14 leading.

Page 31

KLEPPER

4 that's going to be a much bigger problem than if you  
5 didn't. I would say a reasonable range of his medical  
6 costs assuming a life expectancy of between five and  
7 ten years would be on the order of 150 to \$250,000.

8 MS. POWELL: Objection, form.

9 Q. (BY MR. BARLOW) Did you advise Mr. [REDACTED] that  
10 he had asbestosis -- progressive asbestosis, an  
11 increased risk of lung cancer and mesothelioma?

12 A. Yes, I did.

13 Q. Based on that, would it be reasonable for  
14 Mr. [REDACTED] to have concern over his future health?

15 A. Yes.

16 Q. Would it be reasonable for him to have mental  
17 anguish over that?

18 A. Yes.

19 Q. Doctor, based on his increased risk for all of  
20 these diseases, and I want to show you -- let me show  
21 you first Klepper Exhibit 3. Based on Mr. [REDACTED]  
22 increased risk for various diseases, is it more likely  
23 than not that he will die of an asbestos-related  
24 disease?

25 MS. POWELL: Objection, form.

0037

1 A. There is -- there are a number of articles,  
2 papers, works that suggest that in the setting of  
3 asbestosis, asbestos exposure that the patient is more  
4 likely than not to die of an asbestos-related disease  
5 whether it's cancer, pulmonary fibrosis or  
6 complications of fibrosis.

7 Q. (BY MR. BARLOW) And Exhibit 3 is an  
8 epidemiological study. Can you explain that to the  
9 jury and explain to them whether or not that forms part  
Page 30

KLEPPER

15 MR. BARLOW: Okay.

16 Q. (BY MR. BARLOW) Doctor, if Mr. [REDACTED] had not  
17 been exposed to asbestos on the job, would he be  
18 suffering from asbestosis today?

19 A. No.

20 MR. BARLOW: Pass the witness.

21 CROSS-EXAMINATION

22 BY MS. POWELL:

23 Q. Dr. Klepper, my name is Lisa Powell, and I  
24 represent Swan Transportation in this case, and I have  
25 some questions for you. Have the plaintiff's attorneys

0039

1 talked with you about whether or not you will be  
2 appearing at trial?

3 A. I can't answer that. Typically when trials  
4 come up, depositions come up, it goes through our  
5 office through my nurse, and I only know when it's  
6 going to happen several days before --

7 Q. Okay.

8 A. -- so, no, it's not clear to me that I was  
9 asked to do anything different than what we are right  
10 now.

11 Q. Okay.

12 MS. POWELL: Can I see Dr. Klepper's CV,  
13 please?

14 MR. BARLOW: Sure.

15 Q. (BY MS. POWELL) Dr. Klepper, when you were  
16 talking about your training, you mentioned that, I  
17 believe, you did your residency at Baylor College of  
18 Medicine?

19 A. Yes, ma'am.

20 Q. And you indicated that there was some  
Page 32



21 pathologists there who were interested in asbestos and  
 22 had done research in it; is that correct?  
 23 A. Yes, ma'am.  
 24 Q. Would one of the pathologists that you're  
 25 referring to be Dr. Phillip Kagle?

0040

1 A. He would be one of them, yes.  
 2 Q. And I take it that you respect Dr. Kagle?  
 3 A. Yes.  
 4 Q. Now, you said that you dropped your membership  
 5 in the American Thoracic Society because the dues were  
 6 too high?  
 7 A. Well, there was just really no reason to spend  
 8 a thousand dollars a year to say I was a member of it.  
 9 You get the journal, but I can access that through  
 10 others, and that's -- goes the same for several of  
 11 those memberships and societies.  
 12 Q. That you've dropped some of them?  
 13 A. Yeah. It's not absolutely necessary.  
 14 Q. But I take it you still consider the  
 15 publications by the American Thoracic Society to be  
 16 authoritative?  
 17 A. I respect their work and have no reason to  
 18 disbelieve consensus statements, et cetera.  
 19 Q. All right. And you mentioned the publication  
 20 Chest. I believe you published something in that  
 21 publication?  
 22 A. Yeah. I've written two articles that were  
 23 published.  
 24 Q. And I take it that you consider that  
 25 publication to be authoritative?

Page 33

KLEPPER

6 certification. One can given if -- given their  
 7 M.D. apply, pay their money and take the test. There  
 8 is a course or used to be a course put on by the  
 9 American College of Radiology where one would go and  
 10 for two and a half days hear lectures from experts in  
 11 the field and then on the last day you would take the  
 12 test if you wanted to.  
 13 Q. Did you attend that course?  
 14 A. I've attended that course twice.  
 15 Q. And when did you first attend that course?  
 16 A. I think about 1992.  
 17 Q. Okay. Did you elect to take the test at that  
 18 time?  
 19 A. Yes, ma'am.  
 20 Q. And could you explain to the jury how the test  
 21 is conducted? Is it a written test where you answer  
 22 essays or --  
 23 A. No. It's a test where you have, I believe,  
 24 120 x-rays and you're given 60, and then three hours to  
 25 score them on the worksheet. So you go and read the

0043

1 x-rays. You have standard x-rays that you compare the  
 2 x-rays in question to. You grade them and look for all  
 3 the different abnormalities, give it a score, and --  
 4 once again, you have to go very fast, and it's very  
 5 difficult, and the pass/fail rate is less than 50  
 6 percent. The majority of the people who take it are  
 7 radiologists. Pulmonologists are a small fraction, and  
 8 occupational medicine doctors are a small fraction.  
 9 Q. All right. And I take it from your statement  
 10 that a radiologist would have more training than a

Page 35

KLEPPER

0041

1 A. Once again, I'm not sure exactly what you  
 2 would mean by authoritative. It's not the last word,  
 3 nor the only word in a given area, but, yes, it's a  
 4 peer review journal, and I would respect what comes  
 5 from it.  
 6 Q. All right. Doctor, have you written anything  
 7 specifically on asbestos?  
 8 A. No, ma'am.  
 9 Q. All right. Now, I'd like to talk with you one  
 10 more thing about your training before we move on. Are  
 11 you a B Reader?  
 12 A. Yes, ma'am.  
 13 Q. Could you explain to the jury what is a B  
 14 Reader?  
 15 A. A B Reader indicates that you have taken the  
 16 x-ray reading tests that is sponsored and given by  
 17 NIOSH.  
 18 Q. And NIOSH is for the jury?  
 19 A. The National Institute of Safety & Health.  
 20 Q. Okay.  
 21 MR. BARLOW: Occupational Safety & Health.  
 22 A. There's also ALOSH, the appellation something.  
 23 Typically the test is given in Morgantown, west  
 24 Virginia which is kind of their base.  
 25 Q. (BY MS. POWELL) All right. And when did you  
 receive your B Reader certification?  
 2 A. In '97.  
 3 Q. Okay. And when did you first take the course  
 4 that's necessary to obtain the B Reader certification?  
 5 A. You don't have to take the course to get the  
 Page 34

0042

KLEPPER

11 pulmonologist in reading expert -- x-rays?  
 12 A. Theoretically, yes, because that's all they do  
 13 is read x-rays. I would like to think that a  
 14 pulmonologist is almost as good if not as good in  
 15 reading a plain chest radiograph as a radiologist.  
 16 Q. Now, when you first took this test, and I  
 17 believe you -- did you say, I'm sorry, 1990?  
 18 A. I think I said '92.  
 19 Q. Right. '92, did you pass it that time?  
 20 A. No, ma'am.  
 21 Q. All right. And when was the next time you  
 22 took the course -- the test?  
 23 A. Well, I took the course then the test about  
 24 two years later.  
 25 Q. So that would be about 1994?

0044

1 A. Yes.  
 2 Q. And did you pass it then?  
 3 A. No, ma'am.  
 4 Q. And when was the next time that you took  
 5 either the course or the test?  
 6 A. I took the test only in '97 and passed it.  
 7 Q. And that's when you passed it?  
 8 A. Yes, ma'am.  
 9 Q. All right. When did you first begin doing  
 10 what I will call medical legal examinations?  
 11 A. Approximately 1992.  
 12 Q. And how did that come about?  
 13 A. That came about as -- myself and Dr. Harford  
 14 came to town at the same time and joined Dr. Frank  
 15 Mazza in partnership. And at that time, Dr. Mazza was  
 16 interested in taking the B Reader course and doing some  
 Page 36

17 pneumoconiosis evaluations or reading x-rays, and we  
 18 all three started looking into it to some extent and  
 19 started doing a little bit of work with a company,  
 20 Baron & Budd, and that's kind of how that started.  
 21 Q. And how many individual persons -- either  
 22 records or physical examinations have you conducted for  
 23 Baron & Budd would you say?  
 24 A. That is really difficult to say because I've  
 25 worked with a number of groups. I would estimate I've  
 0045  
 1 seen 200 patients for IMES for Baron & Budd.  
 2 Q. When you say patients, do you consider these  
 3 people that you're examining on behalf of Baron & Budd  
 4 to be your patients?  
 5 A. I don't consider them my patients, but as a  
 6 physician, there's just something that doesn't sound  
 7 right about calling someone a client, so I don't know  
 8 what else to call them.  
 9 Q. All right. They're not a patient, and you  
 10 don't like the word client?  
 11 A. Correct.  
 12 Q. Okay.  
 13 A. Well, they're a patient, but there is not a  
 14 doctor-patient relationship because they're sent to see  
 15 me by someone else.  
 16 Q. All right. But you don't treat these people,  
 17 do you, Doctor?  
 18 A. No. I don't prescribe them medication. I  
 19 talk to them. I counsel them. I -- if I see something  
 20 on an x-ray, for example, a cancer, I would take time  
 21 to make sure that that's clarified and that they get

Page 37

KLEPPER

2 Q. Is there anyone else here today that examines  
 3 the individuals?  
 4 A. Dr. Perret.  
 5 Q. About how many would he do a year?  
 6 A. About half of what I do.  
 7 Q. What about Dr. Harford? How many would he do?  
 8 A. About three-fourths of what I do.  
 9 Q. And you also sometimes examine x-rays and  
 10 provide a report even if you don't see the individual;  
 11 is that correct?  
 12 A. That's correct.  
 13 Q. About how many of those would you say that you  
 14 see a year?  
 15 A. Several thousand.  
 16 Q. Would that be 2,000, 3,000, 4,000?  
 17 A. 2 to 3,000.  
 18 Q. Okay. And how much do you charge for the  
 19 examination of individuals in these legal medical -- in  
 20 the legal medical context?  
 21 A. Our charge is \$225 per client or patient for  
 22 the examination, history and physical.  
 23 Q. And then is there additional charge for the  
 24 pulmonary function testing?  
 25 A. Yes, ma'am.  
 0048  
 1 Q. And how much is that?  
 2 A. It would be the difference between \$560 and  
 3 225.  
 4 Q. So the total charge is \$560?  
 5 A. Yes, ma'am.  
 6 Q. And how much do you charge to review an x-ray?

Page 39

KLEPPER

22 adequate follow-up.  
 23 Q. But you see them one time usually; is that  
 24 correct?  
 25 A. Yes, unless they're local, and then they may  
 0046  
 1 come back to see me for follow-up, but that's --  
 2 Q. But that wouldn't be the general rule?  
 3 A. No, ma'am.  
 4 Q. All right. And of the law firms for which you  
 5 do these examinations, which law firm do you do the  
 6 most for or have you done the most for?  
 7 A. Probably Silber and Pearlman.  
 8 Q. Okay. And what about Baron & Budd? Where do  
 9 they kind of fit in that hierarchy?  
 10 A. Probably about third or fourth.  
 11 Q. Oh, really. Who is second now?  
 12 A. Mr. Heffner.  
 13 Q. Okay. Of the individuals that you see in the  
 14 context of these medical legal examinations, about what  
 15 percentage are asbestos versus silica?  
 16 A. In the first several years, it was primarily  
 17 asbestos disease, and more recently we're seeing more  
 18 and more silica or mixed dust disease. So now I would  
 19 say that it's 50/50, silicosis/asbestosis.  
 20 Q. Can you estimate for me how many of these  
 21 examinations where you actually examined the person you  
 22 conduct in a given year?  
 23 A. Approximately 500 to 700 a year myself.  
 24 Q. Do you know if that would hold true for  
 25 Dr. Harford?

0047

1 A. He would do fewer.

Page 38

KLEPPER

7 A. \$32 to do a B reading.  
 8 Q. Then do you also receive income from  
 9 testifying, obviously, can you estimate how much you  
 10 receive for that each year?  
 11 A. \$10,000 total.  
 12 Q. Is there any other type of compensation you  
 13 receive from legal medical examinations?  
 14 A. No. And all the money that I bring in goes to  
 15 the group. We divide it up evenly.  
 16 Q. I'm not very good at math, and hopefully  
 17 you're better than I am, but if you see 500 to 700  
 18 patients charging \$560, would that be income for you  
 19 something over \$250,000 a year?  
 20 A. Well, that would be a accredited to me, but  
 21 once again, that goes to the group. So it's divided  
 22 among eight people.  
 23 Q. Right. Do you know about the total amount of  
 24 income that the group receives per year from these  
 25 medical legal examinations including B reads, et  
 0049  
 1 cetera?  
 2 A. From the three physicians and Dr. Mazza and  
 3 Dr. Clark who don't travel or see people anymore but do  
 4 go to court occasionally, that is about 25 percent of  
 5 our income total.  
 6 Q. Can you give me a dollar figure on that?  
 7 A. Do I have to?  
 8 Q. I'm asking the question.  
 9 A. A million. That's pretty close.  
 10 Q. And that's per year?  
 11 A. Divided by eight.  
 12 Q. For you?

Page 40

13 A. No, divided by eight, so I would get --  
 14 Q. All right. But a million dollars total per  
 15 year for the partnership?  
 16 A. Exactly.  
 17 Q. Okay.  
 18 MR. BARLOW: A million dollars for you or  
 19 a million dollars for, like, the whole partnership?  
 20 THE WITNESS: Well, the way she described  
 21 it was from all the doctors and all the x-rays and the  
 22 doctors who testified that don't travel.  
 23 Q. (BY MS. POWELL) Correct.  
 24 A. That whole amount would be roughly a million  
 25 dollars.

0050

1 Q. All right. Doctor, since 1992, has the number  
 2 of 500 to 700 patients that you conduct these medical  
 3 legal examinations stayed constant, are you doing more  
 4 now than you used to or --  
 5 A. The 500 to 700 is the peak, and it's maybe a  
 6 little bit less right now that is for the last year,  
 7 and between '92 and '96 it was about half that.  
 8 Q. Okay. And, Doctor, can you give me an idea of  
 9 the map -- percentage of cases in which you make a  
 10 positive finding of pneumoconiosis?  
 11 A. When I go to evaluate and actually lay hands  
 12 on?  
 13 Q. Yes.  
 14 A. A good 95 percent of the people I see are  
 15 positive for pleural plaque, asbestosis, silicosis,  
 16 lung cancer, something like that.  
 17 Q. Okay. What about in the x-rays that you

24 individuals?  
 25 A. Correct.  
 0052  
 1 Q. Is that correct?  
 2 A. Correct.  
 3 Q. And you're saying that you're finding  
 4 positivity in approximately 50 percent which would mean  
 5 that you're finding pneumoconiosis in a thousand to  
 6 1500 individuals a year, fair?  
 7 A. Yes, that or plaque. I mean, if you consider  
 8 plaque in pneumoconiosis.  
 9 Q. Well, I probably don't, but do you?  
 10 A. Yes.  
 11 Q. Okay. All right. Have you ever seen any  
 12 statistics which any official body keeps on the  
 13 incidence of pneumoconiosis in the United States?  
 14 A. No.  
 15 Q. Do you have any idea if the number of positive  
 16 findings which you yourself are finding would be  
 17 greatly in excess of what any government agency is  
 18 finding in the United States?  
 19 MR. BARLOW: Object to form.  
 20 A. I wouldn't be surprised if a simple screening  
 21 operation of healthy workers would have a far lower  
 22 positivity rate than I have.  
 23 Q. (BY MS. POWELL) And why is that?  
 24 A. That is because the x-rays I'm sent to look at  
 25 typically are selected from groups that have -- have a

0053

1 suspected exposure and are of an age that there would  
 2 be a reasonable latency period.

18 reviewed when you don't see the patient?  
 19 A. Right. That varies. It depends on the  
 20 population. It depends on the age and their exposure,  
 21 so it could be as low as 50 percent positivity and  
 22 could be as high as 90 percent.  
 23 Q. Well, I'm asking you, can you tell me in a  
 24 given year approximately if it's more to the 50 or more  
 25 to the 90 percent?

0051

1 A. It's more to the 50, and I quite honestly  
 2 don't keep track because I try to stay honest, and I  
 3 try to read the x-ray blindly, if you will, so I don't  
 4 keep track of my positivity rate. I'm sure someone  
 5 does.  
 6 MS. POWELL: Object to the nonresponsive  
 7 portion of the answer. I'm just doing this for the  
 8 record. Please don't take it personally.  
 9 Q. (BY MS. POWELL) So, Doctor, if you're  
 10 reading -- strike that. How many x-rays do you usually  
 11 see for a person? Do you get two x-rays per person  
 12 when you're just doing your B reading?  
 13 A. For an individual?  
 14 Q. Yes.  
 15 A. You get a front and a side view.  
 16 Q. So if you read 2,000 to 3,000 x-rays, that's  
 17 probably a thousand to 1500 individuals?  
 18 A. Well, the charge is on a set of x-rays. In  
 19 fact, the test is on the PA only. Usually you're  
 20 supplied the lateral view also. It's not an additional  
 21 charge.  
 22 Q. So when you say 2,000 to 3,000, you're  
 23 probably reading the x-rays for 2,000 to 3,000  
 Page 42

3 Q. Let me ask you this. Suspected by whom?  
 4 A. By the union, by the law firm that --  
 5 Q. Conducted the screening?  
 6 A. -- sends me the films.  
 7 Q. All right. Well, now, I'm not asking you  
 8 about a positivity rate. I'm asking about absolute  
 9 numbers. Do you know how the number of positive  
 10 findings you were making compares to the number of  
 11 reported cases of pneumoconiosis kept by any  
 12 governmental agency?  
 13 A. I don't know.  
 14 Q. You were finding in a relatively large number  
 15 of people who suffer from pneumoconiosis --  
 16 MR. BARLOW: Object to form.  
 17 Q. (BY MS. POWELL) -- wouldn't you agree? In  
 18 absolute numbers, I'm not talking --  
 19 A. No.  
 20 Q. You don't think a thousand to 1500 is a large  
 21 number?  
 22 A. No.  
 23 MR. BARLOW: Object to form.  
 24 A. A thousand people might work at one aluminum  
 25 plant.

0054

1 Q. (BY MS. POWELL) Do you think it would be  
 2 worthwhile for you to investigate to determine if the  
 3 absolute number of positive findings which you yourself  
 4 are making is disproportionate to those found by any  
 5 governmental agency?  
 6 A. I don't see the value in that.  
 7 Q. Well, I guess I'm wondering if perhaps it  
 8 might be -- if a government agency might want to know  
 Page 44

KLEPPER

9 about the incidence of pneumoconiosis that you yourself  
10 are finding?

11 A. Well, I suppose if they requested that  
12 information, I would be happy to give it.

13 Q. Okay. But otherwise you don't plan to contact  
14 any government agency?

15 A. No, ma'am.

16 Q. Okay. Now, I want to ask you specifically  
17 about the screening program regarding the Tyler Pipe  
18 Foundry in Tyler, Texas. Now, you yourself reviewed  
19 some of the x-rays, correct, while you were here in  
20 Austin?

21 A. I've seen lots of x-rays from workers from  
22 Tyler Pipe.

23 Q. All right. And the -- when you review the  
24 x-rays as opposed to when you were also examining the  
25 individuals but when you are just looking at x-rays,

0055  
1 where are you physically located?

2 A. Upstairs.

3 Q. Okay. Who sends you the x-rays?

4 A. In my office. There are a variety of firms  
5 that -- I don't know the term for it, but get patients  
6 from Tyler Pipe.

7 Q. Okay. So the law firm sends you these x-rays,  
8 correct?

9 A. Correct.

10 Q. Did you yourself supervise the taking of those  
11 x-rays?

12 A. No.

13 Q. And do you know anything about the conditions

Page 45

KLEPPER

20 Q. Was that at Tyler, Texas?

21 A. No. The unit that I saw and the people who  
22 were doing the x-rays I saw in Galveston.

23 Q. Okay. Do you know who had sent that mobile  
24 unit there? Do you know if it was a law firm, union?

25 A. I don't remember.

0057  
1 Q. Okay. Now, what, if anything, do you know  
2 about the screening program up in Tyler? Do you know  
3 who was responsible for it?

4 A. No, ma'am.

5 Q. Now, do you know if there was any doctor or  
6 physician who had ordered the x-rays on the individuals  
7 that you had reviewed?

8 A. By law there has to be a physician's order,  
9 and I don't know whose order that was. Occasionally, I  
10 will be asked to sign a document so a group of people  
11 can be x-rayed.

12 Q. But you didn't do that up -- for the ones at  
13 Tyler?

14 A. I don't recall honestly. I could have. I  
15 don't think I did.

16 Q. When you do that, is it a blanket because --  
17 do you know the names of the people who are going to be  
18 screened ahead of time or how does that work?

19 A. It is for small groups of people, 50, 75, a  
20 hundred. And, once again, they need a physician's  
21 order, and if I am going to be the one reading the  
22 x-rays, it makes sense to ask me to sign to give the  
23 okay for them to have an x-ray done.

24 Q. All right. Are you telling me that when you

Page 47

KLEPPER

14 under which those x-rays were taken?

15 A. Yes.

16 Q. Okay. What do you know?

17 A. Well, what I know is what the x-ray looks  
18 like, the quality and the technique. So that's part of  
19 the assessment, not only is there disease or not, is  
20 this a good x-ray.

21 Q. Other than what you see in the physical x-ray,  
22 what I'm trying to get at, has anybody told you about  
23 anything about how the x-rays were taken?

24 A. No.

25 Q. Do you have any information about the x-ray

0056  
1 screening process other than the x-ray itself?

2 A. I'm not sure what you're getting at. I do  
3 know there are mobile x-ray units that will  
4 occasionally go to a site and x-ray people. I  
5 personally have met and talked with people who do that  
6 and have never found any reason to be concerned about  
7 their equipment or their technique or their motivation.

8 Q. Where did you personally examine this  
9 equipment?

10 THE VIDEOGRAPHER: Going off the record at  
11 4:14.

12 (Recess taken from 4:14 PM to 4:28 PM)

13 THE VIDEOGRAPHER: Stand by. Going on  
14 record at 4:28.

15 Q. (BY MS. POWELL) All right. Dr. Klepper, I  
16 think when we took break, we were talking about the  
17 screening processes or programs, and you said that you  
18 had seen a mobile x-ray unit?

19 A. Yes.

Page 46

KLEPPER

25 do that it has a list of the names of the persons --

0058  
1 A. No, it does not. And I've done this about  
2 three times.

3 Q. Okay. Well, in the times that you did it,  
4 could you explain to me how you were able to order  
5 x-rays for an unnamed group of people?

6 A. Well, the document says something like we have  
7 x number of workers from x plant who need chest x-rays  
8 done, and I have signed for that to be done, authorized  
9 that.

10 Q. All right. But you don't believe you did it  
11 for the x-rays at the Tyler --

12 A. I don't think I did. Like I say, I've only  
13 done it a few times. It seems a little unusual, but if  
14 you think about it, in a small town not a lot of  
15 doctors are going to be wanting to get involved with a  
16 lawsuit from the largest company in ten states. So  
17 they often have difficulties finding a doctor to kind  
18 of back up this process, and it is illegal, I believe,  
19 to do x-rays on people without a doctor's order.

20 Q. That would make sense. In the instances in  
21 which you have signed such an order, do you know  
22 anything about the equipment that will be used ahead of  
23 time?

24 A. No, ma'am.

25 Q. All you know is that an x-ray is ordered,

0059  
1 correct?

2 A. Correct.

3 Q. And then is it your understanding there would  
4 be a licensed x-ray technician who would actually take

Page 48

5 the x-ray of the person?  
 6 A. Yes, ma'am.  
 7 Q. Going back now to the Tyler facility, you  
 8 personally don't know who the physician was that  
 9 ordered the x-rays or if, in fact, there was a  
 10 physician for that matter?  
 11 A. I don't know if there was a physician, and I  
 12 don't know who it was if there was.  
 13 Q. And you don't know who the technician was?  
 14 A. There could have been multiple technicians and  
 15 multiple x-ray screened groups and, in fact, probably  
 16 were because there were so many workers.  
 17 Q. Okay. And you don't know what type of x-ray  
 18 equipment was used, fair?  
 19 A. Correct.  
 20 Q. And there are certain standards that would  
 21 govern the testing and maintenance of x-ray equipment,  
 22 correct?  
 23 A. Yes.  
 24 Q. You don't know if those were followed in this  
 25 case, fair?

0060

1 A. That would be a fair statement.  
 2 Q. And let me ask you this. On the x-rays you  
 3 reviewed where you acted as the B Reader or the ones  
 4 that you reviewed for these individuals at all from the  
 5 Tyler facility, is there anything on the x-ray that  
 6 makes reference to the physician who ordered the x-ray?  
 7 A. Typically not, no.  
 8 Q. Is that typically done in the hospital?  
 9 A. You know, I don't know for sure. It may have

Page 49

KLEPPER

16 date and identifying information, that's what matters  
 17 to me.  
 18 Q. All right. So you're saying you don't really  
 19 pay attention to it because it's not material to you?  
 20 A. It doesn't matter to me what physician's name  
 21 is on the x-ray, correct.  
 22 Q. And as you sit here today, you can't tell me  
 23 if it's the practice of hospitals to put the name of  
 24 the physician -- of a physician connected with a  
 25 patient on the x-ray? That's all I'm asking.  
 0062  
 1 A. I think it is, but that's not my main focus --  
 2 Q. Okay.  
 3 A. -- when I'm looking at a film.  
 4 Q. All right. Now, in this case and specifically  
 5 with respect to Mr. [REDACTED] you did a B reading of his  
 6 x-ray sometime prior to conducting a physical  
 7 examination?  
 8 A. No. I did an A reading. I was not a B Reader  
 9 at the time.  
 10 Q. All right. And if you could explain to the  
 11 jury the difference between an A read and a B read?  
 12 A. An A Reader is someone who has taken the B  
 13 reading test and not passed it.  
 14 Q. Now, is the form that you used in doing your A  
 15 reading the same form that would be used for a B read?  
 16 A. Correct.  
 17 Q. And who -- or what organization prescribes the  
 18 form?  
 19 A. That worksheet that you have in your hand  
 20 comes from NIOSH, I believe.

Page 51

KLEPPER

10 a physician's name on the -- in the label section.  
 11 That has nothing to do with the patient. It may have  
 12 been the first doctor that saw him in the emergency  
 13 room.  
 14 Q. But typically in the hospital if an x-ray is  
 15 taken, the name of a physician that is somehow  
 16 connected to that patient will appear on the x-ray; is  
 17 that correct?  
 18 A. Like I said, I honestly don't know. We could  
 19 go look, but it really matters not.  
 20 MS. POWELL: Object to the nonresponsive  
 21 portion of that answer.  
 22 Q. (BY MS. POWELL) Doctor, do you read chest  
 23 x-rays frequently in the course of your practice?  
 24 A. Yes, ma'am.  
 25 Q. And the x-rays that you see are generally

0061

1 taken where?  
 2 A. The x-rays in the hospital you mean?  
 3 Q. Yes.  
 4 A. They're taken in radiology in various  
 5 hospitals. We have an x-ray machine in our office  
 6 also, so I look at those x-rays.  
 7 Q. And you're telling me that generally those  
 8 x-rays would name -- have the name of the physician on  
 9 them?  
 10 A. They may or may not.  
 11 Q. You just can't say one way or another?  
 12 A. I can't, and I'm not being purposely evasive,  
 13 it just -- it doesn't matter.  
 14 Q. To you?  
 15 A. As long as the patient's name is on it and the

Page 50

KLEPPER

21 Q. And, Doctor, I'm going to show you what's been  
 22 marked as Klepper Exhibit B and ask if you can identify  
 23 it?  
 24 A. It's -- there is a B reading or an A reading  
 25 worksheet. And at the top, it says type of reading,  
 0063  
 1 and it has A, B and P. I'm not sure what P is. Then  
 2 it has the boxes where you grade the x-ray, the date of  
 3 the x-ray, the abnormalities and an area for other  
 4 findings, and the other sheet is simply a narrative  
 5 summary of what is checked off on the worksheet.  
 6 Q. And what is the date of the -- of your report?  
 7 A. The date of the x-ray was 6/13/96, and the  
 8 date of the report was October the 31st, '96.  
 9 Q. And do you know who sent you the x-ray for  
 10 Mr. [REDACTED]?  
 11 A. Probably Todd Heffner since he's the one  
 12 that's listed as the attorney at law and has the  
 13 address.  
 14 Q. All right.  
 15 A. Probably.  
 16 Q. All right. When you received the x-rays from  
 17 the Tyler Pipe facility, did you receive them all about  
 18 the same date, if you know, or did you receive them  
 19 over time?  
 20 A. Over time, 50, a hundred, 200 at a time.  
 21 Q. Okay. Do you know when you first received any  
 22 x-rays from the Tyler Pipe facility?  
 23 A. No, I don't.  
 24 Q. All right. And before we talk about the  
 25 specifics of the B read, I would just like to get a

Page 52

0064  
 1 general frame work. At some point later, you went to  
 2 Tyler; is that correct?  
 3 A. Yes. We've seen patients in Tyler five or six  
 4 times --  
 5 Q. Okay.  
 6 A. -- over the last three to four years.  
 7 Q. Okay. Now, I'd like to ask you personally  
 8 when did you go to Tyler?  
 9 A. I can't give you the dates.  
 10 Q. Well, with respect to Mr. [REDACTED], when did you  
 11 see him?  
 12 A. 1/17/97.  
 13 Q. So it looks like the x-ray of Mr. [REDACTED] was  
 14 taken in June of '96, correct?  
 15 A. Yes.  
 16 Q. Then you did your A read review in October?  
 17 A. Yes.  
 18 Q. And then you physically examined him in  
 19 January?  
 20 A. Yes, of '97.  
 21 Q. All right. And how many times -- have you  
 22 personally been to Tyler about five times to see --  
 23 A. Yes, ma'am.  
 24 Q. When you went to Tyler, did anyone else from  
 25 the partnership go with you?

0065  
 1 A. Our pulmonary function technician and a nurse.  
 2 Q. Okay. And your pulmonary function  
 3 technician's name is?  
 4 A. Mike Chestnut.  
 5 Q. Okay. And the nurse's name?

Page 53

KLEPPER

12 A. I don't know.  
 13 Q. Okay. Would you have records which would  
 14 reflect which law firms have retained you?  
 15 A. Well, we're not -- I'm not sure what you mean  
 16 by retained. I think of getting money up front. But,  
 17 yes, we would have a record of what trip was organized  
 18 by whom and when.  
 19 Q. All right. Is it your recollection that Baron  
 20 & Budd sponsored one of those?  
 21 A. I don't know.  
 22 Q. Okay. And typically how much time do you  
 23 charge for travel to and from a place like Tyler?  
 24 A. Well, I charge 315 an hour to travel, and it's  
 25 four or five hours, I think, something like that.

0067  
 1 Q. And when you conduct your physical  
 2 examinations of the patients or the individuals, do you  
 3 review any x-rays at that time?  
 4 A. Yes, ma'am.  
 5 Q. And do you take any x-rays at that time or  
 6 order any x-rays be taken?  
 7 A. If -- my personal policy is that if it's been  
 8 more than a year since the original screening x-ray, we  
 9 do a new x-ray. I say we. An x-ray is done either in  
 10 the facility where we're working or we might have to  
 11 send them to a hospital. They may then bring the x-ray  
 12 with them to the evaluation. If it's been less than a  
 13 year or less than six months between the screening  
 14 x-ray and the evaluation, I typically don't do another  
 15 x-ray. But I will have that original screening film to  
 16 look at, at the time.

Page 55

KLEPPER

6 A. We've had a number of nurses working with us,  
 7 and I might be able to tell from the writing. I can't  
 8 say for sure. I believe it was either Linda Holland or  
 9 Linda Beaver.  
 10 Q. Okay. When you went to Tyler, did any of the  
 11 other doctors go with you at the same time?  
 12 A. No.  
 13 Q. And when you examined patients in Tyler, where  
 14 were you physically located?  
 15 A. We have seen patients or clients there in  
 16 several different areas. We've worked primarily out of  
 17 small doctor's offices that are either vacant or they  
 18 kind of closed down for the week, and we move in and  
 19 work there.  
 20 Q. Do you bring your own pulmonary function  
 21 testing equipment?  
 22 A. Yes, ma'am.  
 23 Q. And that's equipment from the partnership?  
 24 A. Yes.  
 25 Q. Is there any other type of equipment that you

0066  
 1 bring with you?  
 2 A. Blood pressure cuff and a stethoscope.  
 3 Q. And are you compensated for your travel time?  
 4 A. Yes.  
 5 Q. On those five times that you visited Tyler,  
 6 can you tell me who compensated you for your travel  
 7 time?  
 8 A. The same group that we're seeing the patients  
 9 for.  
 10 Q. Okay. Was that one law firm on all five times  
 11 or different law firms?

Page 54

KLEPPER

17 Q. When do you typically draft your evaluation  
 18 reports?  
 19 A. I will look at the x-rays, see the patient,  
 20 get a complete history, examine them, explain to them  
 21 what I think is going on. And within an hour, I  
 22 dictate a report that includes everything, the  
 23 evaluation, the x-ray report, the pulmonary function  
 24 that's in my assessment.  
 25 Q. Now, when you did the B read report for  
 0068  
 1 Mr. [REDACTED] which is Klepper Exhibit -- I'm sorry, the A  
 2 reader report which is Klepper Exhibit B, did you  
 3 follow the B read procedures?  
 4 A. Yes, ma'am.  
 5 Q. And that would include viewing the x-ray in a  
 6 darkened room?  
 7 A. Yes, ma'am.  
 8 Q. And you would have one of these standard  
 9 x-rays there with you to compare against the x-ray?  
 10 A. Yes, one or more. I don't do A or B readings  
 11 at the scene where I am seeing the patients. This was  
 12 done probably on site in our office simply because I  
 13 don't want to hurry. I want plenty of time. I need to  
 14 put up different standards to make a decision, so I  
 15 practically never do an official A reading or B reading  
 16 of the films in the field. It's done on site or it's  
 17 done beforehand.  
 18 Q. All right. Well, let's talk a little bit  
 19 about your A reader report in this case and looking at  
 20 the second page which is the form that you say that  
 21 NIOSH issues, film quality, how have you rated the film  
 22 quality of the x-ray?

Page 56

23 A. One.  
 24 Q. And what category is that?  
 25 A. That means it's a good x-ray with no

0069

1 significant technical flaws and no changes that would  
 2 greatly alter the interpretation.

3 Q. In Section 2A asks are there any -- if you  
 4 could tell me what Section 2A asks so I don't  
 5 mispronounce it.

6 A. Section 2 asks if there are any parenchymal,  
 7 that is, any lung field abnormalities with  
 8 pneumoconiosis.

9 Q. And what is pneumoconiosis so that the jury --

10 A. Pneumoconiosis in this context indicates dots,  
 11 opacities, spots, et cetera. And the answer in this  
 12 case was, yes, there are opacities, and then you grade  
 13 those opacities as far as the zones and the density or  
 14 intensity of the profusion. You identify the shape and  
 15 the size, and there's primary and secondary. So you  
 16 look at it and -- what do you see most of, and that  
 17 would be the primary opacities, and then is there a  
 18 different kind of opacity present; and if so, that  
 19 might be the secondary opacities or the secondary  
 20 finding.

21 Q. What shape -- or what size did you find the  
 22 opacities were in the case of Mr. [REDACTED]?

23 A. Well, I read his as a TT which indicates  
 24 irregular opacities of 1.5 millimeters to 3  
 25 millimeters.

0070

1 Q. And how does that rate on that scale as far as

Page 57

8 A. Density, how much -- how many opacities per  
 9 volume of lung.

10 Q. Would it be fair to say the denser the  
 11 opacity, the more advanced the disease?

12 A. Exactly.

13 Q. And what are the categories of profusion under  
 14 this form?

15 A. Well, there's a table of 12 scores that range  
 16 from a completely normal absolutely negative x-ray to  
 17 what is described as a three-plus which would be the  
 18 most fibrosis you would see, and they're arranged in  
 19 groups of three.

20 Q. Now, each of those boxes actually has two  
 21 numerical indicators with a slash?

22 A. Right.

23 Q. Could you explain to the jury what those two  
 24 numbers mean?

25 A. When you read the x-ray, you put up -- in the

0072

1 low profusion film, you'll put up a 0/0 standard and a  
 2 1/1, and there are several 1/1 standards that match a  
 3 type of opacity that you see. So you'll put up, in  
 4 this case, a 0/0 and a 1/1 TT and compare them, and  
 5 does it look more like a 1/1 or more like a zero. Is  
 6 it completely negative or are there opacities present,  
 7 and you have to make a decision based on the film  
 8 technique, the profusion, the positioning, comparing it  
 9 with those standard films, and I gave this a score of  
 10 1/1 which means it is positive. It's -- I did not  
 11 consider a 0/0 as a possibility.

12 Q. Which would be totally negative?

Page 59

2 small or medium?

3 A. Medium.

4 Q. All right. Would that be a medium -- medium  
 5 opacity in the category small?

6 A. No. That would be a medium sized irregular  
 7 opacity.

8 Q. All right. Then what is the section on this  
 9 form that's entitled "Large Opacities"?

10 A. Large opacities are most commonly seen with  
 11 silicosis. And by nature silicosis is composed of  
 12 rounded opacities more like beebees or pellets which  
 13 tend to coalesce or come together. And in its  
 14 spectrum, those opacities come together and will  
 15 actually form one larger spot that can look like a  
 16 cancer, the size of a dime or a quarter, and the ABC  
 17 indicates the size of those opacities.

18 Q. You didn't find any large opacities pursuant  
 19 to that form?

20 A. Correct.

21 Q. And the opacities you indicated in the section  
 22 of the form listed or entitled "Small"?

23 A. Right. It's kind of confusing. I see what  
 24 you mean.

25 Q. Well, it's kind of clear, actually, isn't it?

0071

1 I mean, it's entitled "Small Opacities"?

2 A. Right, but there are small, medium and large  
 3 small opacities.

4 Q. Correct. So it's a medium opacity in the  
 5 category of small?

6 A. Right.

7 Q. And profusion, what is profusion?

Page 58

13 A. Well, a 1/0 would be, I think, this is  
 14 abnormal, but it's got -- it's close to -- close to  
 15 normal, but I think it's positive. And a 0/1 would be  
 16 I think this is negative, but I see some spots so  
 17 that's a 0/1, 1/0, 1/1. That is the difficulty of B  
 18 readings. That's a difficulty of the test.

19 Q. All right. But just so the jury understands  
 20 what these numbers mean, generally zero would be no  
 21 disease or abnormalities?

22 A. 0/0 is negative. A 0/1 is -- it's a little  
 23 abnormal but considered negative. A 1/0, in my  
 24 opinion, is a positive film, very mild. A 1/1 is an  
 25 x-ray that you put it up and you say that's positive.

0073

1 Q. Okay.

2 A. And it's mild.

3 Q. Right. All right. And that's what I wanted  
 4 to get at. One would be mild; is that correct?

5 A. 1/0 is the first positive grade, 1/1, 1/2 all  
 6 the way up to 3/3 and 3 plus.

7 Q. But the mild categories would be at the bottom  
 8 of a positive finding 1/0 then increasing in severity,  
 9 1/1 and then 1/2?

10 A. Correct.

11 Q. And then the next category is 2?

12 A. 2/1.

13 Q. And that would be the moderate finding,  
 14 correct?

15 A. Yes.

16 Q. And three would be severe; is that correct?

17 A. Yes.

18 Q. So in this case, you rated Mr. [REDACTED]

Page 60

KLEPPER

19 opacities within the mild category; is that correct?

20 A. Well, you're using the term "mild." In my  
21 experience looking at a lot of x-rays, I'm surprised  
22 and impressed when I see a 1/2 or a 2/1 x-ray.

23 Q. Those are rare in your experience?

24 A. Because that's significant disease. Those  
25 people are symptomatic. I almost never see threes.

0074

1 They're dead, and I see -- I rarely see two category  
2 films, 2/1s, 2/2, 2/3. That's bad disease.

3 Q. All right. So most of your findings are in  
4 the category of one, correct --

5 A. Yes.

6 Q. -- when you're making a positive finding?

7 A. Yes.

8 Q. But nonetheless for purposes of this form and  
9 the question I'm asking you is that is a finding of a  
10 mild profusion, correct?

11 A. Yes.

12 Q. Now, going on to the pleural thickening that  
13 you found, how -- how does a plaque relate to pleural  
14 thickening?

15 A. A plaque is pleural thickening. Pleural  
16 thickening can be circumscribed or diffused. When you  
17 look at an x-ray and you see a mesa or an area that is  
18 well circumscribed, you can see the borders that is  
19 circumscribed pleural plaque, and there is a box to  
20 check those. If the plaque is everywhere or difficult  
21 to define its borders and particularly if it involves  
22 the costophrenic angles, that is the sharp angles at  
23 the bottom, then it's by convention considered diffuse

Page 61

KLEPPER

4 had an A -- an A1 which means that it is no longer than  
5 that and no thicker than five millimeters.

6 Q. So was that the smallest category of plaques  
7 that you found?

8 A. Yes, ma'am.

9 Q. And --

10 A. And that was the only pleural disease he had.

11 Q. Did you find any other -- or make any other  
12 comments concerning that x-ray?

13 A. Yes. He had bypass surgery so you could see  
14 the sternal wires. You could see the clips that they  
15 used to clip off vessels. And that was apparent, and I  
16 made comment to that.

17 Q. All right. I'd like to talk with you a little  
18 bit about the exam that you conducted of Mr. [REDACTED]  
19 How important is the individual's other medical  
20 history?

21 A. It's very important because as I -- I consider  
22 that as a big part of my function of seeing these  
23 patients which is to identify other causes for plaque,  
24 for example, surgery, trauma and to identify other  
25 causes of pulmonary fibrosis. Occasionally, people

0077

1 will have an exposure that they got rheumatoid  
2 arthritis, and they've been on several drugs that can  
3 cause scarring in the lungs or they may have an  
4 underlying fibrotic disease for 20 years, and that's  
5 what they've got, not asbestosis.

6 Q. Well, while we're on this topic, asbestosis is  
7 a form of what disease, interstitial fibrosis?

8 A. It causes interstitial fibrosis of which there

Page 63

KLEPPER

24 pleural thickening which is usually on the lung, not on  
25 the chest wall, and those are kind of different

0075

1 diseases.

2 Q. Just so I understand, the plaque that you  
3 found on Mr. [REDACTED] was it on the chest wall or the  
4 outside of the lung?

5 A. Chest wall.

6 Q. All right. And since you're calling it a  
7 plaque, it by definition is circumscribed?

8 A. Yes, ma'am.

9 Q. All right. And are there categories as well  
10 for the pleural thickening on the form --

11 A. Yes.

12 Q. -- the A reader form? Could you describe for  
13 me how you categorized the pleural plaque of  
14 Mr. [REDACTED]?

15 A. Pleural plaque is graded as to the width less  
16 than five millimeters, five to ten millimeters and  
17 greater than ten millimeters; A, B and C. Then the  
18 height or the total extent in a vertical orientation  
19 would be a third of the height or less as a one-half or  
20 less is a two and more than half of the vertical  
21 orientation of the chest wall would be a three, and  
22 then the plaque can wrap around either in the front or  
23 back, and you'll see a hazy change, that is also graded  
24 in a similar way as to its extent, vertical extent, and  
25 this requires some estimation.

0076

1 Q. Well, in this case, how -- what size did you  
2 find the plaque?

3 A. This man had a very small pleural plaque. He  
Page 62

KLEPPER

9 are at least a hundred different causes.

10 Q. Okay. When you look at an x-ray and you see  
11 interstitial fibrosis, can you always determine the  
12 cause?

13 A. Not always. You can rule out some things, but  
14 you can't be a hundred percent certain short of a large  
15 piece of lung tissue or an autopsy.

16 Q. What is the standards you use for diagnosing  
17 asbestosis?

18 A. A substantial exposure, a substantial or  
19 significant latency period and an abnormal x-ray,  
20 interstitial fibrosis. My cutoff is typically a 1/0.  
21 I think a 1/0 is positive. In actuality, you can have  
22 interstitial fibrosis that does not appear significant  
23 or may not show up at all on an x-ray, nevertheless  
24 that is fibrosis due to asbestos exposure and therefore  
25 asbestosis. So it is possible to have asbestosis with

0078

1 a normal occurring plain chest x-ray.

2 Q. Now, the criteria that you have given for  
3 diagnosing asbestosis --

4 THE VIDEOGRAPHER: Going off record at 5  
5 o'clock.

6 (Recess taken from 5:00 PM to 5:04 PM)

7 THE VIDEOGRAPHER: Going on record at  
8 5:04.

9 Q. (BY MS. POWELL) Doctor, you've described for  
10 me the criteria you use in diagnosing asbestosis. Are  
11 those criteria based on any guidelines issued by any  
12 scientific or medical body?

13 A. The ATS has a consensus statement that is  
14 widely used.

Page 64



15 Q. And is -- is the criteria and the ATS  
 16 consensus statement the same as yours?  
 17 A. No, not exactly. What they require is an  
 18 exposure and latency period. They -- the wording is  
 19 something like they would like to see or suggest the  
 20 finding of an abnormal x-ray, and I think what they  
 21 quote is a 1/1. They would like to see restriction.  
 22 They would like to see a depressed diffusing capacity.  
 23 They would like to see rales and clubbing.  
 24 Q. All of those or one or more of those?  
 25 A. It's not clear.

0079  
 1 Q. So it's fair -- and you said that you will  
 2 diagnose asbestosis based on a 1/0 x-ray, correct?  
 3 A. Yes, ma'am.  
 4 Q. And you've said that the guidelines by the ATS  
 5 would not allow that; is that correct?  
 6 A. No, that's not what it says. It doesn't say  
 7 it's not allowed to make that diagnosis. It is a  
 8 suggested finding. Given that asbestosis is a  
 9 spectrum, the more scarring you have the more certain  
 10 you can be that that's a disease and the more important  
 11 it is, but what you're saying as a B Reader or A Reader  
 12 when you say a film is a 1/0 you're saying it's  
 13 positive.  
 14 Q. Okay. Right now I'm asking you about what the  
 15 ATS, the American Thoracic Society, about their  
 16 guidelines and your understanding of what those  
 17 guidelines are. And you've told me that the ATS would  
 18 require exposure and latency and x-ray under the --  
 19 with the category 1/1, and are you saying that the

0081  
 1 important?  
 2 A. Well, what it says is exposure and latency are  
 3 necessary, period. It says that the findings on the  
 4 x-ray are the most important of these other four  
 5 criteria. Those four criteria are described as having  
 6 recognized value. So it -- I guess -- it doesn't say  
 7 that you have to have that absolutely, and it does not  
 8 say that a 1/0 cannot mean asbestosis or not be a  
 9 disease of asbestosis. I would agree that the next  
 10 most important phenomenon or finding would be an x-ray  
 11 showing fibrosis.  
 12 Q. Doctor, what do you mean by a substantial  
 13 exposure?  
 14 A. I was afraid you were going to ask that. The  
 15 caddy answer would be enough exposure to cause a  
 16 disease.  
 17 Q. That's sort of circular logic, isn't it?  
 18 A. But it's true. The point is that people  
 19 respond to an insult in different ways, a physical  
 20 insult. And I have seen people with identical  
 21 exposures, they worked together for 30 years, and they  
 22 have very different findings. One may have plaque.  
 23 The other has a 1/1 fibrosis. A substantial exposure  
 24 could be measured in a low level exposure over 30  
 25 years, dust out in the open or it could be -- or

0082  
 1 consist of six months in a shed cutting asbestos  
 2 gaskets or grinding asbestos-containing materials and  
 3 causing dust. So it relates to the concentration of  
 4 fibers in the air whether or not they use respiratory

20 American Thoracic Society would also require a  
 21 restrictive defect to be found?  
 22 A. They don't require that, and they don't  
 23 require a 1/1. They suggest or prefer that finding, a  
 24 1/1 or greater.  
 25 Q. And they would also suggest a finding of a  
 0080  
 1 restrictive defect?  
 2 A. Well, that adds to the diagnosis. There are  
 3 about five other phenomenon that one looks for that  
 4 make the diagnosis more certain and stronger.  
 5 MR. BARLOW: I have the article here if  
 6 you want to ask him about it.  
 7 MS. POWELL: Sure.  
 8 Q. (BY MS. POWELL) Now, which criteria --  
 9 criterion does the ATS consider to be the most  
 10 important?  
 11 MR. BARLOW: I would ask though if you're  
 12 going to ask him questions about it --  
 13 Q. (BY MS. POWELL) Here. I'm sorry, Doctor.  
 14 A. I don't need to see it.  
 15 Q. Well, that's good. I do, but I'm glad you  
 16 don't.  
 17 A. Go ahead.  
 18 Q. Which criteria --  
 19 A. There are two, exposure and a latency period.  
 20 Q. They consider to be the most important?  
 21 A. My understanding.  
 22 Q. Well, Doctor, I call your attention to the  
 23 statement in this last full paragraph on page 367  
 24 where -- and ask you if you still believe that the ATS  
 25 finds that the latency and exposure period are the most

5 protection, what they were doing at the time. If they  
 6 were doing intense physical activity, they're breathing  
 7 harder; they're going to breathe in more airborne  
 8 fibers.  
 9 Q. All right. Well, what do you know about the  
 10 occupational history of Mr. [REDACTED] which leads you to  
 11 believe that he has a substantial exposure?  
 12 A. Well, as with every patient I have ever seen  
 13 for this purpose, all I can do is ask them about their  
 14 work place and what they did. And this man worked in  
 15 what's called cupola which is a type of furnace that  
 16 melts cast iron, and the cupola is made of, among other  
 17 things, fire brick and a mortar that contains asbestos.  
 18 That stuff breaks up, becomes friable, has to be  
 19 patched. Occasionally, it's completely removed and  
 20 replaced. They have -- this man also worked around the  
 21 pouring operation, I believe, which requires using  
 22 ladles or large steel pots on wheels that are lined  
 23 with firebrick, insulation, asbestos-containing mortar  
 24 turned refractory, refractory cement.  
 25 Q. Let's back up a little bit, Doctor. How do  
 0083  
 1 you know that in this case the cupola at the Tyler Pipe  
 2 facility contained asbestos mortar?  
 3 A. Well, it's what he told me. It is what  
 4 hundreds of people have told me about that work place.  
 5 And I suppose I assume that they were telling me  
 6 correctly. I've never been told otherwise. And if you  
 7 consider it logically, it makes sense.  
 8 Q. Well, Doctor, what do you know about asbestos?  
 9 Let me ask you this. What is the temperature rating  
 10 for it?

KLEPPER

11 A. The temperature rating for asbestos?  
12 Q. For asbestos mortar, yes, sir.  
13 A. You know, I'm not an expert in mineralogy.  
14 I've never been in a foundry. I never worked in a  
15 foundry. I've never done this sort of work, so what I  
16 do is get an overall exposure and do my best to  
17 quantify it. But without being there and actually  
18 looking at the bags, I can't be more certain than that.  
19 Q. All right, Doctor. So as you've stated you're  
20 not an expert in asbestos products used at a foundry;  
21 is that fair?  
22 A. That's fair.  
23 Q. All right.  
24 A. Yes.  
25 Q. And as you sit here today, you can't tell me

0084

1 what the temperature rating was for mortar --  
2 asbestos-containing mortar, fair?  
3 A. Well, I'll ask you what do you mean by the  
4 temperature rating?  
5 Q. Well, do you know is asbestos able to  
6 withstand heat?  
7 A. Yes.  
8 Q. To any degree?  
9 A. It's able to withstand molten cast iron which  
10 is several thousand degrees, I believe.  
11 Q. So it's -- as you sit here today, you believe  
12 that asbestos-containing insulation products would be  
13 able to withstand temperatures as high as 2,000  
14 degrees?  
15 A. Yes.

Page 69

KLEPPER

22 Q. Okay.  
23 A. But I'll take '51.  
24 Q. All right. We're in the same ballpark. Do  
25 you have an opinion as to whether the exposure early on

0086

1 versus later on is more significant?  
2 A. I don't. It's real hard for these people to  
3 remember what they did in year to year. It kind of  
4 runs together, and at 75 it's hard to know.  
5 Q. And do you have an opinion or do you know if  
6 asbestos-containing products were generally used more  
7 commonly say in the '50s than in the '70s?  
8 A. I would --

9 MR. BARLOW: Object to the form. Go  
10 ahead.

11 A. I don't know. Sometime around the mid '70s,  
12 it would be my opinion that asbestos was used  
13 significantly less if not starting to be phased out.  
14 But I don't have an opinion about the relative use and  
15 frequency of use between '55 and '75.

16 Q. (BY MS. POWELL) And if I wanted to find out  
17 generally whether exposures to asbestos-containing  
18 products and occupational settings was greater in say  
19 the '50s versus than the '70s, would you agree with me  
20 that the proper professional to speak with would be an  
21 industrial hygienist?

22 MR. BARLOW: Object to the form.

23 A. An industrial hygienist might know. I'm not  
24 sure there was such a thing in 1955.

25 Q. (BY MS. POWELL) Well, I'm not asking about

0087

Page 71

KLEPPER

16 Q. Okay. And your opinion that Mr. [REDACTED]  
17 suffers from asbestosis is based in large part on your  
18 belief that the mortar used around the cupola and in  
19 the ladles was asbestos containing?  
20 A. Not only the mortar but the bricks themselves  
21 and the insulation placed before the bricks are laid,  
22 yes.  
23 Q. And as you've said yourself, you're not an  
24 expert in asbestos-containing products, correct?  
25 A. No.

0085

1 Q. If you assume with me hypothetically that  
2 evidence were developed -- was developed that the  
3 products you've identified did not contain asbestos,  
4 would that affect your diagnosis?

5 A. Yes. If you can tell me that none of the  
6 products this man said he handled had asbestos in them,  
7 that would affect my diagnosis.

8 Q. Now, let's talk about the latency period.  
9 what latency period -- strike that.

10 what is a latency period?

11 A. The period between first exposure and  
12 appearance of disease, probably radiographic appearance  
13 but possibly symptoms, but I think most people would  
14 say that that means x-ray appearance of fibrosis.

15 Q. So -- I'm sorry. Did you say it runs from  
16 date of first exposure?

17 A. Yes, ma'am.

18 Q. And in this case, I believe Mr. [REDACTED] claims  
19 exposure from approximately 1951 to 1976; is that  
20 correct?

21 A. I think I said '55 to '76.  
Page 70

KLEPPER

1 talking to industrial hygienists in '55, I mean talk to  
2 one today to find out about the relative exposures over  
3 time of individuals in occupational settings.

4 MR. BARLOW: Object to the form.

5 A. I'm not sure they could do much better than me  
6 taking a history from this gentleman. They might have  
7 a better opinion about relative use and the activity  
8 over that time as it relates to asbestos-containing  
9 products.

10 Q. (BY MS. POWELL) And, Doctor, how important to  
11 you in your diagnosis of asbestosis is Mr. [REDACTED]  
12 complaint that he had trouble going upstairs or that he  
13 was out of breath upon exertion?

14 A. Well, that is not a diagnostic of anything.  
15 It's simply a way to quantify any injury or deficit at  
16 this time and to kind of prognosticate. I think it's  
17 important to know how sick someone is at this time or  
18 at the time of the evaluation. So it's important to  
19 some degree, but it doesn't make the diagnosis nor rule  
20 it out if he said he could run a mile.

21 Q. Well, do you believe that his complaints about  
22 shortness of breath are related to his asbestosis?

23 A. Quite likely. He has restriction on his PFTs,  
24 and he's a nonsmoker.

25 Q. All right. Well, why don't we look at that

0088

1 since we're talking about it. I'm not sure I followed  
2 your testimony. Which test result did you say was  
3 abnormal?

4 A. The lung volumes -- actually in both the  
5 spirometry and the lung volumes are abnormal. But  
6 based on our PFTs, this man has mild restriction.  
Page 72

7 Q. Okay. Well, on the spirometry, was there one  
8 particular test that you said he had an abnormal  
9 finding on?

10 A. Yes, there is -- well, I take that back. His  
11 predicted for his FEC and his FEV-1 were normal, and I  
12 said that his airflow was in essence normal. So I  
13 retract my statement. The abnormality is primarily in  
14 his lung volume assessment which is diagnostic of  
15 restrictive physiology.

16 Q. Okay. So on the spirometry results, you're  
17 stating the results were normal; is that correct?

18 A. Yes.

19 Q. And what standards do you use to determine  
20 whether test results are normal?

21 A. Well, the ATS criteria for spirometry is that  
22 FEC and FEV-1 are 80 percent to 100 percent. That's  
23 the normal range. And the ratio of the two which we  
24 spoke about is given there at 78 percent. That's as an  
25 absolute value, and he has 76 percent. His mid flow

0089  
1 rates, the FEF 2575 is 75 percent of predicted. That  
2 is a supersensitive indicator of airflow, and in  
3 healthy nonsmoking people, it's often reduced to this  
4 extent with age.

5 Q. So at least -- so we can help clarify for some  
6 of us who aren't experts in this, under the section of  
7 the -- your tests results, lung mechanics, the results  
8 were normal?

9 A. Yes.

10 Q. All right. And the abnormal finding that you  
11 made is under the section entitled "Lung Volumes;" is

18 significant chest compliance changes. A thoracotomy,  
19 an incision on the side, is a different story. So I  
20 considered that, and I don't think that it's important.

21 Q. Okay. Similarly when you viewed Mr. [REDACTED]  
22 x-ray, did you consider any other causes for the  
23 increased markings you saw on his x-ray?

24 A. Yes.

25 Q. And what were some of the other potential

0091  
1 causes that you considered?

2 A. Heart failure, changes related to his surgery.  
3 occasionally people will have complications and require  
4 a tube to be put in the side, and so I typically ask  
5 about that and look at their chest for scars, and I  
6 didn't find any other plausible or significant reason  
7 for this man to have interstitial fibrosis or  
8 interstitial changes or the pleural plaque.

9 Q. What about age? Do markings increase with  
10 age?

11 A. No.

12 Q. Do most individuals have some markings on  
13 their lungs?

14 A. Well, markings are a normal phenomenon. You  
15 have airways and blood vessels, and so, yes, every  
16 human -- every mammal has markings. It is -- it's a  
17 relative phenomenon. Age alone does not call pulmonary  
18 fibrosis.

19 Q. What about obesity? Does that play any role  
20 in changes that you might see on x-rays?

21 A. It certainly can.

22 Q. Did you consider that in the case of

12 that correct?

13 A. That's correct.

14 Q. Just trying to simplify this. And was there  
15 any one specific test under that section entitled "Lung  
16 volumes" that you found to be abnormal?

17 A. His total lung capacity, the TLC.

18 Q. And that's the TLC, all right. And is -- it  
19 was 72 percent predicted, correct?

20 A. Yes, ma'am.

21 Q. What criteria would you follow to make your  
22 determination that that's abnormal?

23 A. The same standards are applied to lung  
24 volumes. Remember that some people can start out over  
25 a hundred percent. It's not uncommon to see values of

0090  
1 120 percent in healthy people.

2 Q. Now, is that one finding sufficient under the  
3 ATS guidelines to make a finding of restrictive defect?

4 A. Yes.

5 Q. Just that one finding alone?

6 A. That's how the diagnosis is made.

7 Q. All right. Now, did you consider whether  
8 there were any other possible causes for this test  
9 result, any other medical conditions which Mr. Vaughn  
10 might have which could cause this test result to be  
11 low?

12 A. Yes.

13 Q. And what did you consider?

14 A. I considered his prior surgery, and typically  
15 a sternotomy does not lead to restriction. When they  
16 split the sternum and rewire it, it's pretty stable --  
17 in fact, it's totally stable and doesn't cause any

23 Mr. [REDACTED]

24 A. Yes.

25 Q. And I take it you ruled it out?

0092  
1 A. Well, you take that into account -- to account  
2 when you read the x-ray because as you're getting at,  
3 you have overlying soft tissue. It's like putting a  
4 pillow over your chest or -- and so it can accentuate  
5 interstitial changes. So when you read the x-ray,  
6 that's one of the things you're thinking about, is this  
7 person fat and are these changes related to normal  
8 lungs enclosed in a fat body, and I don't think that  
9 that's the case in this man's x-ray either.

10 Q. I take it you found that he was not obese?

11 A. Well, he's five-foot six and weighs 184  
12 pounds. That's big, but that's not obese.

13 Q. And so in this case, you did not think that  
14 his weight and -- was not a significant factor in the  
15 results you saw in his x-ray?

16 A. That's correct.

17 Q. Do you believe that the restrictive defect you  
18 found for Mr. [REDACTED] is affecting him symptomatically  
19 today or at least at the time you saw him?

20 A. Yes.

21 Q. So that he would feel tired because of this  
22 restrictive defect?

23 A. Tired or short of breath when he exerts.

24 Q. Did he have any other medical conditions  
25 which -- did he have any other medical conditions which

0093  
1 might cause him to feel fatigued?

2 A. He had a history of a bypass as we've

3 discussed. And in the setting of heart failure, that  
4 can cause fatigue. When I examined him, I didn't find  
5 any evidence of congestive heart failure.

6 Q. How would you -- strike that.  
7 what would be the signs which would lead  
8 you to believe someone has congestive heart failure?

9 A. Well, we'd be talking about decompensated  
10 congestive heart failure because you can have that  
11 beyond therapy and have no physical findings. What you  
12 would look for would be distended neck veins, i.e.,  
13 high pressures in the vascular system, edema,  
14 peripheral edema, swelling in the feet. Listening to  
15 the heart, you might find what's called S3 which is a  
16 gallop which means the heart's big and when it fills it  
17 makes an extra sound, and I didn't find any of those  
18 exam findings in him nor did his chest x-ray look  
19 consistent with heart failure. You would see fluid  
20 that layers out on the bottom, and there are particular  
21 changes that can be settled, but that was not my  
22 impression.

23 Q. What about his diabetes?

24 A. Controlled diabetes doesn't cause fatigue,  
25 shortness of breath.

0094

1 Q. What type of diabetes did Mr. [REDACTED] suffer  
2 from?

3 A. Sugar.

4 Q. Type 1, Type 2?

5 A. Let me look at his medications and I might be  
6 able to tell you. He almost -- well, he takes insulin.  
7 That doesn't mean -- he takes insulin, and it doesn't

Page 77

14 A. Yes.

15 Q. So is that something that you took into  
16 consideration when ruling out his fat from affecting  
17 his x-ray findings?

18 A. No. I didn't link the diabetes with his mild  
19 obesity and his x-ray. I mean, you look at the x-ray,  
20 you quantify that. You don't need to think about his  
21 diabetes. It didn't. It's not part of the equation.

22 Q. It wasn't significant to you?

23 A. Correct.

24 Q. Now, you've talked about, and we'll go into  
25 more detail, your opinion about asbestos leading to

0096

1 disability. Do you know if Mr. [REDACTED] was already  
2 disabled at the time he came to see you?

3 A. I don't know. He's -- should be retired.

4 Q. Do you know under what circumstances he quit  
5 working?

6 A. No. No, I don't.

7 Q. Is that something that you would expect if you  
8 had been told you would report?

9 A. Yes, I suspect -- if you want me to speculate.

10 Q. Sure.

11 MR. BARLOW: I don't want you to  
12 speculate.

13 A. Okay. Well, he hurt his back, and that is  
14 almost universally a good reason to quit working in  
15 this type of scenario, but he hurt his back when he was  
16 68. So he still should have been retired at that time.

17 Q. (BY MS. POWELL) But the fact of the matter is  
18 you do not know if he was considered medically disabled

Page 79

8 mean he has Type 1. I don't know.

9 Q. What is the difference between Type 1 and Type  
10 2 diabetes?

11 A. Type 1 diabetics have a lack of insulin. Type  
12 2 diabetics typically have insulin resistance. They're  
13 typically fat, and they have a normal amount of  
14 insulin, they just have too few receptors.

15 Q. Is that --

16 A. They can be treated without insulin sometimes.

17 Q. And is Type 2 diabetes that you said is many  
18 times attributable to fat, something which usually  
19 occurs after childhood?

20 A. Yes.

21 Q. Sometimes called late onset?

22 A. Could be, yes.

23 Q. Okay. Does the fact that -- strike that. Do  
24 you know how long Mr. [REDACTED] had been taking insulin?

25 A. No, ma'am. Let me look at my worksheet. I

0095

1 might be able to answer that. He had been a diabetic  
2 for six years. I don't know how long he had been on  
3 insulin, and he was not on an oral hypoglycemic, so he  
4 could have been on insulin up to six years.

5 Q. Would that lead you to believe that he had  
6 late onset diabetes?

7 A. Well, no matter what he had late onset  
8 diabetes if he only had it for six years and he's 75.

9 Q. Would that lead you to believe that it's Type  
10 2?

11 A. It would support that diagnosis.

12 Q. And as you said, that many times is

13 attributable to the person being overweight?

Page 78

19 at the time he quit working?

20 A. Correct, I do not know.

21 Q. But that's something if you had known you  
22 would have put in your report?

23 A. Probably.

24 Q. All right. Now, let's talk about your opinion  
25 about asbestos being a disabling disease. Do you have

0097

1 an opinion as to whether or not asbestos is always a  
2 disabling disease?

3 A. It's not always a disabling disease. Many  
4 people die with it and not die of it. If someone is 82  
5 and has a 1/0 x-ray, they might die of prostate cancer,  
6 and the asbestosis is not what killed them. There's a  
7 good percentage of people, that is up to 50 percent of  
8 people, who die of diseases related to asbestos.

9 Q. And, Doctor, what do you base that opinion on?

10 A. Lots of articles.

11 Q. Okay.

12 A. Including the one that was just put out here,  
13 some work by Selikoff. The number of papers that I  
14 couldn't rattle off nor recite, but -- it seems high,  
15 but --

16 Q. It does seem high. If I could see that  
17 report.

18 MR. BARLOW: Object to the sidebar.

19 Q. (BY MS. POWELL) This Exhibit No. 3 that you're  
20 referring to, this is a study by whom -- or at least an  
21 extraction?

22 A. It says Selikoff and Siedman.

23 Q. All right. Well, I want to ask you. You said  
24 you are relying on the study which is referenced in

Page 80

25 Exhibit 3; is that correct?

0098

1 A. No. I'm relying on what I've learned over  
2 time and read, this being one of many sources of  
3 information that I form my opinion by.

4 Q. All right. Well, as I said yesterday, this is  
5 tedious, but it's part of my job, and I got to go  
6 through and find out exactly what you're relying on.

7 Because you have specifically identified this study --

8 A. Uh-huh.

9 Q. -- which is referenced on Klepper Exhibit 3, I  
10 want to ask you about it because you said that you were  
11 at least in part relying on that study; is that  
12 correct?

13 A. Correct.

14 Q. And that is a study by Selikoff and Siedman;  
15 is that correct?

16 A. Yes, ma'am.

17 Q. Was this published in a book or a journal; do  
18 you know?

19 A. I don't know.

20 Q. Okay. And it has the date December 31, 1991.

21 Is that your understanding of when it was published  
22 somewhere?

23 A. No. I think that was work that was done a  
24 number of years ago before 1991.

25 Q. Okay. So this is an older study than that; is

0099

1 that correct?

2 A. I believe it is.

3 MR. BARLOW: It's a reprint.

Page 81

KLEPPER

10 it looks like that Selikoff and Siedman were studying  
11 insulation workers; is that correct?

12 A. Right, that's my understanding.

13 Q. And do you know if any findings they reached  
14 in that article were limited to insulation workers?

15 A. Are you asking if this kind of study has been  
16 done with other sorts of asbestos workers?

17 Q. Right now I'm asking you in the study that  
18 Selikoff and Siedman did --

19 A. Uh-huh.

20 Q. -- if they limited their findings assuming  
21 that they found that insulation workers who were  
22 exposed -- who suffer from asbestosis were at greater  
23 than 50 percent chance of dying from an  
24 asbestos-related disease, was limited to insulation  
25 workers?

0101

1 A. I don't know the answer to that.

2 Q. Do you know if the relative risk which was  
3 found in the study was greater than two?

4 A. Greater than two?

5 Q. Yes.

6 A. Double?

7 Q. Yes.

8 A. I don't know.

9 Q. Do you know what the confidence intervals  
10 were?

11 A. No.

12 Q. We've already included the No. 1.

13 A. No, I don't know.

14 Q. Okay. All right. Now, what I need to ask you

Page 83

KLEPPER

4 Q. (BY MS. POWELL) Well, maybe I can ask -- I  
5 guess I can ask Baron & Budd who prepared this.

6 MR. BARLOW: Object to the sidebar.

7 Q. (BY MS. POWELL) In Exhibit 3 is a document  
8 which you did not prepare; is that correct?

9 A. I did not prepare that, no.

10 Q. And it has the caption "More Than 50% of  
11 Deaths Asbestos Related." Do you know if that caption  
12 or title appears in --

13 A. That's not how it appears in the article.

14 Q. All right. And that's something which the  
15 lawyers in this case have put on the top; is that  
16 correct?

17 A. Probably.

18 Q. Do you know if in that article by Selikoff and  
19 Siedman if they reached the conclusion that a person  
20 who suffers from asbestosis has a greater than 50  
21 percent chance of dying from an asbestos-related  
22 disease?

23 A. That is my opinion, yes.

24 Q. I know that's your opinion. I'm asking you is  
25 if in this article by Selikoff and Siedman --

0100

1 A. That's what I remember, yes.

2 Q. Okay. So you remember that they reached that  
3 same conclusion?

4 A. That's correct.

5 Q. And that the findings they made with respect  
6 to the population they studied were not limited to the  
7 population studied?

8 A. Say that again, please.

9 Q. Well, as I understand it based on the title,  
Page 82

KLEPPER

15 is, what other specific articles are you relying on?

16 A. I don't have any other specific articles.

17 Q. Can you cite me to any textbooks which reach  
18 the same conclusion?

19 A. I don't know my textbooks well enough to tell  
20 you for sure that, for example, Fishman or Fraser and  
21 Pere would have the same conclusion. Those are texts  
22 that we use and I refer to. As I recall, I have seen  
23 that kind of data from different investigators, but I  
24 couldn't give you a specific reference or a specific  
25 text that says that same thing.

0102

1 Q. Now, I want to go back to our discussion on  
2 progression because I believe you told me that -- and  
3 please correct me if I'm wrong, that asbestosis might  
4 not always lead to disability because a person might be  
5 old and just die of something else; is that correct?

6 A. Sure.

7 Q. But let's talk about somebody like Mr. [REDACTED]  
8 Is it your opinion today with reasonable medical  
9 probability that he will become disabled from the  
10 asbestosis?

11 A. Depending on how you define disable, it's my  
12 opinion -- well, do you want to define disabled?

13 Q. Why don't you define it for me.

14 A. Well, I think -- I guess he's disabled because  
15 you asked me a number of questions about that. It is  
16 my opinion that this man, his functional capacity to do  
17 chores of daily living will be more difficult in the  
18 future. His breathing will worsen in the future and  
19 that he will more likely than not have problems related  
20 to his lungs and/or other organs affected by asbestos  
Page 84

21 in the future.  
 22 Q. Can you give me any type of opinion as to the  
 23 time frame in which this will occur? Will it occur  
 24 gradually year by year?

25 A. Well, if he got lung cancer, that can -- you  
 0103  
 1 can get lung cancer in a month and die in a year.

2 Q. Right.

3 A. We won't know how long he's going to live, so  
 4 it's hard to predict that.

5 Q. Putting aside lung cancer for the moment, now  
 6 I'm just asking now about disability from the  
 7 asbestosis itself. Is this something that you would  
 8 expect to see on a year-by-year basis?

9 A. Year-to-year basis, but it may take on the  
 10 order of five years to be significantly worse such that  
 11 it really impairs his function more than now. So in  
 12 the year, he's not going to be on his death bed related  
 13 to pulmonary fibrosis. In ten years for sure if he  
 14 lives that long he will most likely have substantial  
 15 problems related to scarring.

16 Q. What scientific literature can you cite me to  
 17 that supports your position that with reasonable  
 18 medical probability he will be disabled?

19 A. I cannot cite a specific reference paper or  
 20 anything that supports what I just told you. That's  
 21 based on a conglomerate of what I've read and seen and  
 22 know and believe.

23 Q. Okay. Are you aware of any literature that is  
 24 to the contrary?

25 A. The contrary of what?

Page 85

KLEPPER

6 defends asbestos.

7 Q. So is it your opinion that those studies which  
 8 have found the contrary from your belief are all funded  
 9 by industry?

10 A. No. But you -- you can study a phenomenon and  
 11 get a result based on how you do it and who you look at  
 12 and how you crunch your numbers and who you exclude.

13 Q. It's called biased, right?

14 A. Yeah.

15 Q. So you're saying that those studies which have  
 16 found the contrary of what you believe are biased?

17 A. I'm saying that some of them may be.

18 Q. Some of them may be, but what I'm asking --  
 19 and that's a fair criticism. If you could point me to  
 20 specific studies and show me how they were biased.

21 A. I can't do that.

22 Q. You just believe that they're biased?

23 A. Well, I've read them and seen the bias, but I  
 24 can't tell you the specific articles or show you where  
 25 in an article.

0106  
 1 Q. And could you point me to one of those  
 2 specific authors that you know has a connection to  
 3 industry?

4 A. No.

5 Q. Well, would you agree with me that a potential  
 6 for bias exists on the other side of the coin?

7 A. Certainly.

8 Q. And that certainly there are influential  
 9 groups such as plaintiff's lawyers who would have a  
 10 financial interest in the opposite conclusion, that is

Page 87

KLEPPER

0104

1 Q. Your opinion that there are some persons who  
 2 are diagnosed with -- when they are diagnosed with  
 3 asbestosis who are 1/1s and there is -- that will not  
 4 progress?

5 A. I'm aware that there is a considerable fraction  
 6 and/or body of literature that would disagree with the  
 7 notion of progression and the notion of the high rate  
 8 of death related to asbestos exposure and asbestosis.  
 9 I couldn't point you to those papers, but I know  
 10 there's considerable argument.

11 Q. And I take it that obviously you've discounted  
 12 it, and is there a reason why you don't follow that  
 13 body of literature as opposed to the one that you say  
 14 you did follow?

15 A. Well, I can't say that my personal experience  
 16 numbers enough to say that I've studied it myself, so  
 17 to speak. I don't know how to answer your question.

18 Q. Well, I guess what I'm asking is you're saying  
 19 that there's sort of a split of opinion. There are  
 20 those who think that asbestosis will always progress  
 21 and those who would say in somebody like Mr. [REDACTED] it  
 22 won't progress. And you are in agreement with those  
 23 who say that it will progress? And I just want to know  
 24 why.

25 A. Well, I think that some of the articles that

0105

1 say that it doesn't progress and that it's not as  
 2 dangerous as I propose look at different populations,  
 3 they look at different in points, and there are clearly  
 4 very strong reasons for the industry to look at this  
 5 long and hard and to develop a body of literature that  
 Page 86

KLEPPER

11 that there are reasons for bias in finding that  
 12 asbestos -- asbestosis is always progressive?

13 MR. BARLOW: Object to the form.

14 A. Yeah, I can see that point. The only argument  
 15 or statement would be that a lot of this work like the  
 16 Selikoff work was done, I think, before the plaintiffs'  
 17 attorneys were deeply involved in this kind of work.

18 Q. (BY MS. POWELL) Okay. So -- well, putting  
 19 aside Selikoff's work, I'm talking now about recent  
 20 work. You would agree with me then for recent work  
 21 that the potential for bias because of plaintiffs'  
 22 attorney's involvement exists as well?

23 A. On both sides, correct.

24 MR. BARLOW: Hey, Lisa, if we're going to  
 25 go a lot longer, I need to go to the little boy's room.

0107

1 MS. POWELL: Sure. Let's go.

2 THE VIDEOGRAPHER: Going off the record at  
 3 5:51.

4 (Recess taken from 5:51 PM to 6:03 PM)

5 THE VIDEOGRAPHER: Stand by. Going on  
 6 record at 6:03.

7 Q. (BY MS. POWELL) All right, Doctor. I'd like  
 8 to talk to you about some of the other diseases which  
 9 you were of the opinion that asbestos causes. Are you  
 10 of the opinion today that Mr. [REDACTED] will be diagnosed  
 11 with -- strike that.

12 Are you of the opinion today that with  
 13 reasonable medical probability that Mr. [REDACTED] will be  
 14 diagnosed with any other asbestos-related disease?

15 A. Well, he certainly has an elevated risk of  
 16 developing mesothelioma, G.I. malignancy, colon cancer

Page 88

17 to name a few.  
 18 Q. Right. And I understand your opinion is he is  
 19 at an increased risk, but what I'm asking is as you sit  
 20 here today, can you say with reasonable medical  
 21 probability that he will, in fact, contract another  
 22 asbestos-related disease?  
 23 A. No, it's not a sure thing.  
 24 Q. All right. And with respect to the other  
 25 diseases that you say he is at an increased risk of  
 0108  
 1 contracting -- let's first start with the lung cancer.  
 2 I believe you testified he had a 20-time greater risk  
 3 of contracting lung cancer than a nonsmoker who was not  
 4 exposed to asbestos?  
 5 A. Yes, ma'am.  
 6 Q. What is the risk of a nonsmoker not exposed to  
 7 asbestos of contracting lung cancer?  
 8 A. I can't give you an exact number, 1 in 10,000.  
 9 Q. 1 in 10,000?  
 10 A. One in a thousand.  
 11 Q. You think it's approximately 1 in a thousand  
 12 that a nonsmoker has a risk of contracting lung cancer?  
 13 A. 1 in 10,000 seems reasonable in their  
 14 lifetime. It's one of the most common causes of  
 15 cancer.  
 16 Q. So what you're saying then is that a nonsmoker  
 17 exposed to asbestos has a 20 in 10,000 --  
 18 A. 1 in 500 chance.  
 19 Q. Okay. Thank you.  
 20 A. Roughly.  
 21 Q. All right. And with respect to mesothelioma,

2 years prior. But based on the history I got and what  
 3 he told me, it is my belief that this man was exposed  
 4 to asbestos.  
 5 Q. And, in fact, you know on the questionnaire  
 6 that he filled out if he indicated whether he was  
 7 exposed to asbestos?  
 8 A. Was that a question?  
 9 Q. I believe so.  
 10 A. There you go. He said not that he was aware  
 11 of. Like I said, these people did their job. Their  
 12 concern was not what is that made of but of production,  
 13 and they're not -- they're workers.  
 14 Q. Right. And whether or not a product contained  
 15 asbestos might not have been of concern to them at the  
 16 time?  
 17 A. Exactly.  
 18 Q. If it's possible that Mr. ██████ could make a  
 19 mistake as to what contains or didn't contain asbestos,  
 20 isn't it possible that some of the workers that said  
 21 they were exposed to asbestos could be mistaken?  
 22 MR. BARLOW: Object to form.  
 23 A. It's always possible.  
 24 Q. (BY MS. POWELL) And do you do anything to  
 25 verify that what these workers are telling you is

0111  
 1 correct?

2 A. Well, through the six or seven years of doing  
 3 this kind of work, I've talked to many patients. Some  
 4 of them are pretty smart. A foreman, the supervisors,  
 5 even some attorneys have assured me that certain  
 6 materials used in that environment had asbestos in it.

22 can you give me what the -- what risk Mr. ██████ has of  
 23 contracting it?  
 24 A. Approximately double the general population.  
 25 Q. Do you know what the risk is in the general  
 0109  
 1 population?  
 2 A. Pretty low, one in a hundred thousand.  
 3 Q. And can you tell me what study you rely on for  
 4 that opinion?  
 5 A. No, ma'am.  
 6 Q. Okay. And with respect to your opinion  
 7 that -- to kind of save time, I'm going to put this a  
 8 group, that asbestos causes laryngeal, esophageal and  
 9 G.I. cancer, can you point me to any specific  
 10 literature for that opinion?  
 11 A. Not specifically, but it's a common -- or a  
 12 commonly held belief. It's described in the texts and  
 13 the articles on this subject. That's not a surprise.  
 14 Q. Now, getting back to Mr. ██████ asbestos  
 15 exposure. I believe you told me that he told you he  
 16 was exposed to asbestos; is that correct?  
 17 A. Well, he told me what he did as I asked him,  
 18 and some of these people know what asbestos is; some of  
 19 them honestly don't know what asbestos is, Doctor. And  
 20 when I find someone who has worked in a furnace in the  
 21 cupola with the ladles, the pouring operation, wearing  
 22 heat protective gloves, et cetera, some of them will  
 23 say it was asbestos. I don't know if he told me it was  
 24 asbestos. He's not an asbestos expert.  
 25 Q. And he could be mistaken?

0110  
 1

A. He could be mistaken, and it's also been many  
 Page 90

7 Q. And in this case, have any of the attorneys  
 8 assured you that versus the cupola or the ladle  
 9 contained asbestos?  
 10 A. Well, Tyler -- I've seen a lot of people from  
 11 Tyler Pipe, so as I say, my information -- the  
 12 information that I believe has come from other workers  
 13 that kind of know what's going on.  
 14 Q. I'm asking you in this case, did the attorneys  
 15 from Baron & Budd tell you that any of these things  
 16 contained asbestos?  
 17 A. No, but the -- no -- not specific to this man.  
 18 Q. Well, in general with respect to Tyler Pipe,  
 19 have the attorneys told you that any portions of the  
 20 foundry contained asbestos?  
 21 A. I can't recall an attorney telling me that  
 22 this had asbestos and this did not. Believe it or not,  
 23 I get a lot of information over time from the workers.  
 24 Some of are no help. Some are very valuable, and  
 25 that's part of --

0112  
 1

Q. Well, how do you make a determination as to  
 2 whether the information you receive from a worker is  
 3 valuable or invaluable because people can be sincere  
 4 but mistaken?

5 A. Well, when they describe the name of the  
 6 product that they used, and it said asbestos on it, it  
 7 has asbestos in it, and I've heard that more than a few  
 8 times from workers.

9 Q. Okay.

10 A. Not this man.

11 Q. Now, when is the last time that you saw

12 Mr. ██████?

KLEPPER

13 A. The day I saw him the first time.  
14 Q. So it's been over three years?  
15 A. Yes, ma'am.  
16 Q. So if we wanted to know his current condition,  
17 would you agree with me that the best source of that  
18 information is someone who has seen him most recently,  
19 examined him most recently?  
20 A. Like his doctor or his --  
21 Q. Any other doctor?  
22 A. Well, unless it's a doctor from the defense.  
23 Q. And why would you discount any doctor for the  
24 defense?  
25 A. They might have a bit of askewed opinion  
0113  
1 perhaps.  
2 Q. Biased?  
3 A. Yeah.  
4 Q. And why would that bias exist because they're  
5 being paid by the defense?  
6 A. That might have something to do with it.  
7 Q. And you're being -- with all due respect,  
8 Dr. Klepper, you're being paid by the plaintiffs?  
9 A. That's right.  
10 Q. The same potential -- and your firm is making  
11 a million dollars a year from plaintiffs' attorneys,  
12 fair? Potential for bias exists, doesn't it?  
13 A. (Witness nods head)  
14 Q. Say yes.  
15 A. Yes.  
16 MR. BARLOW: That was a question.  
17 Q. (BY MS. POWELL) And have you been told or been

Page 93

KLEPPER

24 A. Cade?  
25 Q. Yes, C-a-d-e, who is a radiologist in Dallas.  
0115  
1 A. No.  
2 Q. He has done some B reading reports. I take it  
3 that you were not shown those?  
4 A. Correct.  
5 MS. POWELL: Let's go ahead and mark  
6 these.  
7 (Exhibit No. 10 was marked)  
8 Q. (BY MS. POWELL) Dr. Klepper, I've shown you  
9 what's been marked Exhibit 10, and it's two B reading  
10 reports I'll represent to you done by Dr. Cade. As you  
11 can see that, one x-ray is dated 6/13/96, and the other  
12 is dated 1/27/2000. And I'll represent to you that the  
13 x-ray dated 6/13/96 was provided to me by the  
14 plaintiff's attorneys as being the same -- represented  
15 to me was the same one that you read?  
16 A. Um-hmm, yes.  
17 Q. Now, did Dr. Cade reach the same conclusion as  
18 you?  
19 A. No.  
20 Q. And what conclusion did he reach?  
21 A. Looks like it was negative based on his  
22 assessment.  
23 Q. Okay. And with respect to the x-ray dated  
24 January 27, 2000 which I'll represent to you is one  
25 which Dr. Stockman ordered, what finding did he make?  
0116  
1 A. It's negative on that also.  
2 Q. All right. And how do you reconcile

Page 95

KLEPPER

18 shown a copy of the IME report which Dr. Stockman  
19 completed on Mr. [REDACTED] in this case?  
20 A. I looked at the PFTs from that report. I  
21 didn't look at any other part of it.  
22 Q. Was there anything significant that you saw in  
23 those?  
24 A. The PFTs?  
25 Q. Yes.  
0114  
1 A. They were very similar to the PFTs we did.  
2 They showed a bit less restriction like about 82  
3 percent, I believe, on the TLC, and she described  
4 obstruction and there may have been very minor changes  
5 in the spirogram, the spirometry consistent with  
6 obstruction which I did not see on our PFTs.  
7 Q. All right.  
8 A. So they were similar.  
9 Q. Very similar. So, in fact, there hasn't been  
10 a rapid decline in his PFT tests; is that correct?  
11 A. That would be a safe assumption since the lung  
12 volumes are really not an effort dependent phenomenon.  
13 You can sand bag other portions of this, but that's one  
14 that's pretty producible. So, yes, I would say his  
15 lung volumes, his restrictive physiology has been  
16 stable over the interval between those -- our PFTs and  
17 whatever she did hers.  
18 Q. Do you know Dr. Stockman?  
19 A. Personally, no.  
20 Q. Do you have any reason to doubt her abilities  
21 other than what you've already told me?  
22 A. No.  
23 Q. Do you know Dr. Cade?

Page 94

KLEPPER

3 the different findings?  
4 A. I disagree.  
5 Q. You just disagree?  
6 A. I disagree. The x-ray that he read that I  
7 also read he scored as a film quality three based on  
8 contrast. I simply disagree with that. That is a  
9 pretty dispirit assessment, but -- I disagree with his  
10 assessment.  
11 MR. BARLOW: I need to put an objection on  
12 the record to this line of questioning since he's not  
13 relying on any of these in the formation of his  
14 opinion.  
15 MS. POWELL: I can still cross him on it.  
16 MR. BARLOW: You can ask him about it. I  
17 just need to put that on the record.  
18 MS. POWELL: All right.  
19 A. Also, to say that pleural plaque can be very  
20 subtle, and it's well known that it is one of the more  
21 underread phenomenon on asbestos exposed patients.  
22 It's a long story, but in the B reading testing, that's  
23 what people miss the most. They misread it or  
24 underread it or don't see it at all.  
25 Q. (BY MS. POWELL) Well, evidently he passed the  
0117  
1 B Reader test, correct, if he's a B Reader.  
2 A. Evidently, he didn't see the plaque.  
3 Q. Well, that's a difference of opinion, correct?  
4 MR. BARLOW: Object to form.  
5 A. Could be, yes.  
6 Q. (BY MS. POWELL) And he's had the opportunity  
7 to read two different sets of x-rays which you have  
8 not; is that fair?

Page 96



9 A. Correct.

10 MR. BARLOW: Object to form.

11 Q. (BY MS. POWELL) And given the fact that he

12 is -- had the opportunity to read two different sets of

13 x-rays, would you agree with me that he's in a better

14 position to evaluate the radiological findings?

15 MR. BARLOW: Object to form.

16 A. You would think so, but, no, I'm not going to

17 agree with that. The other x-ray was quality two

18 underexposed. A little bit of rotation will cause a

19 plaque to be not seen because you don't see it in

20 profile. It's not unusual to see plaque on one film

21 and not on another. I simply --

22 Q. (BY MS. POWELL) He didn't see it on either,

23 correct?

24 A. Right. And like I said, I disagree. I stick

25 with my reading.

0118 Q. I wanted to go back over something that you

1 talked about in the direct examination. You mentioned

2 a patient who had some bones break from coughing.

3 A. Right.

4 Q. Was this a patient that was -- who was

5 diagnosed with asbestosis?

6 A. Yes, ma'am.

7 Q. And this was a patient you were following?

8 A. Yes, ma'am.

9 Q. And what was this patient's name?

10 A. [REDACTED]

11 Q. Okay. And do you know did this person have a

12 lawsuit?

13 lawsuit?

Page 97

KLEPPER

20 you issued in connection with your deposition here

21 today?

22 A. No, I have not.

23 Q. Have you been shown anything other than what's

24 in front of us here today?

25 A. Can't you tell?

0120 Q. Now, Doctor --

1 A. No, ma'am, I haven't.

2 Q. And have -- were you provided anything by the

3 attorneys for the plaintiffs in this case other than

4 what's in front of us here today?

5 A. No, ma'am.

6 Q. And did you have the opportunity to meet with

7 Mr. Barlow before your deposition? Yes?

8 A. Yes.

9 Q. For how long?

10 A. For about an hour.

11 Q. And what did you talk about?

12 A. This case, what I thought, my opinions, what

13 would I answer to this or that question.

14 Q. Anything else?

15 A. No.

16 Q. I'd like to go ahead and mark your report as

17 an exhibit -- I'm sorry, not your report, the form

18 which Mr. [REDACTED] filled out.

19 (Exhibit No. 11 was marked)

20 Q. (BY MS. POWELL) And, Dr. Klepper, I'm going to

21 show you what's been marked as Klepper Exhibit 11 and

22 ask you if you can identify that.

23 A. That's the worksheet that is typically sent to

24

Page 99

KLEPPER

14 A. Yes.

15 Q. And do you know who this person's attorney

16 was?

17 A. No.

18 Q. Did you testify about Mr. [REDACTED] bone's

19 breaking in a deposition --

20 A. No.

21 Q. -- or at trial?

22 A. He never went to trial.

23 Q. And I don't believe I asked you this question.

24 How many patients have you personally followed as

25 opposed to these medical legal examinations who have

0119 been diagnosed with asbestosis?

1 A. A handful.

2 Q. And a handful is --

3 A. Five.

4 Q. -- five?

5 A. Being a consultant, though, we'll see people

6 in the hospital with plaque and never see them again

7 because they don't require routine follow-up with a

8 pulmonologist or their internist will follow them

9 along. So we may see more people with an asbestos- or

10 a pneumoconiosis-related change that we don't follow on

11 a regular basis because there is no treatment for this

12 disease.

13 Q. But as far as those patients who you have

14 followed over time, there would be those five patients

15 that you've told me?

16 A. Roughly.

17 Q. Roughly, approximately. Okay. And, Doctor,

18 have you reviewed anything other than the reports which

19

20

21

22

23

24

25

0121 the patient or individual before they're seen so we can

1 get some demographic information and kind of get a head

2 start on their symptoms -- and then this is gone over

3 two separate times, once by the nurse and then again

4 myself.

5 Q. And, Doctor, is this the report which we

6 referred to earlier where you told me that Mr. [REDACTED]

7 did not state that he had been exposed to asbestos?

8 A. Yeah, I think you pointed that out.

9 Q. Okay.

10 A. He was not aware of having been exposed is the

11 fact of the matter.

12 MS. POWELL: Let's go ahead and mark this

13 as an exhibit.

14 (Exhibit No. 12 was marked)

15 A. It's a different patient.

16 Q. (BY MS. POWELL) Correct. Dr. Klepper, I'm

17 going to show you what's been marked as Klepper Exhibit

18 12 and ask you if you can identify it?

19 A. Looks like a B reading work form, worksheet.

20 Q. And would this be for a Mr. [REDACTED]?

21 A. Yes.

22 Q. And can you tell me what positive findings you

23 made?

24 A. He had a Grade 1 x-ray. He had parenchymal

25 opacities with primary T and secondary Q which would be

0122 irregular and rounded opacities in the mid and lower

1 zones on both sides, and he had a profusion score of

2 1/0. He didn't have any pleural plaque nor any other

3 abnormalities.

4

Page 98

KLEPPER

Page 100

KLEPPER

5 Q. Okay. And just as we talked about with  
6 Mr. [REDACTED] the finding you made of these opacities was  
7 made in the section entitled, "Small Opacities;" is  
8 that correct?  
9 A. Correct.  
10 Q. And you found medium sized small opacities; is  
11 that correct?  
12 A. That's correct.  
13 Q. And as far as the profusion which that gives  
14 you the degree of the opacities; is that right?  
15 A. Yes.  
16 Q. And what finding did you make?  
17 A. It was a 1/0.  
18 Q. And is that the smallest or most minimal  
19 positive finding that you would recognize?  
20 A. That's exactly how I would describe it.  
21 Q. All right. And would you agree with me that  
22 there's a debate in the literature as to whether a 1/0  
23 finding is sufficient for a diagnosis of asbestosis?  
24 A. Well, yes, for sake of argument. We've  
25 already talked about the fact that you can have  
0123  
1 asbestosis diagnosed by autopsy or a biopsy of the  
2 lung. I mean --  
3 Q. And obviously we don't have that in this case?  
4 A. Correct. But, yes, there is some debate about  
5 whether a 1/0 is positive or a 1/1 is required to make  
6 the diagnosis.  
7 Q. And if you could just explain to the jury  
8 again what a 1/0 signifies? What is the one of that  
9 diagnosis mean?

Page 101

KLEPPER

16 A. He read both as negative.  
17 Q. All right. And again, just as we discussed  
18 with Dr. [REDACTED] would you agree with me that Dr. Cade  
19 is in a -- would you disagree that Dr. Cade is in a  
20 better position to evaluate the condition of Mr. [REDACTED]  
21 since he's had the opportunity to review two x-rays?  
22 A. No. The -- comparing apples to apples, the  
23 x-ray that I saw and read and the x-ray dated the same  
24 that he read as negative, although one is positive and  
25 one is negative, many people if it's a 0/1 which is a  
0125  
1 very minor difference, many 8 Readers will say  
2 negative. They won't say 0/1 because negative -- 0/1  
3 implies negative. They will go ahead and not mark that  
4 section, okay. So it is very possible that this other  
5 physician and I are not that far apart on our  
6 assessment even though it's described as negative.  
7 Q. Okay. So you're saying that Dr. Cade may have  
8 really found 0/1 but just not taken the time to write  
9 it down?  
10 A. It's not an issue of taking the time. Once  
11 you record it, then you can argue about it, okay.  
12 Q. All right. So you're saying that he made --  
13 you are a 1/0, and he may be a 0/1 which is a negative  
14 finding, and there's not that much difference between  
15 your positive finding and that potential negative  
16 finding?  
17 A. Precisely. And he's got nothing to gain by  
18 saying it's a 0/1.  
19 Q. In what sense?  
20 A. Well, because he's probably reading x-rays for

Page 103

KLEPPER

10 A. When you learn to read x-rays, when you take  
11 the course, you put the patient's x-ray up and you put  
12 up the standards. And the first question you ask is,  
13 is this closer to a 0/0 or closer to a 1/1. If you  
14 think it's closer to a 1/1, then you ask, did I  
15 consider the grade above it, a 2/2, or did I consider  
16 the grade below it, a 0/0, seriously consider. So if  
17 you look at the film, and it matches your 1/1 pretty  
18 consistently, and if doing all the averaging of the  
19 lung fields and allowing for penetration if it matches  
20 with a 1/1, it's a 1/1. If it looks like a 1/1 but you  
21 considered a 0/0, it's a 1/0.  
22 Q. So in this case, you found it was a one which  
23 is the most minimal category of profusion, but you  
24 considered finding zero; is that correct?  
25 A. That's correct.

0124

1 MS. POWELL: Can we go off the record a  
2 second?  
3 THE VIDEOGRAPHER: Going off the record at  
4 6:29.  
5 (Recess taken from 6:29 PM to 6:43 PM)  
6 THE VIDEOGRAPHER: Stand by. Going on  
7 record at 6:43.  
8 MS. POWELL: Let's mark these.  
9 (Exhibit Nos. 13 and 14 were marked)  
10 Q. (BY MS. POWELL) Dr. Klepper, I'm going to show  
11 you what's been marked as Exhibit 14 which I'll  
12 represent to you are two 8 reader reports done by  
13 Dr. Cade. The first of the same x-ray you reviewed and  
14 the second of the x-ray taken by Dr. Stockman. What  
15 were the results that Dr. Cade found?  
Page 102

KLEPPER

21 defendants. So if he thinks it's a 0/1, he might as  
22 well say it's negative. That's kind of what he's  
23 saying by a 0/1.  
24 Q. So again you are presuming bias?  
25 A. I'm offering that as an explanation for the  
0126  
1 difference between the two x-rays, the two  
2 interpretations.  
3 Q. Thank you, Dr. Klepper. I have no further  
4 questions at this time.  
5 MR. BEASON: I just have a few for you,  
6 Dr. Klepper.  
7 CROSS-EXAMINATION  
8 BY MR. BEASON:  
9 Q. Earlier we were talking at one point in time  
10 about clubbing of digital extremities. Do you remember  
11 talking about that?  
12 A. Yes.  
13 Q. And that occurs within cases with asbestosis,  
14 correct?  
15 A. It can, yes.  
16 Q. And that looks like a bell-shaped  
17 configuration at the end of the fingers?  
18 A. Kind of like a drumstick, the end of a  
19 drumstick.  
20 Q. And does that occur with any other disease,  
21 the process, pneumoconiosis other than asbestosis?  
22 A. Yes, sir.  
23 Q. What does that occur with?  
24 A. It is seen with silicosis. It is seen with a  
25 liver disease. It is seen with some diseases of

Page 104

0127

1 shunting in the lung where blood goes through an  
2 opening in the heart of the lung. I'm sure it can be  
3 seen in other forms of pulmonary fibrosis,  
4 pneumoconiosis and otherwise.

5 Q. You were also mentioning about your positive  
6 findings on x-rays for -- the x-rays that you review --

7 A. Yes.

8 Q. -- on an annual basis, and you said that you  
9 don't keep track of a positivity rate but you felt that  
10 there might be others who did?

11 A. Yes.

12 Q. Who would keep track of that?

13 A. Well, perhaps a law firm that provides me with  
14 x-rays to be read and interpreted might keep track of  
15 what my positivity and negativity rate is. I don't  
16 know, and I don't want to know.

17 Q. Okay. So -- but when you said that, you don't  
18 know of anybody particular, you're just speculating  
19 that perhaps somebody else does?

20 A. That's exactly correct.

21 Q. Okay. Now, you're not a toxicologist, are  
22 you?

23 A. Well, I -- part of being a pulmonary and  
24 critical care physician is seeing people exposed to  
25 certain toxins like this or overdoses, toxic exposures,

0128

1 et cetera. My primary area of training is not in  
2 toxicology, no.

3 Q. You don't practice as a toxicologist?

4 A. No.

5 Q. You've never practiced as a toxicologist?

Page 105

KLEPPER

12 A. No, not primarily.

13 Q. And you had never practiced as an  
14 epidemiologist?

15 A. Not solely.

16 Q. And you don't hold yourself out as an  
17 epidemiologist?

18 A. That would be accurate.

19 Q. Are you familiar with the terms of relative  
20 risk ratios?

21 A. Yes --

22 Q. Okay.

23 A. -- vaguely.

24 Q. And what do you understand that to be?

25 A. The relative risk of developing a disease as

0130

1 it relates to an exposure or external phenomenon.

2 Q. Talking about fields still of epidemiology and  
3 toxicology, what do you consider to be confidence  
4 intervals to mean?

5 A. I don't know.

6 Q. Okay. In talking in the fields of toxicology  
7 and epidemiology, what do you understand biological  
8 plausibility to mean?

9 A. I would be guessing, and I'm not going to  
10 guess or speculate.

11 Q. Okay. These terms and how they relate to the  
12 specific field of toxicology and epidemiology aren't  
13 something that you routinely deal with?

14 A. Not really.

15 Q. Okay. You're not a rheumatologist, are you?

16 A. No.

Page 107

KLEPPER

6 A. No.

7 Q. You don't hold yourself out to be a  
8 toxicologist?

9 A. No.

10 Q. Other than your working in the -- what we've  
11 talked about with seeing patients on a medical legal  
12 area, you don't have any particular training, education  
13 or experience in the field of toxicology other than  
14 ordinary physicians?

15 A. No, wrong, because there are inhalations like  
16 gas releases, chlorine releases. It is relatively  
17 rare, but we do take care of people with, like I say,  
18 overdoses in exposure. So it's not a big part of my  
19 practice, but it is not the -- the concept is not  
20 entirely foreign to me or my partners.

21 Q. Other than in inhalation matters, you don't  
22 have any other areas of working with toxicology?

23 A. No, sir.

24 Q. You're not an epidemiologist, are you?

25 A. Well, that's part of medicine which I've not

0129

1 performed very well at this particular time, but, yes,  
2 part of medicine is some passing understanding of  
3 epidemiology and the rate of the disease of the  
4 population.

5 Q. Do you consider yourself to be an  
6 epidemiologist?

7 A. I would consider myself with training in  
8 epidemiology more than the layperson and with respect  
9 to lung diseases more than an internist or a family  
10 practitioner.

11 Q. But you don't practice as an epidemiologist?  
Page 106

KLEPPER

17 Q. That's one I can get definite agreement on; is  
18 that right?

19 A. That's correct.

20 Q. Okay. You don't practice as a rheumatologist?

21 A. No.

22 Q. You've never practiced as a rheumatologist?

23 A. No.

24 Q. And you don't hold yourself out as a  
25 rheumatologist?

0131

1 A. That's correct.

2 Q. And other than ordinary physicians have a  
3 little bit of understanding of rheumatology, you don't  
4 have any other particular training, education or  
5 experience in the field of rheumatology?

6 A. No.

7 Q. You're agreeing with me on that?

8 A. I'm agreeing with you. I do not.

9 Q. Okay. Have you ever made any -- or done any  
10 research regarding the causes of rheumatoid arthritis?

11 A. No.

12 Q. Do you have an opinion as to whether or not  
13 exposure to silica causes rheumatoid arthritis?

14 A. I do.

15 Q. And what is that opinion?

16 A. It is my opinion, and it is supported in  
17 literature that there is a link between silica  
18 exposure, silicosis and rheumatoid arthritis.

19 Q. Okay. And but you haven't ever done any  
20 research regarding -- on that?

21 A. No.

22 Q. Okay. And that's just become aware because of  
Page 108

23 your vague understanding of knowing that some  
24 literature is out there?

25 A. I'm aware of it because I have been seeing  
0132  
1 more and more patients with silicosis and have done a  
2 little more reading in the area, and there are several  
3 studies that show a link between not only rheumatoid  
4 arthritis but other collagen vascular diseases like  
5 scleroderma.

6 Q. Who do you -- whose studies or who do you  
7 recognize as being informative or worthy of value on  
8 that subject matter?

9 A. I can't recall the author of the papers I'm  
10 thinking of.

11 Q. And on those papers that you're thinking of,  
12 are familiar of what kind of relative risk ratios  
13 they've found?

14 A. I would be speculating.

15 Q. Okay. But you can't think of any other names  
16 or any -- or identified, if I threw out 15 different  
17 names, you wouldn't be able to pick out the one on  
18 there who has written a lot about the subject matter of  
19 causation of rheumatoid arthritis?

20 A. It's not something I need to know on a  
21 day-to-day basis.

22 Q. I got you. And you're not familiar with any  
23 issues such as whether or not -- and can tell me  
24 whether there's a biological plausibility regarding the  
25 connection of silica exposure and rheumatoid arthritis?

0133  
1 A. Well, there must be some connection because

8 A. I would -- yes, I would look for silicosis.

9 Q. Underlying silicosis?

10 A. Yes.

11 Q. Okay. And what kind of latency period would  
12 you look for on silica exposure and rheumatoid  
13 arthritis?

14 A. I have no opinion. I don't know.

15 Q. Wouldn't you agree with me, sir, on this  
16 subject matter of the exposure to silica and whether or  
17 not it causes rheumatoid arthritis is really something  
18 better for the parameters of other experts other than  
19 yourself?

20 A. No, not if we're talking about silicosis.

21 Q. Not talking about silicosis, talking about the  
22 issue of whether silica causes rheumatoid arthritis.

23 A. Well, I'm not an expert in rheumatology. I  
24 see people with silica exposure and silicosis, and I  
25 have seen people with silicosis and rheumatoid

0135  
1 arthritis. I have read papers that suggest a link  
2 between the two. And I have no reason to believe there  
3 isn't a relationship.

4 Q. Okay, a relationship. But my question to you  
5 is really on that subject matter of silica exposure and  
6 rheumatoid arthritis. That's a question that's really  
7 better left to experts other than yourself?

8 A. Sure.

9 Q. Okay.

10 A. Or I could probably look it up and learn more  
11 about it too.

12 Q. But right now, as we sit here right now, you

2 the frequency of rheumatoid arthritis has increased in  
3 patients exposed to silica and who have silicosis.

4 Q. Okay. Do you know whether or not there have  
5 been any animal studies done on that subject matter?

6 A. I don't know. I'm sure there have been.

7 Q. Okay. And on that same subject matter, are  
8 you aware of any cohort mortality studies done of  
9 people in areas who have worked in what some might  
10 consider high silica content to analyze the incidents  
11 of rheumatoid arthritis?

12 A. I recall vaguely that those exposed versus  
13 those not exposed have a higher rate of RA, scleroderma  
14 to name a few collagen vascular diseases.

15 Q. You're conglomerating all three of them  
16 together to say there was a higher incidents. But what  
17 I'm asking about is the rheumatoid alone. Are you  
18 familiar with that?

19 A. Once again, I believe there is an increased  
20 rate of rheumatoid arthritis in people exposed to  
21 silica and who have silicosis.

22 Q. And the more specific of my question was, were  
23 you aware of any cohort mortality studies? Do you know  
24 what a cohort mortality study is?

25 A. Other people not exposed, comparing two

0134  
1 groups.

2 Q. Something similar to that.

3 A. I'm not aware, no.

4 Q. Okay. Are you aware of whether or not you  
5 would look for there to be underlying silicosis if you  
6 were to say there's going to be a connection between  
7 the silica exposure and rheumatoid arthritis?

13 really haven't done that?

14 A. I've read a little bit, but I can't answer  
15 your questions as specifically as you'd like.

16 Q. Okay. I don't have any further questions for  
17 you at the time, Doctor. Thank you.

## REDIRECT EXAMINATION

19 BY MR. BARLOW:

20 Q. Doctor, you were asked a bunch of questions  
21 about what rate at which you find positive readings on  
22 x-rays and positive findings of asbestos-related  
23 disease or occupational-related disease. When you  
24 review x-rays of workers, are you looking at a cross  
25 section of the general population or is it something

0136  
1 different? Can you tell the jury what it is?

2 A. Well, they are selected. These aren't people  
3 who are picked up off the street looking for a  
4 pneumoconiosis. These are typically people in a union,  
5 pipe fitters union, boilermakers union that are  
6 x-rayed, and those x-rays are then sent to me. So I am  
7 not told what the exposure is or the concern is, but I  
8 can assume that the people I see have been x-rayed  
9 because of a concern of an exposure to a pneumoconiotic  
10 potential.

11 Q. And if we were to compare your rate of  
12 positive findings of occupational disease to someone  
13 else's, would it be important that the types of groups  
14 be the same?

15 A. Yes. It's not uncommon for a population to be  
16 screened on a yearly basis, and these may be young  
17 people, 25, 30. The City of Austin has a routine  
18 screening procedure looking for a variety of diseases.

19 So, yes, it's very important if you look at healthy  
20 unexposed people or healthy young minimally exposed  
21 people, you're going to have a different positivity  
22 rate.  
23 Q. It's important to compare apples to apples and  
24 oranges to oranges?  
25 A. That's correct.

0137

1 Q. Doctor, would it be proper as a physician for  
2 you to let any outside source influence your opinions  
3 when you're evaluating people for possible occupational  
4 disease?

5 A. No. It's difficult enough to take the x-ray  
6 and read it as accurately as one can trying to exclude  
7 all external biases. So to know what other people's  
8 positivity rate is would make it even more difficult,  
9 and I don't think it would be good -- I don't think it  
10 would alter what I do and how I do it.

11 MS. POWELL: Object to the nonresponsive  
12 portion of the answer.

13 Q. (BY MR. BARLOW) You were also asked a lot of  
14 questions about what you knew about the x-ray equipment  
15 and the x-ray technician which did the x-rays that you  
16 reviewed. Is that important for you to know?

17 A. I don't think it is.

18 Q. Can you explain to the jury why that's not  
19 important to you?

20 A. When I get the x-ray for screening evaluation,  
21 the first thing you do is assess the quality, and there  
22 are many parameters of quality, positioning, contrast,  
23 exposure. There are certain artifacts, et cetera. So

Page 113

4 products that were used out there generally?

5 A. Well, I know -- I've heard many stories about  
6 the cupola, which is, I believe, a long tall  
7 cylindrical furnace type operation. There are  
8 receptacles for molten cast iron that are lined with  
9 heat-resistant materials. There are smaller vessels  
10 for the same purpose. And there's a lot of sand, and  
11 sand is used in the molding and the core operation.  
12 There are several furnaces that are used to cook the  
13 cores. There's no doubt in my mind that asbestos was  
14 used in that operation in a lot of different ways.

15 Q. And you were asked to assume that in  
16 Mr. [REDACTED] case in a hypothetical that all of the  
17 products he worked around turned out to not be asbestos  
18 containing. Does that seem reasonable to you based on  
19 what you know about Tyler Pipe?

20 MS. POWELL: Objection, form.

21 A. No, it doesn't.

22 Q. (BY MR. BARLOW) And, Doctor, you were asked a  
23 little bit about Exhibit 3, Klepper Exhibit 3, about  
24 the study and what the relative risk ratio was on that  
25 study. Is that the type of -- is that the type of

0140

1 study that's going to have relative risk ratio?

2 A. Perhaps not. These are expected deaths and  
3 actual deaths, and I'm not sure you would get that  
4 information from this type of study.

5 Q. On that chart, there's no -- there's no  
6 control group that it's being compared against?

7 A. Correct.

8 Q. Okay. Now, Doctor, you were also asked some

Page 115

24 from looking at the x-ray, you know if it's a good  
25 x-ray or not. It may be unreadable. If it's

0138

1 unreadable, it's marked that way and it's not  
2 interpreted. That is a source of discrepancy. The  
3 worse an x-ray is, the more discrepancy there may be  
4 from reader to reader. But, no, it's not important for  
5 me to know who took it or how -- if it's a good x-ray,  
6 it's a good x-ray.

7 Q. You were also asked somewhat about the ATS  
8 criteria. The ATS does not require an x-ray for the  
9 diagnosis of asbestosis, does it?

10 A. Not technically if you read the article word  
11 to word.

12 Q. It's suggested?

13 A. It's suggested and helpful.

14 Q. Yes. But, in fact, medical literature does  
15 recognize cases of asbestosis where there's no positive  
16 x-ray finding?

17 A. Yes.

18 Q. But in Mr. [REDACTED] case, he actually did have  
19 a positive finding, right?

20 A. My opinion is that he had a 1/1 x-ray which is  
21 positive. I'm conservative when I read the x-rays for  
22 this exact reason.

23 Q. Doctor, you've seen a lot of people from the  
24 Tyler Pipe Foundry?

25 A. Workers?

0139

1 Q. Workers.

2 A. Yes.

3 Q. And what have they told you about the types of  
Page 114

9 questions about what the risk of developing cancer was  
10 for Mr. [REDACTED]. Prior to this deposition, had you  
11 reviewed any literature with regard to what the actual  
12 risk were of an asbestotic -- a person asbestotic like  
13 Mr. [REDACTED] developing mesothelioma or lung cancer?

14 A. No.

15 Q. Are those figures something that you carry  
16 around in your head?

17 A. No.

18 Q. Are you confident in the figures that you gave  
19 in this deposition?

20 A. No, specifically the figures of the rate of  
21 lung cancer. The relative additive risk of asbestos  
22 exposure, I feel a bit more certain about. But in my  
23 job on a day-to-day basis, I don't typically need to  
24 know the general population's risk of lung cancer. It  
25 may seem strange, but there's plenty of other things

0141

1 that I need to know, so.

2 Q. Okay. Would you defer to an epidemiologist  
3 who does study in this area on that subject?

4 A. Certainly.

5 Q. Doctor, did I ask you to look up for  
6 mesothelioma on our last break what the relative risk  
7 was for asbestos-exposed workers?

8 A. Yes.

9 Q. And what did you find?

10 A. It gave a range of approximately 7 to 13  
11 percent, I believe, of asbestos exposed workers.

12 Q. Develop --

13 A. -- mesothelioma.

14 Q. Okay.

Page 116

KLEPPER

15 MR. BARLOW: Pass the witness.  
16 MS. POWELL: Dr. Klepper, I have a couple  
17 of other questions.

RE-CROSS EXAMINATION

19 BY MS. POWELL:

20 Q. Now, you just said that on the last break  
21 Mr. Barlow came to you and asked you to look up the  
22 risk of mesothelioma for asbestos workers; is that  
23 correct?

24 A. He didn't ask me to. We talked about it, and  
25 I looked on up to date --

0142

1 Q. Well, did he tell you that he thought maybe  
2 the information you gave in your deposition wasn't  
3 right?

4 A. He was concerned that I was off.

5 Q. Okay. So he brought that to your attention?

6 A. Yes.

7 Q. Okay. And that's why you looked it up. Can  
8 you tell me what study you looked at?

9 A. No, I can't and not because I won't, because I  
10 don't remember.

11 Q. Right, okay. You gave some figures about the  
12 cost of medical monitoring, I believe, for Mr. [REDACTED]  
13 And that was based on life expectancy of how long?

14 A. Medical care?

15 Q. Medical care.

16 A. I think I said ten years. It's a difficult  
17 question. Hospitalization is very expensive, and it  
18 doesn't take too many bouts in the hospital to run up a  
19 tremendous bill.

Page 117

KLEPPER

0144

1 A. ER visits.

2 Q. All right. So you are figuring in all of that  
3 into the \$250,000 figure, but are you in somehow taking  
4 into consideration the possibility of lung cancer in  
5 the \$250,000?

6 A. Well, of course. One has to. If I -- if I  
7 knew he was going to get lung cancer, it would be a lot  
8 more than that.

9 Q. Okay.

10 A. He's going to leave a wife and family.

11 Q. So have you taken, for instance, the cost of  
12 lung cancer which may be X and say there is a 10  
13 percent chance or 50 percent chance he's going to get  
14 lung cancer, so I'm going to include 50 percent by way  
15 of example into my calculation?

16 A. I'm not sure it's that scientific.

17 Q. But your figure does include at least in some  
18 manner -- you're taking into consideration the risk of  
19 lung cancer?

20 A. Yes.

21 Q. But you can't tell me how you computed it  
22 exactly?

23 A. No.

24 Q. It's just sort of in your own mind you've come  
25 up with this figure?

0145

1 A. Right.

2 Q. Now, on pleural plaques that we talked about  
3 for Mr. [REDACTED] do you consider that to be a disease  
4 process?

Page 119

KLEPPER

20 Q. Okay. What I'm trying to get at is, you know,  
21 what kinds of medical costs are you anticipating to  
22 come up with this figure?

23 A. Oxygen, home care, three or four one-week  
24 hospitalizations, two weeks in the ICU on a ventilator,  
25 lung cancer with surgery, lung cancer with hospice care

0143

1 for six months. This is from experience. I take care  
2 of people who run up these sorts of bills.

3 Q. Well, as I remember, and correct me if I'm  
4 wrong, it's been a long day, your figure was 250,000?

5 A. My figure was a range of 150 to \$250,000.

6 Q. Does that figure include the costs if  
7 Mr. [REDACTED] will come down with lung cancer?

8 A. In a round about way, yes. If he got lung  
9 cancer, either he's going to die quickly and not  
10 require much or he will live a more prolonged period of  
11 time, and that's a very, very expensive disease. It's  
12 not likely he would be cured of it because it's not  
13 commonly cured.

14 Q. Right. So the \$250,000 is based upon  
15 calculating in the cost of lung cancer?

16 A. Not necessarily. Progressive shortness of  
17 breath, oxygen, oxygen --

18 Q. Okay. Well, what I'm trying to get at are you  
19 saying that if he doesn't come down with lung cancer  
20 than the cost of the oxygen, et cetera, will be  
21 \$250,000?

22 A. Could be.

23 Q. Including the home stays?

24 A. Plus medications.

25 Q. So --

Page 118

KLEPPER

5 A. I was afraid you were going to ask that.

6 Q. Took me a while.

7 A. Well, a scar on your hand is not a disease. A  
8 scar on your liver is a disease, cirrhosis. A scar in  
9 your lung is a disease. A scar in your brain is a  
10 disease.

11 Q. What about a scar on the chest cavity?

12 A. Yeah. Isolated, circumscribed focal pleural  
13 plaque by itself doesn't cause a lot of trouble. It is  
14 important to me because it is -- if I really think it's  
15 there, if I can see it well and I'm confident it is an  
16 indicator of exposure, and that's important.

17 Q. All right. So it's a marker of asbestos  
18 exposure in your mind, correct?

19 A. Yes. When it's more advanced or if it's  
20 diffused pleural thickening, it's a different story.

21 Q. But that's not what Mr. [REDACTED] has, correct?

22 A. Correct.

23 Q. And you're not seeing -- or saying with a  
24 reasonable medical probability that the pleural plaque  
25 will develop into diffuse pleural thickening?

0146

1 A. I'm not saying that, correct.

2 Q. So in these circumstances, would agree with me  
3 that the pleural plaque that you found on Mr. [REDACTED] is  
4 a benign condition?

5 A. It is a benign condition indicative of  
6 malignant potential.

7 Q. But today it is not an active disease process,  
8 correct, in and of itself?

9 A. Yeah. They grow to a certain size and then  
10 stop. So I would assume at this age his plaque is

Page 120

11 probably not enlarging. They don't just go pop.  
 12 Q. Okay.  
 13 A. They go from nothing to a certain size. I  
 14 suspect it is stable.  
 15 Q. All right. And one of the things I'd like to  
 16 talk with you about is, as I understand it, you  
 17 communicated your findings to Mr. [REDACTED]?  
 18 A. Yes.  
 19 Q. And even though you're not a treating  
 20 physician, you would feel obligated to do that,  
 21 correct?  
 22 A. That's part of my function.  
 23 Q. Now, you've told me that there is a debate in  
 24 the literature about the progressive nature of  
 25 asbestosis?

0147

1 MR. BARLOW: Object to the form.  
 2 A. I would like to say I agreed with you that  
 3 there is a debate.  
 4 Q. (BY MS. POWELL) Okay. Did you tell Mr. [REDACTED]  
 5 that there is a debate in the literature?  
 6 A. No, I didn't.  
 7 Q. Why didn't you?  
 8 A. Because I think the bulk of the literature  
 9 supports the fact that asbestosis is progressive. I  
 10 think most of the information available supports that.  
 11 Q. Well, couldn't you have told Mr. [REDACTED] you  
 12 know, I think the bulk of the literature says this, but  
 13 I think it's only fair to tell you that there are  
 14 respected writers and respected publications who have a  
 15 different opinion than me, and I think you need to know  
 Page 121

22 asbestosis. Assuming that based on your experience,  
 23 what would his future medical expenses be?  
 24 A. Well --  
 25 Q. And let me make sure that it's reasonable and  
 0149  
 1 necessary medical expenses.  
 2 A. He also has coronary artery disease, and he's  
 3 75. Best-case scenario might be something as low as a  
 4 hundred thousand. As we know, medical care  
 5 medications, et cetera, are not inexpensive. So I  
 6 would say it could be as low as that.  
 7 MR. BARLOW: Pass the witness.  
 8 MS. POWELL: I have no further questions.  
 9 I just like to get this marked since we read from it as  
 10 an exhibit. Can we do that?  
 11 MR. BARLOW: Sure. Let's stay on the  
 12 record for just one thing. I need to make one  
 13 objection on the record. I'm sure -- I mean, I know it  
 14 was an honest misunderstanding, but the x-rays were not  
 15 here today. Yesterday off the record defense counsel  
 16 and I had had a discussion where I said I wasn't going  
 17 to get into the x-rays unless she got into the x-rays.  
 18 Today there was cross-examination on other people's  
 19 reading of the x-rays, and I would have liked to have  
 20 had the doctor on redirect read for the jury the x-rays  
 21 right here and show them where he saw interstitial  
 22 fibrosis. Since that was not -- since they were not  
 23 here, I was unable to do that, so we would reserve the  
 24 right that if we choose to, to come back and do a quick  
 25 video having the doctor look at those x-rays.

0150

16 this?  
 17 MR. BARLOW: Object to the form.  
 18 A. These are not real sophisticated people. No.  
 19 1. And No. 2, I don't try to scare them, but I'd  
 20 rather air on the side of being a little conservative  
 21 and making sure that they get routine follow-up x-rays.  
 22 Q. (BY MS. POWELL) Well, but isn't it important  
 23 that you take the whole person into consideration?  
 24 A. It is.  
 25 MR. BARLOW: Object to the form.  
 0148  
 1 A. It is, and that's why I don't tell them that.  
 2 That's why I don't -- they hear what they want to hear,  
 3 and what they will hear is that it maybe won't get  
 4 worse. When they leave, they may -- that may be all  
 5 they remember. It's there, but it won't get worse.  
 6 Q. But you don't think that they have the right  
 7 to know?  
 8 A. Oh, they have the right. I'm doing what I  
 9 think is best for them, and I don't think there is any  
 10 harm in the way I approach my discussion with these  
 11 patients.  
 12 Q. I have no further questions. Thank you,  
 13 Dr. Klepper.  
 14 FURTHER REDIRECT EXAMINATION  
 15 BY MR. BARLOW:  
 16 Q. Doctor, just one quick -- I want to ask you  
 17 about future medicals again, and I want to ask you a  
 18 little different questions than the thing I asked you  
 19 last time. I want you to assume this time that  
 20 Mr. [REDACTED] will not get lung cancer or mesothelioma. I  
 21 want you to assume that his disease process stays only  
 Page 122

1 MS. POWELL: I just like to state on the  
 2 record that yesterday I offered to leave the x-rays in  
 3 this office, and you declined them.  
 4 MR. BARLOW: That's true.  
 5 MS. POWELL: And since I was traveling  
 6 through airports where there were x-ray machines, and I  
 7 know nothing about what happens if you put an x-ray  
 8 through an x-ray machine, and the fact that I was  
 9 afraid they were going to bend on -- while I'm carrying  
 10 them, I didn't want to risk it because I felt like they  
 11 are much too important evidence for me to accidentally  
 12 destroy. So since you didn't say you needed them  
 13 yesterday, I didn't bring them back to produce them,  
 14 so.  
 15 THE VIDEOGRAPHER: Going off record at  
 16 7:20.  
 17 (Exhibit No. 15 was marked)  
 18 (Proceedings concluded at 7:20 PM)  
 19  
 20  
 21  
 22  
 23  
 24  
 25

0151

1 CAUSE NO. 40,551  
 2 VIRELL HOWLAND, SR., ) IN THE COUNTY COURT  
 3 ET AL., )  
 4 Plaintiffs, )  
 5 VS. ) AT LAW  
 6 OWENS CORNING, ET AL., )

7 Defendants. ) KLEPPER SMITH COUNTY, TEXAS  
8 REPORTER'S CERTIFICATION  
9 DEPOSITION OF MARK KLEPPER, M.D.  
10 JUNE 20, 2000  
11  
12 I, Cinnamon Boyle, Certified Shorthand Reporter in  
13 and for the State of Texas, hereby certify to the  
14 following:  
15 That the witness, MARK KLEPPER, M.D., was duly  
16 sworn by the officer and that the transcript of the  
17 oral deposition is a true record of the testimony given  
18 by the witness;  
19 That examination and signature of the witness to  
20 the deposition transcript was waived by the witness and  
21 agreement of the parties at the time of the deposition;  
22 That the original deposition was delivered to ALEX  
23 BARLOW;  
24 That the amount of time used by each party at the  
25 deposition is as follows:

0152  
1 ALEX BARLOW - 01:08  
2 LISA POWELL - 02:24  
3 RYAN A. BEASON - 00:12  
4 That \$\_\_\_\_\_ is the deposition officer's charges  
5 to the Plaintiffs for preparing the original deposition  
6 transcript and any copies of exhibits;  
7 That pursuant to information given to the  
8 deposition officer at the time said testimony was  
9 taken, the following includes all parties of record:  
10 FOR THE PLAINTIFFS:  
11 ALEX BARLOW  
12 JENNYFER GRAY  
Page 125

18  
19  
20  
21  
22  
23  
24  
25  
0154  
1 FURTHER CERTIFICATION UNDER RULE 203 TRCP  
2 The original deposition was/was not returned to the  
3 deposition officer on \_\_\_\_\_;  
4 If returned, the attached Changes and Signature  
5 page contains any changes and the reasons therefor;  
6 If returned, the original deposition was delivered  
7 to \_\_\_\_\_, Custodial Attorney;  
8 That \$\_\_\_\_\_ is the deposition officer's  
9 charges to the Plaintiffs for preparing the original  
10 deposition transcript and any copies of exhibits;  
11 That the deposition was delivered in accordance  
12 with Rule 203.3, and that a copy of this certificate  
13 was served on all parties shown herein on and filed  
14 with the Clerk.  
15 Certified to by me this \_\_\_\_ day of \_\_\_\_\_,  
16 2000.  
17  
18  
19  
20  
21  
22

23 CINNAMON BOYLE, Texas CSR 6394  
24 Expiration Date: 12/31/01  
25 Diana Henjum Reporting Services, P.C.  
2501 Oak Lawn Avenue  
435 Oak Lawn Plaza  
Dallas, Texas 75219  
(214)521-1188 Fax: (214)521-1034  
Page 127

KLEPPER  
12 BARON & BUDD  
13 3102 Oak Lawn  
14 Suite 1100  
14 Dallas, Texas 75219  
(214) 521-3605  
15 FOR THE DEFENDANT SWAN TRANSPORTATION COMPANY:  
16 LISA POWELL  
17 JACKSON & WALKER  
17 1100 Louisiana Street  
18 Suite 4200  
18 Houston, Texas 77002-5219  
19 (713) 752-4200  
20 FOR THE DEFENDANT DALLOZ SAFETY, INC.:  
21 RYAN A. BEASON  
22 FAIRCHILD, PRICE, THOMAS,  
22 HALEY & WILLINGHAM, LLP  
23 440 Louisiana Street  
23 Suite 2110  
24 Houston, Texas 77002  
24 (713) 426-1700  
25

0153  
1 That a copy of this certificate was served on all  
2 parties shown herein on \_\_\_\_\_ and filed with the  
3 Clerk pursuant to Rule 203.3.  
4 I further certify that I am neither counsel for,  
5 related to, nor employed by any of the parties or  
6 attorneys in the action in which this proceeding was  
7 taken, and further that I am not financially or  
8 otherwise interested in the outcome of the action.  
9 Certified to by me this \_\_\_\_ day of \_\_\_\_\_,  
10 2000.  
11  
12  
13

14 CINNAMON BOYLE, Texas CSR 6394  
15 Expiration Date: 12/31/01  
16 Diana Henjum Reporting Services, P.C.  
16 2501 Oak Lawn Avenue  
16 435 Oak Lawn Plaza  
16 Dallas, Texas 75219  
16 (214)521-1188 Fax: (214)521-1034  
16 (888)656-DEPO  
17 Page 126

23 KLEPPER  
24 (888)656-DEPO  
25  
0