

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CAUSE NO. 01-C-753

EDDIE CAFFEY, et al. ) IN THE DISTRICT COURT OF  
Plaintiffs ) CASS COUNTY, TEXAS

VS. )

FOSTER WHEELER )  
CORPORATION et al., ) 5th JUDICIAL DISTRICT

\*\*\*\*\*

ORAL DEPOSITION OF

RICHARD B. LEVINE, M.D.

APRIL 21, 2003

\*\*\*\*\*

ORAL DEPOSITION of RICHARD B. LEVINE,  
M.D., produced as a witness, and duly sworn,  
was taken in the above-styled and numbered  
cause on the 21st of April, 2003 from 5:10 p.m.  
to 9:25 p.m., before NANCY R. TONER, RPR,  
reported by machine shorthand at the Elkins  
Park Hospital, 60 East Township Line Road,  
Elkins Park, Pennsylvania, pursuant to the  
Texas Rules of Civil Procedure and the  
provisions stated on the record or attached  
hereto.

1 APPEARANCES  
2  
3 FOR THE PLAINTIFFS,  
4 MS. ALEXANDRA BOONE  
5 NIX, PATTERSON & ROACH, L.L.P.  
6 205 Linda Drive  
7 Daingerfield, Texas 75638  
8 Phone: 903-645-7333  
9  
10 FOR THE DEFENDANT, DAP,  
11 MR. CARLOS MORALES  
12 HAWKINS, PARNELL & THACKSTON, LLP  
13 4515 Cole Avenue  
14 Suite 550  
15 Dallas, Texas 75205  
16 Phone: 214-780-5100  
17  
18 FOR THE DEFENDANT, THE AUSTIN COMPANY,  
19 MR. WILLIAM G. ROSSICK  
20 WATSON ROSSICK  
21 301 Congress Avenue  
22 Suite 1350  
23 Austin, Texas 78701  
24 Phone: 512-275-0480  
25  
26 FOR THE DEFENDANT, OWENS-ILLINOIS, INC.  
27 MR. RANDOLPH L. BURNS  
28 EDWARDS & GEORGE, LLP  
29 208 North Market Street  
30 Suite 400  
31 Dallas, Texas 75202  
32 Phone: 214-749-1400  
33  
34 FOR THE DEFENDANT, GEORGIA PACIFIC,  
35 MR. GREGORY H. BEVEL  
36 BAILEY/CROWE & KUGLER, LLP  
37 4600 Bank of America Plaza  
38 901 Main Street  
39 Dallas, Texas 75202  
40 Phone: 214-231-0555  
41  
42  
43  
44  
45

1 APPEARANCES  
2 FOR THE DEEFENDANTS, REDDINGER CONSTRUCTORS,  
3 J. GRAVES INSULATION  
4 MS. JENNIFER GREEN  
5 ADAMS & COFFEY, P.C.  
6 222 W. Las Colinas Boulevard  
7 Suite 2030N  
8 Irving, Texas 75039  
9 Phone: 972-506-6600  
10  
11 FOR THE DEFENDANTS, DANA CORPORATION, UNION  
12 CARBIDE, D.B. RILEY INC. (BABCOCK  
13 BORSIG) f/k/a RILEY STOKER CORPORATION  
14 MS. MARISA A. TRASATTI  
15 DeHAY & ELLISTON, LLP  
16 36 S. Charles Street  
17 Suite 1300  
18 Baltimore, Maryland 21201  
19 Phone: 410-783-7225  
20  
21  
22  
23  
24  
25  
26 ALSO PRESENT:  
27 RICHARD B. LEVINE, M.D.,  
28 The Witness;  
29  
30 MS. NANCY R. TONER,  
31 The Court Reporter.  
32  
33  
34  
35  
36  
37  
38  
39  
40  
41  
42  
43  
44  
45

1 APPEARANCES  
2 FOR THE DEFENDANTS, HALLIBURTON COMPANY;  
3 BROWN & ROOT, INC.; DRESSER  
4 INDUSTRIES, INC.,  
5 MS. CHRISTI DICKSON FEENEY  
6 GODWIN GRUBER  
7 1201 Elm Street, Suite 1700  
8 Renaissance Tower  
9 Dallas, Texas 75270  
10 Phone: 214-939-4820  
11  
12 FOR THE DEFENDANT, 3M COMPANY,  
13 MR. C. JAMES ZESZUTEK  
14 THORP, REED & ARMSTRONG  
15 One Oxford Centre  
16 301 Grant Street, 14th floor  
17 Pittsburgh, Pennsylvania 15219  
18 Phone: 412-394-2565  
19  
20 FOR THE DEFENDANT, US FILTER/PERMUTIT, INC.,  
21 MS. HOLLY A. SCHRADER  
22 ANDREWS & KURTH, LLP  
23 600 Travis, Suite 4200  
24 Houston, Texas 77002  
25 Phone: 713-220-4143  
26  
27 FOR THE DEFENDANT, AMERICAN OPTICAL  
28 CORPORATION, CLEAVER BROOKS  
29 MR. CHRIS A. de la MORA  
30 SAMMONS & PARKER  
31 11200 Westheimer, Suite 520  
32 Houston, Texas 77042  
33 Phone: 713-953-0860  
34  
35 FOR THE DEFENDANT, GARLOCK,  
36 MR. ROBERT P. COLEMAN  
37 SEGAL, McCAMBRIDGE, SINGER &  
38 MAHONEY, LTD.  
39 United Plaza  
40 30 South 17th Street, Suite 1700  
41 Philadelphia, Pennsylvania 19103  
42 Phone: 215-972-8015  
43  
44  
45

1 INDEX  
2 PAGE  
3 Appearances ..... 2-4  
4  
5 WITNESS: RICHARD B. LEVINE, M.D.  
6 Examination by Mr. Morales ..... 6  
7 Examination by Mr. Rossick ..... 74  
8 Examination by Mr. Burns ..... 105  
9 Examination by Mr. Bevel ..... 166  
10 Examination by Ms. Boone ..... 199  
11 Further Examination by Mr. Rossick ..... 215  
12 Further Examination by Mr. Burns ..... 220  
13 Reporter's Certificate ..... 222  
14  
15 EXHIBITS  
16 LEVINE 1 Report of Dr. Levine ..... 105  
17 dated 1/19/01 regarding  
18 LEVINE 2 Curriculum Vitae of ..... 105  
19 Richard B. Levine, M.D.  
20  
21 LEVINE 3 (Never marked or entered)  
22  
23 LEVINE 4 Document from Most Health 152  
24 Services with visible date  
25 of 1996  
26  
27 LEVINE 5 Advertisement 161  
28  
29 LEVINE 6 (Withdrawn) 179  
30  
31 LEVINE 7 (Withdrawn) 179  
32  
33  
34  
35

Page 6

1 -----  
 2 RICHARD B. LEVINE, M.D. was  
 3 called as a witness after having been first  
 4 duly sworn according to law, was examined,  
 5 and testified as follows:  
 6 -----  
 7 -- EXAMINATION --  
 8 BY MR. MORALES:  
 9 Q. Good afternoon, Dr. Levine. My name  
 10 is Carlos Morales. I will be taking your  
 11 deposition today.  
 12 We've never met before, have we?  
 13 A. Not to my knowledge.  
 14 Q. It's nice meeting you.  
 15 A. It's my pleasure.  
 16 Q. You've given depositions before so you  
 17 understand the formalities involved with a  
 18 deposition and the procedures?  
 19 A. Yes. I believe I do.  
 20 MR. MORALES: And just for the  
 21 record, can we have a stipulation that this  
 22 deposition is conducted under the Texas rules  
 23 of civil procedure?  
 24 MS. BOONE: That's correct.  
 25 DEFENSE COUNSEL: And can we have

Page 7

1 the stipulation that an objection by one is  
 2 good for all?  
 3 MS. BOONE: That's also fine with  
 4 me.  
 5 BY MR. MORALES:  
 6 Q. Sir, in 1985 you became a B reader.  
 7 Is that correct?  
 8 A. Let me just to ensure absolute  
 9 accuracy use my curriculum vitae. But I  
 10 believe that's correct. I was originally  
 11 certified in 1986.  
 12 Q. And, sir, did you bring me a copy of  
 13 that updated curriculum vitae?  
 14 A. I thought you had one but --  
 15 Q. I'm not sure it's the most current  
 16 one. Thank you.  
 17 And how was it that you came to learn  
 18 about this new field in 1985 or '86?  
 19 A. In the 1980s, I was an academic  
 20 radiologist at Thomas Jefferson University  
 21 Medical School which is downtown here in  
 22 Philadelphia.  
 23 And as a relatively young radiologist  
 24 -- still had all my hair and a lot smaller bald  
 25 spot -- one of my assignments in addition to

Page 8

1 running the residency training program was to  
 2 back up one particular field and that was chest  
 3 radiology.  
 4 I have been a subspecialist in bone  
 5 radiology and have been a general radiologist  
 6 doing gastrointestinal work, chest and all  
 7 manner of radiology.  
 8 But a Dr. Robert Steiner who was  
 9 involved with running the chest radiology  
 10 program -- and in the field of academic  
 11 radiology it is quite common to travel a lot of  
 12 do visiting professorships.  
 13 In his absense, someone was needed to  
 14 run the chest radiology program. In that  
 15 context, I got to see an extraordinary amount  
 16 of chest radiology.  
 17 And I was really enjoying it more and  
 18 more. And the referrals that went to him  
 19 because he was a B reader, I had to kind of  
 20 defer.  
 21 And I started to look into this in the  
 22 early 80s and as a non-NIOSH certified B reader  
 23 was doing board certified radiologic  
 24 interpretations but not as a B reader for the  
 25 physicians that were in the pulmonary

Page 9

1 department at Jefferson.  
 2 And then recognized that the NIOSH  
 3 certification was very important to these  
 4 physicians for whatever reasons that they  
 5 needed it.  
 6 For the most part it was  
 7 epidemiological.  
 8 So I went and attended the NIOSH  
 9 course. I presumed it was in '85 or '86 and  
 10 stood for the examination and passed the exam  
 11 and have successfully recertified four times.  
 12 Q. Did you pass the exam the first time?  
 13 A. I passed the exam every time. And, in  
 14 fact, the last time I got a call from them  
 15 because I got one of the highest grades they  
 16 had on the exam in 2001.  
 17 Q. Do you recall what your mean score was  
 18 the first time you took the exam?  
 19 A. I'm sorry?  
 20 Q. Do you recall what your mean score was  
 21 the first time you took the exam?  
 22 A. I have no idea.  
 23 Q. Now, your certification was up for  
 24 renewal June 30th, 2002. Did you get  
 25 recertified at that time?

Page 10

1 A. Yes. My certification now is through  
 2 June 30th, 2006. That's the exam that I'm  
 3 referring to where I did so well this past  
 4 year.  
 5 MR. MORALES: Object to  
 6 non-responsive.  
 7 THE WITNESS: That exam was given  
 8 in Washington, Tyson's Corner in Washington.  
 9 BY MR. MORALES:  
 10 Q. Do you recall the first plaintiffs  
 11 firm you began working with after you became a  
 12 B reader?  
 13 A. I have no idea. I'm not sure I was in  
 14 any way sophisticated that I would have  
 15 realized that it was a plaintiffs firm or  
 16 defense firm.  
 17 But a neighbor -- and this may not be  
 18 the first -- but early on a neighbor in my area  
 19 at a picnic in my backyard asked me if I did  
 20 any occupational dust disease interpretations.  
 21 And he was with Blank, Rome, Comiskey  
 22 & McCauley. I believe that law firm still  
 23 exists in Philadelphia.  
 24 Q. And you don't know whether they are a  
 25 plaintiffs firm or defense firm?

Page 11

1 A. At the time -- afterwards I  
 2 subsequently realized they were a plaintiffs  
 3 firm. And I guess I was involved with Mr.  
 4 Perlberger for several years when he would on  
 5 occasion send referrals to me either directly  
 6 because he was a neighbor and he would drop  
 7 them off and I would take them to Jefferson or  
 8 he would just because the firm was downtown  
 9 have the patients come right to Jefferson for  
 10 x-rays marked to my attention.  
 11 And also just outside films were sent  
 12 to my attention at Thomas Jefferson University.  
 13 That's in the early to mid 80s. But  
 14 Mr. Perlberger left that firm. He had other  
 15 areas of expertise besides occupational dust  
 16 disease. He was also a divorce attorney and  
 17 one other area that eludes me.  
 18 So I haven't -- I'm not sure he's even  
 19 doing it because I don't think I've seen any  
 20 referrals from him in maybe 12 or 13 years.  
 21 That may be the first. If not, it was  
 22 certainly one of the earliest.  
 23 Q. You said that there were certain other  
 24 reasons that people used to work with before  
 25 you became a B reader, there were reasons they

Page 12

1 became B readers. Was one of those reasons  
 2 litigation?  
 3 A. It could have been. For other people?  
 4 It's possible. It certainly was very clear to  
 5 me that the pulmonary physicians at Jefferson  
 6 wanted someone with additional credentials  
 7 besides the American Board of Radiology.  
 8 And in discussing with this Dr.  
 9 Steiner, who was a B reader, he suggested I  
 10 become a B reader so that I could -- I think he  
 11 had an ulterior motive. I used to leave all  
 12 the work for him.  
 13 So when he would come back, he would  
 14 have to do all of these B readings. That way I  
 15 began to do all the B readings.  
 16 Q. Was he also doing medical legal work  
 17 at that time?  
 18 A. I have no idea.  
 19 Q. Was that some of the work that he  
 20 needed you to help him out with?  
 21 A. Boy, you're going back 20 years. I  
 22 don't know when the medical legal work really  
 23 started as opposed to just doing the work for  
 24 Jefferson.  
 25 It was relatively early on. But I

Page 13

1 couldn't tell you a day, week, month, or year.  
 2 We're talking about the early 80s. This is  
 3 2002 and I'm not sure what I had for dinner  
 4 yesterday.  
 5 I'm not trying to give you a hard  
 6 time. I mean, it was very early on. I don't  
 7 know what year it started or anything like  
 8 that. I don't keep records like that.  
 9 Q. Well, nowadays, though, you get x-rays  
 10 from all over the country now, don't you?  
 11 A. I have referrals that I get from the  
 12 government. I get referrals from attorneys. I  
 13 get referrals from health and welfare groups.  
 14 I get referrals from the railroad workers. I  
 15 get referrals from the amalgamated construction  
 16 trades in Philadelphia.  
 17 I get all the referrals from the  
 18 pulmonary physicians in the metropolitan area  
 19 including from my own hospital.  
 20 DEFENSE COUNSEL: Objection.  
 21 Non-responsive.  
 22 BY MR. MORALES:  
 23 Q. Well, since you've listed all those  
 24 different places you're getting referrals from,  
 25 my next question is going to be can you give me

1 a percentage of the amount of referrals you're  
 2 getting from each?  
 3 And if you want, we can start with the  
 4 government. And let's --  
 5 A. That's on a very episodic basis and  
 6 that comes from multiple different sources. In  
 7 other words, an imaging company may have to go  
 8 --  
 9 Q. Before we get started, let's see if we  
 10 can do it from 2002. It might be a little  
 11 easier.  
 12 A. It's a guess. I don't keep records  
 13 along those lines. There's no way that I can  
 14 organize it that way.  
 15 Various companies that do imaging may  
 16 have contracts with the government for, for  
 17 example, black lung disease because -- coal  
 18 workers pneumoconiosis because we're here in  
 19 Pennsylvania and still doing a lot of coal  
 20 mining in the area.  
 21 So the imaging companies will be under  
 22 contract to do this type of work. And those  
 23 are reports that are sent right to -- I send  
 24 them back to the imaging company but they are  
 25 sent right to the United States Government as

1 receive which is a relatively large percentage  
 2 and I have no idea whether they are coming from  
 3 attorneys or not because they are sent to me  
 4 directly by the unions.  
 5 Like, for example, health and welfare  
 6 groups, I've done all the firefighters in  
 7 Philadelphia. All the Philadelphia -- we have  
 8 a -- let me just stop for a second.  
 9 We have a real problem with all the  
 10 school buildings in Philadelphia filled with  
 11 asbestos. So all the school teachers in  
 12 Philadelphia have been screened. That's been  
 13 sent to me.  
 14 The firefighters have been sent to me.  
 15 That's been sent to me by the firefighters  
 16 union.  
 17 Railroad workers are sent to me from  
 18 around the country. That's sent to me by  
 19 various unions.  
 20 Imaging companies send to me  
 21 industrial work from anything from -- I presume  
 22 I am allowed to say this -- Walt Disney World.  
 23 Walt Disney World has an asbestos  
 24 problem underneath some of their facilities,  
 25 you know, because they have to fireproof it,

1 part of the programs of surveillance that the  
 2 government is doing on the various companies,  
 3 mines, if you will.  
 4 Boy, the percentage? I don't know how  
 5 I can do this because it's so episodic and  
 6 there's just no order to it.  
 7 I mean, there might be nothing from  
 8 the government for months, and then for one  
 9 month most of the work would be from the  
 10 government.  
 11 I don't want to pigeonhole myself to a  
 12 percentage because I really have no idea. But  
 13 it's a small percentage because they come from  
 14 so many different sources that no one source  
 15 represents the majority.  
 16 It's not like 60 percent are from any  
 17 one source. All the sources are small  
 18 fractions, if you will, of a hundred. In total  
 19 they come up to 100 percent.  
 20 Q. Okay. Is it a smaller percentage than  
 21 you would receive from attorney referrals?  
 22 A. Probably on a par with attorney  
 23 referrals. Probably that's on a par with  
 24 attorney referrals.  
 25 Then there's a group of cases that I

1 obviously, for the safety of the people going  
 2 through.  
 3 So they have miles and miles of  
 4 corridors underneath that were fireproofed.  
 5 Things like that.  
 6 MR. MORALES: Objection to the  
 7 non-responsive portion.  
 8 BY MR. MORALES:  
 9 Q. Do you happen to know what plaintiff  
 10 firms represent the unions in this area, the  
 11 fire department and teachers union?  
 12 A. No idea.  
 13 Q. Are all these cases you're receiving  
 14 as a result of litigation that's beginning?  
 15 A. I have no idea.  
 16 Q. Do you ever follow up on any of those  
 17 cases?  
 18 A. I don't. But sometimes they do. If a  
 19 case comes to litigation like this, then I  
 20 would realize, for example, that in this case  
 21 -- that these two cases relate to this  
 22 particular law firm because I've had to deal  
 23 with, obviously, with an attorney that I just  
 24 met for the first time.  
 25 Q. Let's talk about that. How did you

1 receive these two cases that remain the basis  
 2 of this lawsuit?  
 3 A. I have no file. I was just called by  
 4 this attorney.  
 5 No. I was called by another attorney  
 6 in the firm and told that there were some cases  
 7 that I had been involved in that they are the  
 8 attorney for and that they were going to  
 9 require a deposition.  
 10 Fine. And then the issue became  
 11 setting a date. You know, the logistics of it.  
 12 As it turned out. That attorney  
 13 wasn't involved in covering the deposition. I  
 14 didn't realize initially that it was a  
 15 discovery deposition that you had called as  
 16 much as a deposition that they had called until  
 17 I got the notice which I think I got about ten  
 18 days ago or something.  
 19 Actually. I think it arrived while I  
 20 was out of the country.  
 21 And this attorney had been the one  
 22 that I had really set up the date and the time  
 23 with and I think she had then confirmed it with  
 24 your company because I don't think I ever spoke  
 25 to your law firm.

1 Q. So you didn't receive any  
 2 correspondence or anything from any of these  
 3 firms until after you had already done the ILO  
 4 and done the read on these two plants? Is that  
 5 what you're telling me?  
 6 A. True. I apparently interpreted these  
 7 cases a couple years ago.  
 8 Q. How did you receive the x-rays?  
 9 A. I have no record. I have no file on  
 10 them. So they must have been sent to me, but I  
 11 don't know who sent them because I don't have  
 12 any file on them.  
 13 It's impossible for me to keep a file  
 14 on all the films that come to me because they  
 15 come to me from so many different courses. I  
 16 have no way of filing them.  
 17 I've tried filing it alphabetically by  
 18 name, but I have so many with the same name.  
 19 And fathers, sons, grandfathers, and all that.  
 20 So I don't keep a file room on any of  
 21 this. All the materials I get go back to the  
 22 referral source. I don't keep a file room. I  
 23 figured if it's good for the Mayo Clinic, it's  
 24 good enough for me.  
 25 MR. MORALES: Object to the

1 non-responsive.  
 2 BY MR. MORALES:  
 3 Q. Who is the referral service you keep  
 4 referring to?  
 5 A. Whoever referred them to me.  
 6 Q. Well, if it's not the union in the  
 7 area, if it's not the government, if it comes  
 8 from some other source, who generally is that?  
 9 A. The company that took the films, for  
 10 example. An imaging company it could be.  
 11 Q. What are the names of some of those  
 12 imaging companies that you're referring to?  
 13 A. Most Health Services would be one.  
 14 Another one would be -- I believe it's called N  
 15 and M.  
 16 And then there's another one. I could  
 17 tell you the name of the individual but I don't  
 18 know the name of the company. But it's run by  
 19 a gentleman by the name of Lloyd Chriss,  
 20 C-H-R-I-S-S.  
 21 He would just call and say that he's  
 22 sending me cases.  
 23 Q. Is it American Medical Testing?  
 24 A. Honestly, I don't know.  
 25 Q. What about Health Screen? Is that one

1 of them?  
 2 A. Health Screen does send me work.  
 3 That's true.  
 4 Q. And how long have you been receiving  
 5 referrals from these entities?  
 6 A. Years.  
 7 Q. Do you recall when you first began  
 8 receiving referrals?  
 9 A. No idea. I mean, I've been a B reader  
 10 since '86. So I have no idea. I mean, Health  
 11 Screen is relatively recent the last couple  
 12 years, just the last couple years.  
 13 What was the name of the other  
 14 companies?  
 15 Q. Most Health.  
 16 A. Most has been probably about eight,  
 17 nine years.  
 18 Q. What about the N and M?  
 19 A. Probably about three or four years.  
 20 But there was only about a year or two that  
 21 they were sending work to me. And then for  
 22 whatever reason they stopped.  
 23 Q. You are no longer receiving referrals  
 24 from them?  
 25 A. No. But they just contacted me that

1 they wanted to send work to me again.  
 2 Q. Are you -- what would your, I guess,  
 3 classification be in regards to Most Health and  
 4 these entities? Would you be considered an  
 5 employee or contractor?  
 6 A. I presume an independent contractor.  
 7 I just charge a fee for service.  
 8 Q. What is that fee?  
 9 A. It depends on what period you're  
 10 talking about. Obviously, my fees have gone up  
 11 over the years.  
 12 Q. Let's start at the beginning then.  
 13 When you first recall doing B reads for these  
 14 services --  
 15 A. Back in the early 80s, it was \$10 for  
 16 reading the study and \$1 to get the report  
 17 typed.  
 18 Q. And what is it today?  
 19 A. I think now, 2003, the reading  
 20 including completing the narrative report, the  
 21 ILO and for the transcription, it's \$35 per  
 22 case.  
 23 But I suspect that in these two cases  
 24 since it was done in '01, it was probably \$25.  
 25 Q. But you have no records to that, to

1 prove that?  
 2 A. I have nothing. The price change is a  
 3 function of my malpractice insurance going up  
 4 because we're having a tort reform crisis here  
 5 in Pennsylvania.  
 6 Q. Have you been sued for malpractice?  
 7 A. Have I --  
 8 Q. Been sued for malpractice?  
 9 A. Have I been sued for malpractice?  
 10 Yes.  
 11 Q. When was the first time you were sued  
 12 for malpractice?  
 13 A. I've been dropped from all the --  
 14 first of all, my role here at the hospital is  
 15 chairman of the department of diagnostic  
 16 imaging. So I've often been named in lawsuits  
 17 -- when I say often, three or four times that I  
 18 was named in lawsuits because of the fact that  
 19 I'm chairman of the department.  
 20 In all of those lawsuits, I've been  
 21 totally dropped before the suits ever went  
 22 anywhere. It may have taken a year or two or  
 23 three to finally get me out of the lawsuits,  
 24 but they got me out.  
 25 I've only had one lawsuit that

1 actually involved me over the last -- since  
 2 I've been a doctor, which has been -- I'm 58  
 3 and that was when I became an M.D. when I was  
 4 23.  
 5 MR. MORALES: Object to the  
 6 non-responsive portion.  
 7 BY MR. MORALES:  
 8 Q. Do you recall when you were 23 when  
 9 you were sued for malpractice --  
 10 A. No, no, no, no. I first became a  
 11 doctor when I was 23 years of age.  
 12 Q. Okay.  
 13 A. I'm now 58.  
 14 Q. Right.  
 15 A. And in that period of time, I actually  
 16 have just one lawsuit that's against me.  
 17 That's really against me.  
 18 Q. Before you became chairman there was  
 19 only one lawsuit?  
 20 A. No, no. That's since I'm chairman.  
 21 I've been chairman for 17 years here. But I  
 22 have one lawsuit that I was named in that is  
 23 irrelevant to my chairmanship. It actually  
 24 does involve me. It's really a lawsuit against  
 25 me.

1 A. And this is while you were chairman?  
 2 A. I'm still chairman.  
 3 Q. And that lawsuit occurred while you  
 4 were chairman? And it was against you?  
 5 A. Yeah. It just occurred in the last  
 6 two years.  
 7 Q. And what did that have to do with?  
 8 A. There's an allegation of a missed  
 9 finding on the mediastinum on an obstruction  
 10 series on a patient that came in with abdominal  
 11 pain and had gall stones from the emergency  
 12 room. It's an emergency room case from here in  
 13 the hospital.  
 14 Q. What was the result of that lawsuit?  
 15 A. It's still pending. They haven't even  
 16 taken --  
 17 Q. Depositions?  
 18 A. You know, we're eight years behind  
 19 here. It'll probably be long since after I'm  
 20 retired before it gets done.  
 21 Q. When was that suit filed? Do you  
 22 know?  
 23 A. I said within the last two years.  
 24 Q. And that's here in this county?  
 25 A. I don't know. I think it's

1 Philadelphia. But that also is being changed  
 2 because of our tort reform.  
 3 It used to be where they would file it  
 4 in the county where the juries were the best.  
 5 Now they changed that and said no, you have to  
 6 file it where the actual incident occurred.  
 7 You're going through a tort reform  
 8 crisis I think in Texas too. So we've got the  
 9 same sort of thing here and New York, New  
 10 Jersey. But here it's really a crisis.  
 11 Q. Have you given a deposition in that  
 12 lawsuit?  
 13 A. Yes. That lawsuit I gave a  
 14 deposition.  
 15 Q. And when did you give that deposition?  
 16 A. Maybe three, four months ago.  
 17 Q. And do you know the style or name of  
 18 the suit?  
 19 A. I have no idea.  
 20 Q. You don't know the name of the patient  
 21 that's bringing the suit against you?  
 22 A. I believe the last name is [REDACTED]  
 23 [REDACTED]  
 24 Q. Who was your carrier?  
 25 A. CIR. They are not currently my

1 carrier because they've pulled out of -- every  
 2 malpractice carrier has pulled out of the State  
 3 of Pennsylvania for radiology. There is no  
 4 radiology carrier left in the state.  
 5 Q. How are you going to get insurance?  
 6 A. We go through what's called assigned  
 7 risk. The state set up a joint underwriter  
 8 that is giving us insurance.  
 9 Q. Do you know what that premium is going  
 10 to be?  
 11 A. Yeah. It's not good.  
 12 Q. How much?  
 13 A. Probably about -- for me alone, about  
 14 30 thousand.  
 15 Q. Is that a year?  
 16 A. Yeah. My insurance five years ago  
 17 before this crisis hit used to be about 2000.  
 18 The neurosurgeon here just walked into  
 19 my office and told me that his premium was  
 20 \$279,000 for him alone because the carriers for  
 21 the orthopedists and neurosurgeons and for one  
 22 other -- OB-GYN also pulled out of the state.  
 23 Q. Now, let's talk about what else you do  
 24 other than these B reads for now. What other  
 25 facets are there to your practice?

1 A. Well, I'm a general diagnostic  
 2 radiologist. I'm chairman of the department.  
 3 I run all the aspects of a clinical department,  
 4 a full service acute care department.  
 5 We have here MRI, CT ultrasound,  
 6 special procedures, angiography, general  
 7 diagnosis.  
 8 We do everything. We are a full  
 9 24-hour, 365, 7 day a week department of  
 10 radiology.  
 11 My own areas of expertise are --  
 12 primarily what I do is chest and mammography.  
 13 But I do general radiography.  
 14 Q. Do you have any other special  
 15 privileges at any other hospitals in the area?  
 16 A. None that are active. I have  
 17 previously been asked and have served as the  
 18 acting chairman at the medical school when they  
 19 fired the chairman at the medical school.  
 20 But I don't believe any of those  
 21 privileges are active because I've really been  
 22 here now -- I guess I've been here now four or  
 23 five years since I've been both acting chairman  
 24 there and chairman here.  
 25 And I've been chairman here since --

1 the CV will reflect either '85 or '86.  
 2 Q. What percentage of your overall income  
 3 comes from these referrals that you receive?  
 4 A. God, I have no idea. I have no idea.  
 5 I just -- I have no idea.  
 6 Q. Let me ask you this: You get a 1099  
 7 from these services?  
 8 A. Do I get a 1099 from these services?  
 9 No. I have a corporation. Everything comes  
 10 from -- the hospital is run -- my department,  
 11 it's my corporation.  
 12 Q. So the funds that are received from  
 13 these readings go to the hospital?  
 14 A. The funds that are received -- no, no,  
 15 no. Let's back up.  
 16 I have a franchise here at the  
 17 hospital. Radiologists -- I don't know how it  
 18 works in Texas -- but here I have a contract  
 19 with the hospital. And the contract is for  
 20 Richard B. Levine, M.D., President,  
 21 Cross-County Imaging.  
 22 So that gives me the right to be the  
 23 sole radiology group here at the hospital. It  
 24 is my corporation. It is my practice. People  
 25 that work for me are employees.



1 And I have no partners and there are  
 2 no other shareholders other than myself.  
 3 Q. Are there any other B readers that are  
 4 your employees?  
 5 A. (Witness shakes head.)  
 6 Q. You're the only one?  
 7 A. Right. So all the income that comes  
 8 in from all the work that we do is all  
 9 commingled and I just pay salaries to people.  
 10 Q. Well, who would know where the income  
 11 is coming from?  
 12 A. I would presume my accountant. At  
 13 some level my accountant must, I presume.  
 14 Although I'm not sure that he would recognize  
 15 what -- he has no medical sophistication. But  
 16 I deposit checks.  
 17 Q. Sure. You got to pay taxes. We all  
 18 do. We just got through that.  
 19 A. Of course. But, I mean, the question  
 20 you're asking is never a question he's asked.  
 21 So after all, I get reimbursed from  
 22 private insurance, from various other insurance  
 23 like Blue Cross Blue Shield, the various HMOs  
 24 that I am capitated with, various other  
 25 insurance plans.

1 Q. And they don't have records of your  
 2 billings from the previous year?  
 3 A. Sure they do. I presume they do.  
 4 Sure. I have never sat down with them and  
 5 asked them, you know.  
 6 Q. Well, who are they?  
 7 A. What do you mean?  
 8 Q. Who is the billing company that you  
 9 use?  
 10 A. It's called Radiology Billing and  
 11 Management.  
 12 Q. And where are they located?  
 13 A. Here in Pennsylvania. Here in  
 14 Philadelphia.  
 15 Q. Now, your fees, do you charge  
 16 different for just a reading? Or do you do  
 17 readings and ILOs and reports for each one?  
 18 A. Basically just about everybody is  
 19 charged approximately the same, \$35 a case.  
 20 But in some situations, I do have  
 21 people that because of prices that I've  
 22 represented to them or amounts that I've raised  
 23 to them they are paying either a little more  
 24 and some are paying less.  
 25 Some are paying \$40 and some are

1 I have people that pay cash and I have  
 2 --  
 3 Q. Now, you're not getting any insurance  
 4 funds for any of these reads, are you?  
 5 A. No, no. This is not compensated by  
 6 insurance. Absolutely not.  
 7 Q. It's all cash?  
 8 A. I'm not sure what you mean by cash. I  
 9 mean, I bill an invoice and I receive a check.  
 10 You don't normally see cash. I mean, I haven't  
 11 seen cash except occasionally patients pay us  
 12 cash.  
 13 The hospital doesn't even know what to  
 14 do with the cash.  
 15 Q. So your department is responsible for  
 16 billing the invoices?  
 17 A. Yeah. Well, not my department. My  
 18 corporation is me.  
 19 Q. You have employees, though, right?  
 20 A. Right.  
 21 Q. So you --  
 22 A. But I have a billing company.  
 23 Q. Okay. And the billing company is you?  
 24 A. No. I have a billing company under  
 25 contract.

1 paying \$30. And that has to do with the fact  
 2 that some have gone through a raise with the  
 3 first of the year. Some haven't because they  
 4 weren't raised at the time of the first of the  
 5 year.  
 6 And some 30 bucks that I have, it's  
 7 really a question of competition from the --  
 8 what they perceive they could get it for.  
 9 So that, frankly, if I raise them I  
 10 suspect I would lose that market share. That's  
 11 my looking at it.  
 12 But, basically, on average it's  
 13 probably 85 percent of the people that I'm with  
 14 now are paying \$35 a case. And that includes  
 15 the narrative report and if they want an ILO  
 16 completed.  
 17 Q. With the insurance situation the way  
 18 it is, it's probably more beneficial for you to  
 19 do more B reads now?  
 20 MS. BOONE: Objection, form.  
 21 THE WITNESS: I'm not sure I  
 22 understood the question.  
 23 BY MR. MORALES:  
 24 Q. Well, we were talking about the  
 25 insurance, the premiums, the increase.

1 A. What does that have to do with  
2 anything? It's always better for me to do more  
3 work. I mean, when I'm in my hospital, it's  
4 better for me to be busier. It's also better  
5 for me to have a more successful active  
6 practice.

7 The fact is that I do have a problem  
8 with expenses going up in terms of malpractice.  
9 But I also have expenses going up in terms of  
10 my own medical insurance.

11 I have expenses going up in terms of,  
12 you know, the accountant or whoever else is  
13 involved. And also my employees because of  
14 these situations and because of inflation they  
15 are putting pressure on me for more income.

16 So the answer to the question is it's  
17 also better for me to do more business. I'm  
18 not sure it's relevant that it's particularly  
19 better because of the malpractice issue.  
20 That's just another business pressure that  
21 drives me nuts.

22 Q. It's more efficient, though, isn't it?  
23 I mean, how long does it take you to do one of  
24 these reads?

25 A. That purely depends on the complexity

1 to review a film, I would think the whole  
2 process realistically is three to five minutes  
3 from beginning to end between opening the  
4 package, putting them up per case.

5 So maybe ten minutes for doing two  
6 cases. But then they are dictated. And then I  
7 have to handwrite out the report. Then I get  
8 the report back, proofread it, and package it  
9 and mail it.

10 Q. Any idea how many B reads you've done  
11 this year?

12 A. No. Definitely as a function of tort  
13 reform it's dramatically decreased by a  
14 significant percentage. It's a small fraction  
15 of what it has been because of what's going on  
16 all around the country.

17 DEFENSE COUNSEL: Objection,  
18 non-responsive.  
19 BY MR. MORALES:

20 Q. Any idea how many you've done this  
21 month?

22 A. You know, like today, for example, I  
23 had 16 from one group and 6 from another.

24 But I don't know. Tomorrow I could  
25 get 100 or tomorrow I could get nothing. It's

1 of the case. And, obviously, it's also a  
2 function of how many are sent.

3 If somebody sends me 4 as opposed to  
4 somebody that sends me 30 and how it's set up,  
5 I could waste more time doing the 4 because I  
6 wind up having to package it, having to  
7 separate that out as opposed to having 30 where  
8 I may have a master list and I can go through  
9 them a lot faster.

10 There's no continuum which says that  
11 this particular case from this particular group  
12 is going to be the same as that case from that  
13 group.

14 It also depends on whether I'm looking  
15 at one view, two view, or four views. It  
16 depends on whether I'm looking at comparisons.

17 There are so many variables.

18 Q. Do you charge a different fee when you  
19 receive in bulk rather than individual?

20 A. No, I don't. I probably should but I  
21 don't.

22 Q. These here, I believe there are two  
23 views. How long would it take you on average  
24 to view those?

25 A. If it was standard operating procedure

1 variable.

2 I could just say that quantitatively  
3 it's markedly diminished. It's a fraction of  
4 what it had been, small fraction. It's much  
5 less than 40 percent of what it had been as a  
6 guess.

7 Q. Since when?

8 A. Since the first of the year. I'm not  
9 sure what was going on, but there must have  
10 been something going on as far as statutes of  
11 time or something, because it was very busy  
12 around October, November to get work done. And  
13 then after the first of the year, I could have  
14 taken three months off. In fact I did. I went  
15 on three vacations.

16 Q. What's been your most active year  
17 doing reads?

18 A. I couldn't tell you. I just don't  
19 keep records like that. Don't forget, this is  
20 only part of what I do. I run a department of  
21 radiology. I'm doing fluoroscopy in the  
22 mornings and I read mammography. I do 85  
23 percent of the mammograms here at the hospital.

24 MR. MORALES: Objection,  
25 non-responsive.

1 BY MR. MORALES:  
 2 Q. When you receive these, you have no  
 3 idea what firm they come from?  
 4 A. No. Sometimes they are sent from a  
 5 firm. If there's a covering letter, then I  
 6 will know.  
 7 But that's not necessarily the case.  
 8 If the imaging companies send them to me, I  
 9 won't have any idea. And I send them back to  
 10 the imaging company.  
 11 And there are occasions where the  
 12 imaging company will then tell me to forward  
 13 them to a particular either health and welfare  
 14 group or union or law firm related to the  
 15 union, that sort of thing.  
 16 Q. What are some of the firms you recall  
 17 working with?  
 18 A. In Philadelphia? Greitzer and Locks.  
 19 As I said, Blank, Rome, Comiskey & McCauley.  
 20 In the past I've worked with the George Howard  
 21 firm, but that's not the name. Howard is in  
 22 the name, but he's out of it now and there are  
 23 other partners.  
 24 In New York, Weitz and Luxenberg. The  
 25 Nix law firm. Let's see. Brown Torrell in the

1 negative. Just made the national news as a  
 2 benefactor or something.  
 3 Q. Pretty much all over the country?  
 4 A. I said 40 states.  
 5 Q. Right. What states are you licensed  
 6 in?  
 7 A. Well, I am licensed in Pennsylvania,  
 8 New Jersey, and New York. My active license is  
 9 in New Jersey and New York because my home is  
 10 here in Pennsylvania. We're only a couple  
 11 miles from the border.  
 12 But I never go out of the state to  
 13 practice. I practice here in the state. So  
 14 any work that I'm doing is sent to me here.  
 15 Q. To back up I guess, and maybe you can  
 16 tell me this: Is there a different amount that  
 17 you charge depending on the result of the read?  
 18 In other words, if it's a positive read?  
 19 A. Not in terms of my time. I mean, it's  
 20 some equivalent to what it takes me in time.  
 21 As best I can figure out in some equivalence to  
 22 what I would charge here for my time. That's  
 23 how I came up with the fee that I did.  
 24 Q. So the fee is set before or after you  
 25 do your work?

1 northern part of Florida.  
 2 I'm sure if I think about it I could  
 3 give you some more.  
 4 Q. Other than firm names, do you recall  
 5 any other states that you've received these  
 6 images from?  
 7 A. I've received images from New York,  
 8 certainly from Pennsylvania, from Florida,  
 9 Texas, Mississippi.  
 10 I mean, over the years I've probably  
 11 received them from 40 states. But I couldn't  
 12 cite specifically. I mean, the ones stand out  
 13 because there was kind of a rush near the end  
 14 of last year from Mississippi and Texas.  
 15 Certainly New York. And years ago, a  
 16 lot of stuff from New England. A lot of the  
 17 shipyards in New England.  
 18 I remember there was a period of time  
 19 that I was receiving a lot of stuff from around  
 20 the Great Lakes, maritime stuff from the Great  
 21 Lakes. But that's not been for years.  
 22 I couldn't even tell you the name of  
 23 the law firm. The head of the firm died I know  
 24 because I read it in the paper. For some  
 25 reason it made the national news. Nothing

1 A. The fee is just the question of my fee  
 2 is \$35 a case and multiply by 6 cases. You  
 3 would owe me 6 times \$35. It's irrelevant  
 4 whether it's positive or negative.  
 5 Q. That's what I'm getting at. The fee  
 6 is the same regardless of the result?  
 7 A. Oh, of course. It's just like for  
 8 this particular proceedings my fee is for my  
 9 time.  
 10 Q. What are you charging today?  
 11 A. \$500 an hour and a four-hour minimum.  
 12 A half day basically because that's what the  
 13 equivalent of. I presume we will probably be  
 14 fours hours by the time we're finished.  
 15 Q. How many depositions have you done  
 16 this year other than your own?  
 17 A. I don't understand what you meant. I  
 18 thought I understood you --  
 19 Q. That's fine.  
 20 A. You totally confused me at the end of  
 21 the question.  
 22 Q. Right. Okay. Excluding the one  
 23 lawsuit that you're involved in --  
 24 A. Oh, that wasn't this year. That might  
 25 have been November or December.

1 Q. Okay.  
 2 A. I believe one.  
 3 Q. And when was that deposition taken?  
 4 A. Sometime in January or February.  
 5 Q. Was it taken here?  
 6 A. Yeah. I don't have the time to  
 7 travel. I can't travel. And that was a trial  
 8 deposition.  
 9 Q. Have you ever testified at trial?  
 10 A. Yes.  
 11 Q. When was the last time you testified  
 12 at trial?  
 13 A. I am guessing probably the late 80s.  
 14 Q. Do you recall the plaintiffs firm you  
 15 testified for?  
 16 A. I believe it was Weitz and Luxenberg  
 17 in New York. And that might have even been as  
 18 late as 1990. But I suspect it was the late  
 19 80s.  
 20 And I can't remember -- it was in the  
 21 south -- Florence, North Carolina. I testified  
 22 in Florence, North Carolina in a medical  
 23 malpractice case that had been sent to me.  
 24 Q. Have you ever testified in any  
 25 asbestos-related disease cases at trial?

1 early 90s. They just were more -- probably 10  
 2 or 12 over a period of two or three years and  
 3 that was it.  
 4 Q. Do you also do readings for silicosis?  
 5 A. Yes. I mean, I have no idea what the  
 6 film is going to demonstrate.  
 7 When I'm sent the film, my primary  
 8 responsibility is as a physician and a  
 9 radiologist to review the film. I read for TB.  
 10 I read for cancer. I read for whatever is on  
 11 the film.  
 12 If it turns out that I'm looking at a  
 13 regular small -- at an appropriate location and  
 14 the usual and customary findings that is  
 15 diagnostic of asbestos type disease, that's  
 16 what the diagnosis is.  
 17 If it turns out that it's primarily an  
 18 upper lobe modular density and it's a silica  
 19 type lesion, that's what it is.  
 20 If I'm working with coal workers, and  
 21 they have coal workers pneumoconiosis, that's  
 22 what I read.  
 23 I mean, I don't gear it to the  
 24 patient. I gear it to the film. Whatever the  
 25 film tells me. The language of the film is

1 A. That was the [redacted] case that I  
 2 said to you up in New York in about 1990. That  
 3 was one case that I can remember. If there was  
 4 another one, I'm just not -- it's been so long  
 5 ago.  
 6 Q. Do you recall how many depositions you  
 7 gave last year?  
 8 A. I would bet -- you know, they come in  
 9 clusters. Might do nothing for seven or eight  
 10 months and then do three or four over three or  
 11 four months; something like that, that order of  
 12 magnitude.  
 13 The number of depositions has really  
 14 gone down dramatically so that the right order  
 15 of magnitude might be four last year.  
 16 But, again, if you're holding me to  
 17 four and it was five, I would take exception to  
 18 that. Like I said, I'm not sure what I had for  
 19 dinner last night. I'm thrilled that I  
 20 remember that the Flyers won on Saturday.  
 21 Q. On average is four depositions a year,  
 22 is that an average for you?  
 23 A. No. That's been the average for the  
 24 last many years. There was a period of time  
 25 where there were more depositions back in the

1 what I interpret.  
 2 MR. MORALES: Objection,  
 3 non-responsive.  
 4 DEFENSE COUNSEL: Objection,  
 5 non-responsive.  
 6 BY MR. MORALES:  
 7 Q. You've given deposition testimony in  
 8 silica cases, then?  
 9 A. I don't believe so.  
 10 Q. Have you ever given trial testimony in  
 11 silica cases?  
 12 A. I don't believe so.  
 13 Q. Do you ever need additional  
 14 information in addition to the x-ray to make  
 15 your conclusion?  
 16 A. On occasion, sure. And on occasion I  
 17 will call whoever I think has sent me the films  
 18 and get right on the horn to communicate to  
 19 them because I will have either picked up a  
 20 mass in the chest that could be cancer or an  
 21 upper lobe infiltrate that I think is  
 22 tuberculosis or metastatic disease.  
 23 You know, my primary responsibility as  
 24 a physician is take care of the patient.  
 25 Q. That didn't happen in these cases, did

1 it?  
 2 A. No. These cases had asbestosis.  
 3 Q. You didn't need to request additional  
 4 information for these cases?  
 5 A. No, not to my knowledge. Because if I  
 6 did, I would have indicated it in the report.  
 7 Q. Do you recall when the last time was  
 8 that you needed to request additional  
 9 information from one of these readings that you  
 10 received?  
 11 A. It could be as late as last week. It  
 12 happens on a potentially everyday basis.  
 13 There's no way that I could predict when it's  
 14 going to occur and when it doesn't occur.  
 15 I'm a physician. It gets commingled  
 16 with the activity that I do here at the  
 17 hospital.  
 18 In fact, forget last week. As it  
 19 turns out, I just had a conversation with a  
 20 paralegal at a law firm today because on the  
 21 basis of the report where previous information  
 22 had been sent to me, the paralegal was  
 23 requested to call me to discuss the fact that  
 24 nodules in the lungs were probably from old TB.  
 25 And I was confirming an outside

1 to recognize precisely what is appropriate for  
 2 that particular patient. But I'm requesting  
 3 follow up studies on patients every day in this  
 4 environment.  
 5 Q. Regarding the images that you receive?  
 6 A. Yeah. And I am in my regular practice  
 7 also. I mean, I don't practice differently  
 8 because these patients aren't physically in  
 9 front of me. The practice of medicine is the  
 10 practice of medicine. If you start changing  
 11 the way you practice, things fall through the  
 12 cracks and people get hurt.  
 13 MR. MORALES: Objection,  
 14 non-responsive.  
 15 DEFENSE COUNSEL: Objection,  
 16 non-responsive  
 17 BY MR. MORALES:  
 18 Q. I want to stick mostly with the images  
 19 we're receiving here whenever I'm asking  
 20 questions in the future. But since you brought  
 21 it up, is there any difference between the way  
 22 you treat a patient that's here in the hospital  
 23 versus these screens that you receive? I mean  
 24 procedurally.  
 25 A. No, other than that these are

1 treating physician's diagnosis as opposed to  
 2 the nodules being metastatic or something else.  
 3 This was on a potential occupational  
 4 dust disease case. That particular law firm is  
 5 from New York.  
 6 Q. Do you recall the name of that firm?  
 7 A. Colleran, O'Hara and Mills. And the  
 8 first name of the paralegal is Chris -- I might  
 9 even have his name. There's only one Chris  
 10 there. You could get it.  
 11 Q. What sort of information -- if you  
 12 have to make a request, what sort of  
 13 information do you want?  
 14 A. Old films. That would be great for  
 15 comparison. Or I would request that they get  
 16 follow up with the private physician and  
 17 suggest that the patient have a CT, for  
 18 example, because of a lesion that I've picked  
 19 up or find out historically whether or not the  
 20 patient has had a particular type of surgery.  
 21 Could be any of a host of things. The  
 22 variables in the art and science of medicine  
 23 lead to the potential for a thousand different  
 24 types of inquiries.  
 25 But the most important thing is to try

1 interpreted in the context in which I am using  
 2 the specific scheme of the international labor  
 3 organization, NIOSH criteria.  
 4 Now, if I am looking at the hospital  
 5 because I have hospital referrals for the same  
 6 thing, then it's precisely the same.  
 7 But, for example, God forbid you came  
 8 to me because you had a chest cold, I wouldn't  
 9 be considering you for a NIOSH interpretation.  
 10 I certainly look for everything. If  
 11 you had what I thought was occupational dust  
 12 disease, then I would.  
 13 But, you know, by and large, if you  
 14 had no occupational exposure, I would be  
 15 reading you as I would any patient that came  
 16 through.  
 17 In this particular case, there is a  
 18 certain standard that I read to because it's  
 19 kind of required in terms of my credentials. I  
 20 think that's one of the reasons why it's sent  
 21 to me. Because if I didn't read to the NIOSH  
 22 standard, then you would be all over me for not  
 23 doing it.  
 24 Q. First of all, what's the standard of  
 25 care for a radiologist?

1 A. What is the standard of care?  
 2 Q. Right.  
 3 A. To, one, have excellent films of good  
 4 quality, which it could be even in one  
 5 projection and to interpret those bringing all  
 6 the educational experience to bear that you  
 7 have; all the knowledge that you have; all your  
 8 previous experience.  
 9 And detecting that which is normal and  
 10 that which is abnormal in terms of anatomy and  
 11 potentially that which is normal or abnormal  
 12 physiologically.  
 13 If there is something abnormal or  
 14 normal, both anatomically or physiologically,  
 15 on the films and articulating that clearly in a  
 16 report and making sure that that report gets  
 17 back to whoever requested it.  
 18 Q. When the patient is in the hospital,  
 19 you know the x-rays are taken here; is that  
 20 correct?  
 21 A. For the most part. Some people come  
 22 in with their own x-rays. But clearly if they  
 23 were inpatients, then subsequently we will do  
 24 x-rays here.  
 25 Q. What about these images that you

1 received? Do you have any idea where those are  
 2 taken?  
 3 A. I will only because of the demographic  
 4 shield up in the corner. I can see who took  
 5 the films because they usually have an  
 6 indication who has taken the x-rays.  
 7 It's on the little demographic shield  
 8 where they have the name, social security, some  
 9 other medical record number. It often has the  
 10 address and that sort of thing.  
 11 But quite frankly, it's not relevant  
 12 to me whether they were taken in one particular  
 13 town or another particular town as long as they  
 14 were taken and are good technique.  
 15 Q. Well, is it relevant whether or not  
 16 they were taken in a hospital or in a trailer?  
 17 A. If they are a good technique, I have  
 18 no concern as long as the equipment resulted in  
 19 a good frontal projection or frontal and  
 20 lateral with the right exposures and with the  
 21 right film screen combination and right grids.  
 22 Q. I just want to make it clear, though,  
 23 you're not there whenever these images are  
 24 being taken, though, correct?  
 25 A. True.

1 Q. So you really don't know whether or  
 2 not they're using the right technique?  
 3 A. No. But the fail safe is that if they  
 4 are not of good technique, then, one, I  
 5 indicate it in the report; or, two, they are  
 6 unreadable and I tell them they are technically  
 7 unsatisfactory.  
 8 Not only am I not there, but I don't  
 9 even know that they are being taken.  
 10 Q. And you also don't know whether or not  
 11 they were taken by a competent technician?  
 12 A. To that extent that the companies that  
 13 I've told you and listed, all the companies  
 14 that I've told you about where initially when I  
 15 have been approached by them about the  
 16 interpretation of x-rays, I reviewed with them  
 17 their standards and they sent me credentials  
 18 and that sort of thing.  
 19 But I have not met or interrogated or  
 20 interviewed them. The real issue for me is the  
 21 quality of the film that's sent to me.  
 22 Q. Do you have files that have the names  
 23 and who these people are that you receive from  
 24 imaging services that conduct these?  
 25 A. I don't keep any of that. It all gets

1 returned back.  
 2 As I say, I don't keep any sort of  
 3 filing system. I have no way of doing that  
 4 without contaminating my file room here with  
 5 the inpatients and outpatients here from the  
 6 hospital.  
 7 Q. Does NIOSH have any requirements that  
 8 you keep records on file?  
 9 A. Not to my knowledge.  
 10 Q. Do you know if there are any state or  
 11 federal regulations that require that?  
 12 A. Not to my knowledge. The only rules  
 13 that I am aware of are seven years -- actually,  
 14 we keep mammographies lifetime -- that's breast  
 15 x-rays -- for comparison purposes.  
 16 We have many a hospital here in  
 17 Pennsylvania that do not keep a file room. The  
 18 Mayo Clinic doesn't have a file room. They  
 19 send everything back with the patient.  
 20 MR. MORALES: Objection,  
 21 non-responsive.  
 22 BY MR. MORALES:  
 23 Q. Do you agree with me, Doctor, that the  
 24 amount of money paid to an expert, depending on  
 25 how great that amount is, could increase the

Page 54

1 likelihood of bias?  
 2 MS. BOONE: Objection, form.  
 3 THE WITNESS: Do I think that  
 4 there could be a financial incentive for bias?  
 5 BY MR. MORALES:  
 6 Q. Well, the greater money that someone  
 7 is paid to get an opinion, the more likelihood  
 8 there would be for bias? Is that a fair  
 9 statement?  
 10 A. If you were paid the money for your  
 11 opinion. I'm not paid for my opinion. And I  
 12 can't speak for anybody else. I'm paid for my  
 13 time.  
 14 My time, frankly, is probably more  
 15 productively used reading films than spending  
 16 it here with you. This is an obligation I have  
 17 because I allegedly read these films.  
 18 I've looked at this and that is my  
 19 signature and that is my report so I have an  
 20 obligation to do this.  
 21 Q. And when you're reading these x-rays,  
 22 you're not giving a diagnosis, are you?  
 23 A. I give a diagnosis when I read the  
 24 films, sure.  
 25 Q. You give a diagnosis just from the

Page 55

1 x-ray?  
 2 A. Of course. That's what a radiologist  
 3 does. That's the usual and customary thing  
 4 that I do every day.  
 5 If your wife comes in and I do a  
 6 mammogram, you don't want me to make a  
 7 diagnosis of cancer? You don't want me to make  
 8 a diagnosis of Class 1, Class 2, Class 3, Class  
 9 4, Class 5 mammogram to see whether or not she  
 10 needs a biopsy?  
 11 Do you have any idea, apart from the  
 12 negligent omission of not making that type of a  
 13 report and giving that type of an indication, I  
 14 could be responsible for killing her if I don't  
 15 pick that up.  
 16 Of course I make a diagnosis. And of  
 17 course I make a diagnosis with this. That's  
 18 the whole purpose of what I do is to take and  
 19 use all my experience, all of my education to  
 20 make a logical, rational, objective conclusion  
 21 to come to a diagnosis.  
 22 Q. Based on the x-ray alone?  
 23 A. That's what radiologists do. If I did  
 24 it based on something other than the radiology,  
 25 they'd say what the hell are you doing, you're

Page 56

1 a radiologist. That's what I do.  
 2 When I take the NIOSH exam, they don't  
 3 give me histories. They don't give me latency  
 4 periods. They don't give me the patient's  
 5 occupational background. They don't tell me  
 6 the ambient situation with respect to asbestos  
 7 or silica.  
 8 They give me x-rays and they say  
 9 interpret them. And then they grade me on the  
 10 accuracy, the sensitivity and accuracy of that  
 11 interpretation. That's what I have to do.  
 12 Q. Well, from that alone you can't  
 13 establish that the lung changes are due to dust  
 14 disease and not something else?  
 15 A. Yes, I can. When you take a look at  
 16 the NIOSH and you look at my standard NIOSH  
 17 interpretations and you look at the ability of  
 18 a NIOSH reader to take and review films and  
 19 then re-review those films at a separate  
 20 sitting, mix the films up and have them read  
 21 them again, the inter-observer error is only  
 22 3.9 percent. That's phenomenal.  
 23 I'd ask you to repeat this same  
 24 deposition and ask me those same questions, you  
 25 won't be 3.9 percent accurate. You won't be

Page 57

1 even 20 or 25 percent accurate and you've got  
 2 the paperwork right in front of you and the  
 3 questions will be different.  
 4 The incredible consistency within the  
 5 observer rereading the same films on two  
 6 separate occasions of 3.9 percent which has  
 7 stood the test of time is incredible. It's  
 8 sensational.  
 9 And if you take two B readers who  
 10 bring different experiences, different levels  
 11 of education, both certified, they have better  
 12 than 80 percent cross correlation in terms of  
 13 the grading on a NIOSH examination, meaning a  
 14 chest x-ray.  
 15 MR. MORALES: Object to the  
 16 non-responsive portion.  
 17 BY MR. MORALES:  
 18 Q. But you would agree with me, though,  
 19 that two B readers can look at the same x-ray  
 20 and have different conclusions?  
 21 A. I just said that. It can happen up to  
 22 19 percent of the time.  
 23 Q. What are you basing that on? Is that  
 24 a study that's been conducted?  
 25 A. Sure. It's a standard study. It's

1 come out of NIOSH.  
 2 Q. Do you know the name of that study?  
 3 A. I could give it to you. It'll take me  
 4 a little time. But before the end I will give  
 5 the reference to you. I will be glad to.  
 6 Q. Okay.  
 7 A. That's standard historical data.  
 8 Q. Wouldn't it be helpful to have  
 9 epidemiology or industrial hygiene information  
 10 before a diagnosis is made that someone has an  
 11 asbestos-related disease?  
 12 A. Certainly the predicate is for me that  
 13 there have been exposures. But apart from the  
 14 fact that there's been exposures, if I asked  
 15 you what the ambient concentration of asbestos  
 16 or silica dust was in that individual  
 17 environment and for how long a period of time,  
 18 and if you had given me the physical  
 19 examination, and if I understood the pulmonary  
 20 function test and I read that report, you would  
 21 sit down there and you would contaminate  
 22 everything and say well, how can you be  
 23 objective, you have all this clinical  
 24 information.  
 25 It's the very pristine, objective,

1 rational analysis of the films without that  
 2 that allows me to have the sensitivity and  
 3 accuracy because I'm not pre-prejudiced either  
 4 financially or by clinical data.  
 5 I bring to bear that experience which  
 6 allows me to make a judgment. And that  
 7 judgment is based on the findings on the film.  
 8 Now, if that's not good enough for  
 9 you, then go back to the defense and look at  
 10 the Manville review of the 20 active  
 11 radiologists that were put out some 20 years  
 12 ago.  
 13 Sorry. I don't mean 20 years ago.  
 14 Probably 5 to 7 years ago.  
 15 I had nothing to do with it. I wasn't  
 16 involved with it. I didn't see it. I didn't  
 17 find out about it until afterwards.  
 18 And when Mansville reported their  
 19 findings, they looked at active B readers. And  
 20 on the basis of the review of the active B  
 21 readers, they wanted to know the sensitivity  
 22 and accuracy of these B readers, recognizing  
 23 that some would be perhaps plaintiff oriented  
 24 and some would be defense oriented.  
 25 Somebody had to come out on top. And

1 it happened to turn out that the defense found  
 2 a plaintiff-oriented B reader was the most  
 3 sensitive and the most accurate among the 20  
 4 most active B readers in the United States.  
 5 That's an objective analysis.  
 6 The result was Dr. Levine. I didn't  
 7 make it up. I had nothing to do with it. That  
 8 was just what they told me.  
 9 As a result of that, they then started  
 10 to use me and I read for Mansville and did  
 11 their second evaluations recognizing from their  
 12 point of view they perceived that I was, quote,  
 13 a plaintiffs', if you will, interpreter because  
 14 the work that came to me came from plaintiffs'  
 15 sources, health and welfare groups, law firms,  
 16 and imaging companies.  
 17 DEFENSE COUNSEL: Object,  
 18 non-responsive.  
 19 MR. MORALES: Objection,  
 20 non-responsive portion  
 21 MS. BOONE: Are you at a point  
 22 where we can take a break?  
 23 MR. MORALES: Sure.  
 24 (A brief recess was taken.)  
 25 BY MR. MORALES:

1 Q. Doctor, do you charge a different fee  
 2 for trial testimony?  
 3 A. No.  
 4 Q. Is your fee the same as it would be  
 5 here today?  
 6 A. Sure. It's based on time.  
 7 Q. And that's \$500 an hour?  
 8 A. Right, with a four-hour minimum.  
 9 Q. And who is paying the bill today?  
 10 A. Who called the deposition? I presume  
 11 your firm called for the deposition.  
 12 Q. Right. Who is paying for your time  
 13 here today?  
 14 A. I presume your firm. I might be under  
 15 a misunderstanding, but I presume that's the  
 16 case.  
 17 Q. Can you tell me the difference between  
 18 a 1/0 and a 1/1?  
 19 A. Can I tell you the difference? I  
 20 would have to have a NIOSH film to demonstrate  
 21 the difference.  
 22 But what you're talking about is a  
 23 concentration of densities that are present  
 24 within a field within the lung and that a 1/0  
 25 is definitely an abnormal concentration of



1 density that's considered by all the NIOSH B  
2 readers as consistent with occupational dust  
3 disease.

4 Now, a 1/0 would be a low level of  
5 profusion or concentration of abnormal  
6 densities. 1/1 being the next level of  
7 concentration of densities.

8 Now, they may be irregular densities.  
9 They may be rounded densities. They may be a  
10 combination of those types of densities.

11 But to try to give you a narrative  
12 definition, it's a situation where a picture is  
13 worth a thousand words, which is precisely why  
14 when we read these films we read them in the  
15 context of the B reader films, the NIOSH  
16 standard films.

17 Q. In a 1/0, what does the zero stand  
18 for?

19 A. That you consider a 1 to be abnormal  
20 and zero was a normal film. But it is  
21 definitely abnormal.

22 Q. So the zero stands for normal; is that  
23 correct?

24 A. I think I just said that.

25 Q. And would you agree with me, Doctor,

1 also be an error in the x-ray caused by the age  
2 of the individual?

3 A. It's not an error. The error is in  
4 the interpretation. There are no errors on  
5 x-rays. X-rays are x-rays.

6 The error would be in someone who is  
7 inexperienced in interpreting something which  
8 is artifactual, if you will, as pathology.

9 Q. And an error in interpretation could  
10 also occur because of the poor quality of the  
11 film?

12 A. That could be a variable that could  
13 result in interpretative error.

14 Q. And an error could also occur because  
15 of the incomplete inspiration of the plaintiff  
16 or patient?

17 A. Well, that's a technical factor. That  
18 would be -- a poor inspiration would be a  
19 technical problem. Just as processing artifact  
20 could be a technical problem. Poor filming,  
21 screen contact, a grid that's not moving right.

22 Just one of the many types of  
23 variables, none of which were relevant in these  
24 two patients. But they are variables that can  
25 be present.

1 that there are virtually hundreds of reasons  
2 why an x-ray looks a certain way?

3 A. Forgive me, but I'm not sure I  
4 understand the question.

5 Q. Well, there might be abnormalities in  
6 an x-ray which might be consistent with things  
7 other than asbestos-related disease?

8 A. Of course. There could be problems  
9 with the bone structure, with the soft tissue  
10 structure. There could be changes within the  
11 lung substance itself, which we refer to as the  
12 interstitium, that are not particularly related  
13 to occupational dust disease.

14 There could be problems with the blood  
15 vessels. There could be problems with the  
16 compartment outside the interstitium referred  
17 to as the pleural compartment. There could be  
18 lots of different problems.

19 Q. And some common x-ray errors may be  
20 due to the obesity of the plaintiff?

21 A. That could lead to fat in the pleura  
22 and what some people who are not familiar with  
23 that would call pleural thickening. But it's  
24 really pleural fat deposition for example.

25 Q. And that could also -- there could

1 MR. MORALES: Objection,  
2 non-responsive.

3 BY MR. MORALES:

4 Q. Before you read these x-rays, you  
5 didn't know the weight of either one of these  
6 individuals, did you?

7 A. No. But I can tell whether or not the  
8 weight would be a potential problem in terms of  
9 the interpretation of the films once I observed  
10 the soft tissues on the x-ray, because the  
11 medical record is the x-ray. And the x-ray  
12 delineates the muscular skeletal frame,  
13 including the subcutaneous fat.

14 Q. What about the age? You didn't know  
15 the age of the individuals that you reviewed  
16 their x-rays of before you did your read, did  
17 you?

18 A. I'm not sure if I did or didn't.

19 Q. Well, if you did, where did you get  
20 that information from?

21 A. It may well be on the demographic  
22 shield. And right now I just don't remember in  
23 this particular case whether these two  
24 individuals had their age on the demographic  
25 shield.

1 But I might add that the date of birth  
2 is -- when I am sent films, one of the things  
3 that as a requirement for me is that I get a  
4 spreadsheet. And that spreadsheet would have  
5 the name, social security number, date of the  
6 x-ray, and typically has the date of birth  
7 which gives me the age of the patient.

8 So I would have that, if not on the  
9 film, on the spreadsheet.

10 Q. So what all do you receive in one of  
11 these packages then?

12 A. Typically, it would be a note from  
13 whoever sent them to me indicating the number  
14 of x-rays. And that tells me who sent them so  
15 I know where to return them. And also what I  
16 would call a master list which should match the  
17 x-rays.

18 That master list is in alphabetical  
19 order and the x-rays are in the same  
20 alphabetical order. So I can make sure that  
21 nothing falls through the cracks.

22 And on that master list would be  
23 antecedent issues like I just said: name,  
24 social security number, the date of birth, date  
25 of the x-ray.

1 A. I mean, I'm a physician. I have a  
2 responsibility. Just as my patients here.

3 The vast majority of the cases I read  
4 are negative. I interpret them and the report  
5 goes to whoever referred them to me, just as I  
6 would in the hospital. They are handled  
7 exactly the same way as hospital patients.

8 Q. That was my next question, and I guess  
9 you sort of addressed it there.

10 But are we talking about the films  
11 that you received from the imaging services,  
12 the vast majority of those are negative? Is  
13 that what you're telling me?

14 A. The vast majority of all the films  
15 that I'm sent are negative.

16 Q. Can you give me a percentage?

17 A. Overall, probably in the order of  
18 magnitude of a minimum of 80, maybe a little  
19 more than 80 percent maybe.

20 But that takes all comers. I have  
21 unfortunately run into devastating situations  
22 where I've seen 50, 55, 60 percent of the films  
23 positive, catastrophically positive with  
24 advanced disease, with cancers, with  
25 mesotheliomas in certain populations that are

1 And if there is any relevant known  
2 history that they believe I should know -- for  
3 example, the patient had a pneumonectomy, had a  
4 lung removal; or if the patient had a known  
5 carcinoma. If they knew it, they tell me.

6 Q. What about smoking history? Is that  
7 included on there?

8 A. It depends on where I receive the  
9 x-rays from. In some, but only a small  
10 minority of cases do I get the smoking history.

11 Q. Do you recall if the smoking history  
12 was included on these?

13 A. I believe that I do not get smoking  
14 history with the films that came from this  
15 group because these films came from Nix. So I  
16 believe that I did not have any smoking  
17 history.

18 Q. What do you do with the negative  
19 reads?

20 A. Exact same thing I do with the  
21 positive reads. They are interpreted and  
22 everything is sent back to the referral source.

23 Q. Okay.

24 A. What did you think I did with them?

25 Q. That's why I asked.

1 really abused.

2 But those are exceptions.

3 MR. MORALES: Objection,  
4 non-responsive portion.

5 BY MR. MORALES:

6 Q. Have you ever attended any  
7 asbestos-related screenings?

8 A. Have I attended asbestos-related  
9 screenings? Do you mean when these films were  
10 obtained?

11 Q. Yes.

12 A. No. Not to my knowledge.

13 Q. Not these specifically. But ever in  
14 your practice, have you ever attended one of  
15 those screenings?

16 A. To my knowledge, no.

17 Q. What about for silicosis?

18 A. Not to my knowledge, no.

19 Q. Now, Doctor, you're not offering an  
20 opinion today about any of the products that  
21 either Mr. [REDACTED] or Mr. [REDACTED]

22 [REDACTED] the plaintiffs in this case, that  
23 they worked with or around, are you?

24 A. I'm offering an opinion as to the  
25 presence or absence of occupational dust

1 disease.  
 2 And in these two cases there was  
 3 occupational dust disease. And the  
 4 interstitial fibrosis was characterized at a  
 5 certain NIOSH level.  
 6 And that's what I'm here to do.  
 7 That's what I presume you called the deposition  
 8 for.  
 9 Q. Yes. But you're not going to offer an  
 10 opinion as to certain products they may have  
 11 used in their work history?  
 12 A. True.  
 13 Q. And you're also not going to offer any  
 14 testimony about the conditions of their work  
 15 environment?  
 16 A. Well, this is a discovery deposition.  
 17 If you ask me about it as a hypothetical, I  
 18 will be more than happy to offer an opinion.  
 19 But I presume you're not going to ask  
 20 me about it.  
 21 Q. Well, you're not an industrial  
 22 hygienist, are you?  
 23 A. No. I'm not an epidemiologist. I'm  
 24 not an oncologist. And I'm not a pulmonary  
 25 physician.

1 logic on this. If you take the NIOSH course  
 2 and fail the exam, congratulations, you're an A  
 3 reader.  
 4 Since I took the course and passed the  
 5 exam, I guess I'm an A reader. But it's  
 6 irrelevant. It's a certification of having  
 7 attended the course.  
 8 It's like when I got out of the Navy  
 9 and I received the National Defense ribbon  
 10 because I was in the Navy during the Vietnam  
 11 war. I mean, it's as meaningful as that.  
 12 Thank God I wasn't in Vietnam, through  
 13 no fault of my own either way. But it doesn't  
 14 -- it's very nice that I got the National  
 15 Defense ribbon when I didn't deserve it for  
 16 anything. I just happened to be in the Navy at  
 17 the time of the war because they drafted me.  
 18 You go to a course and you get an A  
 19 certification, it's meaningless. You've just  
 20 taken a course.  
 21 MR. MORALES: Object to the  
 22 non-responsive portion.  
 23 BY MR. MORALES:  
 24 Q. Did you meet with your lawyers before  
 25 this deposition?

1 I'm a board certified radiologist with  
 2 a very large experience and expertise in  
 3 occupational dust disease. And I'm very  
 4 cognizant of the literature in all of these  
 5 areas.  
 6 I do not hold myself out as board  
 7 certified in any of these areas. But I am very  
 8 knowledgeable in the mineralogy, the pathology,  
 9 the oncology, and the epidemiology of these  
 10 diseases.  
 11 But I do not hold myself out as a  
 12 board certified expert in any of them other  
 13 than radiology.  
 14 MR. MORALES: Object,  
 15 non-responsive.  
 16 BY MR. MORALES:  
 17 Q. Are you a certified A reader?  
 18 A. Excuse me?  
 19 Q. Are you a certified A reader?  
 20 A. I think anybody that's a B reader is a  
 21 certified A reader. An A reader is someone who  
 22 has attended the course.  
 23 Q. There's not a different certification  
 24 for an A reader?  
 25 A. Well, let's see if I can give you the

1 A. The first time I met the attorney was  
 2 about 30 minutes before the deposition to show  
 3 her the x-rays that were sent to me and to  
 4 review the reports and to show her the room  
 5 that we were going to have.  
 6 Q. And what else did you bring with you  
 7 today?  
 8 A. I have black films too in case the  
 9 light here was a problem, because I didn't know  
 10 if you were going to do this as a video  
 11 deposition. And about -- a mini-reference  
 12 library of about 4 to 6 thousand references in  
 13 case I need them.  
 14 I carry about 300 to a thousand in my  
 15 head, but have the extra 2 to 3 thousand here  
 16 as a reference resource.  
 17 Q. Have you ever testified for a defense  
 18 firm in deposition or in trial?  
 19 A. Yes.  
 20 Q. And who was that?  
 21 A. White and Williams.  
 22 Q. That's the firm?  
 23 A. Yeah.  
 24 Q. Do you remember who the defendant was?  
 25 A. No.

1 Q. Do you remember when it was that you  
 2 testified?  
 3 A. Years ago.  
 4 Q. Was it by deposition?  
 5 A. Yes.  
 6 Q. And do you remember what the case was  
 7 about?  
 8 A. No. Sorry.  
 9 Q. Was it an asbestos-related case?  
 10 A. No.  
 11 Q. Was it an occupational disease case?  
 12 A. I do not believe so.  
 13 MR. MORALES: Sir, I believe  
 14 that's all the questions I have for you at this  
 15 time.  
 16 I pass the witness.  
 17 -- EXAMINATION --  
 18 BY MR. ROSSICK:  
 19 Q. Doctor, my name is Bill Rossick and I  
 20 have some questions for you. I apologize that  
 21 as a function of me going next, I will bounce  
 22 around a little bit.  
 23 One of the things I wanted to do was  
 24 go ahead and talk specifically about your  
 25 report. Do you have that available to you here

1 today?  
 2 A. I do.  
 3 Q. One of the things -- it's a two-page  
 4 report, correct?  
 5 A. True.  
 6 Q. Okay. The first page is a narrative  
 7 and the second page is -- how would you  
 8 describe that?  
 9 A. The second page is a completed NIOSH  
 10 -- National Institute of Occupational Safety  
 11 and Health standard form.  
 12 Q. And with respect to that second page,  
 13 the NIOSH form, essentially you are looking at  
 14 -- and correct me if I'm wrong -- two parts of  
 15 the lung: one is the interstitium and the  
 16 other part is the pleura, correct?  
 17 A. Wrong. You're looking at the two  
 18 parts on the Section 2 and Section 3. Section  
 19 4 allows you to look at the rest of the -- it  
 20 doesn't allow. It allows you to articulate any  
 21 changes in the rest of the lung.  
 22 So you're really looking at the whole  
 23 lung. Actually, the whole chest.  
 24 Q. Okay. All right.  
 25 So let me talk about Section 3 for a

1 second. That's the part that talks about the  
 2 pleura, correct?  
 3 A. True.  
 4 Q. Okay. And the pleura is the thin  
 5 lining of the outside of the lung itself,  
 6 correct? Or if you can describe that better,  
 7 feel free.  
 8 A. That's wrong. There's actually two  
 9 layers of pleura. There's a thin, virtually  
 10 microscopically thin glistening membrane on the  
 11 outside of the lung referred to as the visceral  
 12 pleura that invests the whole lung -- top,  
 13 bottom, sides, fissures as it goes around.  
 14 And that is also opposed by a second  
 15 layer of pleura which is on the inside of the  
 16 ribcage.  
 17 Now, typically you -- because of the  
 18 physiology of the lung, the ribcage expands and  
 19 contracts to allow the inhalation and  
 20 exhalation of air, the exchange of oxygen and  
 21 carbon dioxide.  
 22 I don't want to go into a long song  
 23 and dance. But that double membrane has a  
 24 very, very minimal coefficient of friction  
 25 because there's a very minimal amount of

1 moisture. So that you can breathe in and out  
 2 and we all do as we all have for the last hour,  
 3 hour and a half, and feel hopefully no pain, no  
 4 distress.  
 5 That's in the normal function. So you  
 6 have both a visceral and a parietal pleura  
 7 that's covering the lung on the inside of the  
 8 ribcage.  
 9 So there's really two layers of  
 10 pleura.  
 11 And normally because they are so thin  
 12 and you have the potential space, we don't see  
 13 it on any x-ray because it's typically so thin.  
 14 Q. And that was one of the questions I  
 15 was going to ask. This pleura is probably --  
 16 if you want to describe it so that somebody  
 17 might be able to relate to it that doesn't  
 18 understand that might be a layperson, a good  
 19 description might be able to describe it as  
 20 like a piece of cellophane that's so thin that  
 21 you wouldn't be able to actually normally see  
 22 it under x-ray conditions, correct?  
 23 A. I typically refer to it as a good,  
 24 old-fashioned box of Chiclets with cellophane  
 25 around it; the cellophane being the pleura.

1 But it's really two layers of cellophane around  
 2 the box of Chiclets, with the box of Chiclets  
 3 being the lung.  
 4 Q. And with respect to the significance  
 5 perhaps in the NIOSH reading is that when you  
 6 do see the pleura indicated on an x-ray that  
 7 that would be an abnormal finding, correct?  
 8 A. True. And in the more than 50 percent  
 9 of the time you do happen to see in association  
 10 with interstitial disease associated pleural  
 11 changes, pleural areas of swelling or  
 12 thickening.  
 13 In these two cases, you just happen  
 14 not to see it. That occurs in a large  
 15 significant minority of times when you have  
 16 interstitial disease.  
 17 But the majority of time you do have  
 18 pleural thickening with -- and it could be  
 19 localized and referred to as plaque with  
 20 interstitial disease.  
 21 It just wasn't present here and I  
 22 wasn't going to make it up because it's not  
 23 present.  
 24 Q. Okay. There are studies that exist  
 25 that associate a greater exposure to asbestos

1 interval disease-free period from the exposure  
 2 to their onset of symptoms or signs of disease.  
 3 Now, it's very dramatic when you have  
 4 a catastrophic event like the explosion and the  
 5 exposure to all that radiation.  
 6 The point is that there is a  
 7 disease-free interval. And over a period of  
 8 time, with time being the important factor, in  
 9 the case of radiation it caused the disease  
 10 which unfortunately ultimately killed the  
 11 majority of people, if not all of them I think.  
 12 Let's go back to asbestos. And it has  
 13 nothing to do with the Chernobyl except this  
 14 concept of latency.  
 15 With Chernobyl you're talking about a  
 16 very short latency. Radiation, couple of days,  
 17 don't feel bad. By the third day, they're  
 18 sick. And by the seventh day, they are dead.  
 19 With the concept of pleural disease  
 20 when you're dealing with asbestos, the  
 21 correlate is to the initial exposure and time,  
 22 not necessarily a correlation to the amount of  
 23 asbestos that you're exposed to.  
 24 So time is the issue. Yes, you have  
 25 to be exposed. Yes, you have to have a certain

1 with pleural thickening, correct?  
 2 A. Well, not necessarily, because the  
 3 presence of pleural disease is really a  
 4 function of the term latency.  
 5 Now, I really have to digress for a  
 6 second so that the ladies and gentlemen of the  
 7 jury understand what I'm talking about.  
 8 Let's go back to Chernobyl for a  
 9 second. Chernobyl has absolutely nothing to do  
 10 with these two clients other than to make a  
 11 point about latency.  
 12 Unfortunately, at the time the reactor  
 13 blew up in Russia, they did have a couple of  
 14 people who went in and tried to close down the  
 15 reactor and contain as best they can that awful  
 16 radiation from the explosion.  
 17 The day those people went into that  
 18 reactor, they were exposed to very high doses  
 19 of radiation, lethal doses of radiation.  
 20 They went in on a Tuesday. On  
 21 Wednesday, they were fine. On Thursday, they  
 22 were fine. On Friday, they started to get  
 23 sick. And by the following Tuesday, they were  
 24 dead.  
 25 The latency is that period, that

1 finite exposure to asbestos dust particles and  
 2 fibers.  
 3 But time is the critical, not the  
 4 amount of exposure. There's a loose  
 5 correlation, of course, to the amount of  
 6 exposure. But it's time.  
 7 That's for that lining outside the  
 8 lung that we talked about, this cellophane  
 9 wrapper.  
 10 That's to be distinguished from  
 11 interstitial fibrosis or scarring in the lung  
 12 itself, the actual substance of the lung where  
 13 gaseous exchange occurs. The fibrosis within  
 14 the lung is, in fact, a function of the  
 15 exposure to asbestos and the asbestos dust  
 16 burden that occurs within that body.  
 17 So you have a relationship related to  
 18 concentration of dust on the one hand related  
 19 to interstitial fibrosis. On the other hand,  
 20 you have a relationship related to duration or  
 21 time.  
 22 The latency or time duration for  
 23 asbestos could be as long as 57 years and as  
 24 short as 3 years. It averages about 33 years  
 25 according to Hilledale in 1980.

1 When you're looking at interstitial  
2 fibrosis, the latency is about 15 years. But  
3 it's really very variable and is very much dose  
4 dependent whereas pleura is not dose dependent.

5 So if you have two variables that run  
6 by their own sets of variables, the fact that  
7 sometimes you have interstitial disease without  
8 pleural disease -- now that's less often than  
9 having pleural disease without interstitial  
10 disease -- it's explained by these types of  
11 variabilities.

12 And, of course, idiosyncratically it's  
13 explained by one's own individual reaction to  
14 these toxic contaminants.

15 Q. So with regard to your -- I just want  
16 to clear one thing up with respect to pleural  
17 thickening. I think you said, but then it  
18 sounded like you may have said something  
19 different. So I just want to clear it up.

20 With regard to the amount of exposure  
21 to asbestos, that that's a somewhat smaller  
22 factor than the latency period or no factor at  
23 all?

24 A. No. Smaller factor. It's a smaller  
25 factor. The latency period seems to be more

1 exposure to indication of the disease to  
2 actually see pleural thickening that Mr.  
3 [REDACTED] would tend to have a more recent  
4 exposure than it would be if you saw a pleural  
5 thickening, correct?

6 A. That would be correct. But you can't  
7 make a specific conclusion. It's a statistical  
8 conclusion based on the literature.

9 You now have other variables that Mr.  
10 [REDACTED] may or may not be responding  
11 idiosyncratically in his pleura the way he is  
12 with the interstitial.

13 Q. Understand. But it would be  
14 consistent with the literature?

15 A. Sure.

16 Q. With regard to the interstitial  
17 findings, there we're talking about the actual  
18 tissue of the lung -- and what I mean with  
19 tissue, I'm talking about where the gas is  
20 exchanged, the actual --

21 A. Parenchyma.

22 Q. Parenchyma. I guess you can describe  
23 it as a spongy part of the lung inside that  
24 lining, correct?

25 A. We refer to it as the lung parenchyma,

1 directly related whereas concentration of  
2 exposure is much more of a larger factor with  
3 interstitial fibrosis.

4 Q. So with regard to Mr. [REDACTED]  
5 NIOSH report that the -- with the information  
6 that you were just talking about with respect  
7 to the latency period, the fact that Mr. -- you  
8 didn't identify any pleural thickening for Mr.  
9 [REDACTED] the indication that we can draw from  
10 that is that his exposure period would tend to  
11 be a little bit more recent than it would  
12 earlier time frame, correct?

13 A. I cannot make that. All I can say is  
14 that with him it's not sufficient at this time  
15 for him to have developed pleural disease.

16 He may never develop pleural disease.  
17 Not everybody does with interstitial disease.  
18 But I would not be surprised because it is a  
19 progressive disease for him to go on to develop  
20 disease.

21 And I see that all the time as I do  
22 comparative follow ups on patients.

23 Q. It would be consistent with the  
24 literature to the extent you understand the  
25 average duration time for latency from time of

1 P-A-R-E-N-C-H-Y-M-A.

2 Q. Okay. And when you see a -- I'm  
3 talking about Section No. 2, the abnormalities  
4 of the parenchyma, when you identify a 1/0,  
5 that would be an abnormality based upon your  
6 testimony before, correct?

7 A. I don't understand the question. You  
8 confused me.

9 Q. 1/0 is an abnormal finding in terms of  
10 NIOSH, correct?

11 A. True.

12 Q. And how many different -- when you say  
13 1/0, there's 1/0 I think on the Section 2B  
14 sub-part C profusion, there's 1/0, 1/1, 1/2.  
15 How many different possibilities?

16 A. 12. And it's No. 4 of the 12.

17 Q. What would be the next highest from  
18 1/0?

19 A. 1/1.

20 Q. And Mr. [REDACTED] was 1/0, correct?

21 A. True.

22 Q. What would be the next grade below  
23 1/0?

24 A. 0/1.

25 Q. And what is the next grade below that?

1 A. 0/0.  
 2 Q. And 0/0 would be a normal finding,  
 3 correct?  
 4 A. True.  
 5 Q. So the very minimum beyond 0/0 would  
 6 be a 0/1, and then we have 1/0 which is Mr.  
 7 [REDACTED], correct?  
 8 A. Right.  
 9 Q. Okay.  
 10 A. We by convention consider that mild  
 11 disease.  
 12 Q. Okay. You do mammographies, correct?  
 13 A. I had indicated I do about 85 percent  
 14 of the mammograms here at the hospital.  
 15 Q. Is there any verification that the  
 16 radiology department does at the Elkins Park  
 17 Hospital to have a second radiologist  
 18 periodically review a mammogram reading and do  
 19 some verification of whether those mammograms  
 20 is correct or they would agree with a  
 21 particular type of diagnosis that was rendered  
 22 on a mammogram?  
 23 A. We don't do double readings. What  
 24 happens is we do analyze our case for when  
 25 those that we send for skinny needle biopsies

1 radiography including chest radiographs and  
 2 mammography.  
 3 We have a periodic calendar and we  
 4 review cases that are reviewed not only among  
 5 the radiologists here. But to make sure that  
 6 it's truly objective, we will send films out to  
 7 another institution and they'll send films to  
 8 us so we can cross read each other's looking  
 9 for what we call anecdotal experiences of  
 10 error. It's an educational process.  
 11 Q. Right. Other than other litigants in  
 12 cases that might involve B readings, whether  
 13 the state might be a party or another defendant  
 14 might be a party, outside the context of  
 15 litigation, is there anybody that ever looks at  
 16 your B readings to do that second type of  
 17 reading that you're describing with respect to  
 18 the other type of practice you do at the  
 19 hospital?  
 20 A. I don't want to be redundant but  
 21 Mansville did. The defense trust did. They  
 22 reviewed my B readings.  
 23 MR. ROSSICK: Objection,  
 24 non-responsive.  
 25 BY MR. ROSSICK:

1 or core biopsies and look at our yield as to  
 2 true positive, false positive, true negative,  
 3 false negative and compare that to national  
 4 averages for the individual radiologists.  
 5 Q. So that's done in order to -- well,  
 6 let me back up.  
 7 Is that done as part of some  
 8 accreditation process? Does the Joint  
 9 Commission on Hospital Accreditation require  
 10 some type of validation of the mammograms being  
 11 read in terms of the diagnosis matching up in  
 12 terms of what should be expected?  
 13 A. We do that because we live and die on  
 14 our credibility to the surgeons. If I start  
 15 sending people in for biopsies and the biopsy  
 16 came back negative, could you imagine how many  
 17 referrals I would get?  
 18 So basically we do that because we  
 19 want to establish our credibility so that our  
 20 true positive and true negative rate are  
 21 appropriate to national standards.  
 22 With respect to the Joint Commission  
 23 standards or state standards, we do do second  
 24 reviews in all areas whether it be CT, MRI,  
 25 ultrasound, nuclear medicine, general

1 Q. With regard -- let's talk about that  
 2 for just a second. When did Johns Manville do  
 3 a verification process or anything of that sort  
 4 of your NIOSH B readings?  
 5 A. Within the last five years.  
 6 Q. And was that a random selection of  
 7 cases? Or was that just Johns Manville cases?  
 8 Or what did that involve?  
 9 A. Don't know. They took a total of 20  
 10 of the active B readers and reviewed their work  
 11 and had independent people review them for  
 12 sensitivity and accuracy.  
 13 That's the way it was written.  
 14 Q. Doctor, outside the context of  
 15 litigation, do you agree with me that there's  
 16 no chance at all that any doctor was going to  
 17 take a look at your NIOSH report you did on  
 18 [REDACTED] to confirm that it was, you know  
 19 -- at least to say that we agree with this on a  
 20 second reading?  
 21 A. I would take the opposite point of  
 22 view. From the perspective of this potentially  
 23 going to litigation, almost certainly I would  
 24 assume somebody would be looking at this and  
 25 rendering an opinion.

1 Q. I am talking about outside the context  
2 of litigation, whether it's another defendant  
3 in this case might have an expert they might  
4 retain to look at it.

5 I'm talking about whether there might  
6 be somebody at this hospital or some other  
7 doctor that's not involved in this litigation  
8 that --

9 A. You can't have somebody at the  
10 hospital look at it because the films didn't  
11 generate at the hospital. There are health  
12 care financial administration rules and  
13 regulations of privacy.

14 I can't have somebody who doesn't know  
15 these patients look at these films. Not  
16 allowed to.

17 MR. ROSSICK: Objection,  
18 non-responsive.

19 BY MR. ROSSICK:

20 Q. Outside the context of litigation,  
21 there's no process in place that you know of to  
22 do any validation of your NIOSH reports,  
23 correct?

24 A. Wrong. My NIOSH reports are validated  
25 by the government on the government work. They

1 review them.

2 Q. When does the government validate your  
3 NIOSH reports?

4 A. I don't know but they are all  
5 reviewed. Not only for me, but for other  
6 people.

7 Q. What is the name of the agency that  
8 you understand that reviews your --

9 A. NIOSH. NIOSH does.

10 Q. It's your understanding that there is  
11 a possibility that you understand that NIOSH  
12 could get a copy of [REDACTED] report  
13 that you did in this case to have another  
14 physician --

15 A. No, no, no. You misunderstood. I  
16 said the work that I do for the government.  
17 This wasn't done for the government.

18 But to me, there's no dichotomy  
19 between the cases that might be occupational  
20 dust disease that come from a mine or from  
21 here. I don't know where this guy worked.

22 But ones that are done under certain  
23 circumstances that go to the government that  
24 they review them, certainly.

25 DEFENSE COUNSEL: Objection,

1 non-responsive.

2 BY MR. ROSSICK:

3 Q. Doctor, what's a P reading?

4 A. A who?

5 Q. P reading?

6 A. Panel reading. It's usually done for  
7 epidemiological purposes or to establish an  
8 examination or set up a group of, let's say, a  
9 hundred known 1/0s or 1/1s or 2/2s for teaching  
10 purposes.

11 Q. When was the last time you had a panel  
12 reading done on any of the NIOSH readings that  
13 you did?

14 A. That I have had a panel -- I don't  
15 know that I have ever had a panel reading done  
16 other than by the Mansville trust. That's  
17 essentially what they did. They had a panel of  
18 NIOSH B readers review the work.

19 MR. ROSSICK: Objection,  
20 non-responsive.

21 BY MR. ROSSICK:

22 Q. Doctor, to the extent that you see the  
23 Johns Mansville trust process that we described  
24 before as a panel reading, there's no other  
25

1 panel reading that you know of that was ever  
2 done to review your NIOSH reports, correct?

3 A. To my understanding, that's true.

4 Q. When I was asking you questions  
5 earlier when we were talking about latency  
6 versus extent of asbestos exposure, I think  
7 when you were talking about extent of asbestos  
8 exposure, that was the findings under Section 2  
9 of your report when you were talking about the  
10 amount of profusion, in this case 1/0, correct?

11 A. I can't tell you because I don't  
12 remember the context in which you had said it.  
13 I told you I don't remember what I had for  
14 dinner last night. And I certainly don't  
15 remember seven questions ago the context of  
16 what you said.

17 I stand by my answer whatever it was,  
18 but I just don't know that I can relate now to  
19 that answer in the context of some new  
20 question.

21 Q. Understand. Well, let me go ahead and  
22 lay a predicate and we'll come from there.

23 With regard to the amount of a  
24 profusion, that is in this case a 1/0 that you  
25 identified Mr. [REDACTED] as having, that is --



Page 94

1 and to the extent we go up on profusion to a  
 2 1/1 or down to 1/0, as you go up or down that  
 3 scale, that's an indication of the amount of  
 4 asbestos exposure, correct?  
 5 A. No. It's an indication of the  
 6 response of his lung to the exposure and the  
 7 development of interstitial fibrosis.  
 8 Why do I say that? Because that same  
 9 exposure could produce a 1/0 in you or a 1/1 in  
 10 her or a 1/2 in the woman in the third row.  
 11 There is an idiosyncratic variable  
 12 with respect to the toxic effect of the salycic  
 13 (phonetic) acid within the fibrules fibrules.  
 14 Perhaps the auto-immune response. Perhaps the  
 15 direct physical nature of the irritability of  
 16 the fibers within the patient.  
 17 And your response won't necessarily be  
 18 her response or her response.  
 19 So I can't in any way equate an  
 20 interstitial fibrosis profusion level  
 21 necessarily to a person's antecedent  
 22 occupational exposure. Otherwise, you would  
 23 have a direct one-to-one correlation for  
 24 everyone. Absolutely you don't.  
 25 Q. Fair enough. And with respect to Mr.

Page 95

1 [REDACTED] you have no idea in terms of what  
 2 his symptoms are, do you?  
 3 A. True.  
 4 Q. But it wouldn't surprise you that a  
 5 patient with a 1/0 profusion and neural pleural  
 6 thickening may be asymptomatic; that is, not  
 7 exhibiting any symptoms of asbestos exposure?  
 8 A. I wouldn't be surprised either way  
 9 whether he was symptomatic or asymptomatic.  
 10 Q. Fair enough.  
 11 A. Which is, again, the reason why I  
 12 wouldn't want to know his symptomatology when  
 13 I'm interpreting the x-rays. I want to do it  
 14 purely on the basis of my criteria in my area  
 15 of expertise.  
 16 MR. ROSSICK: Objection,  
 17 non-responsive.  
 18 BY MR. ROSSICK:  
 19 Q. Doctor, you would agree with me that  
 20 there are hundreds of different causes for  
 21 interstitial disease?  
 22 A. There are probably a couple of hundred  
 23 maybe. When you say hundreds, I presume --  
 24 you're talking an order of magnitude of two,  
 25 maybe two-fifty.

Page 96

1 I would certainly go along with that  
 2 as a potential. But many of them are totally  
 3 irrelevant to this process. The vast majority  
 4 are.  
 5 Q. Doctor, in this particular case, Mr.  
 6 [REDACTED], you diagnosed him based upon your  
 7 NIOSH report with asbestosis, correct?  
 8 A. True.  
 9 Q. How did you go about ruling out the  
 10 other hundreds or however many figured to  
 11 narrow it down to asbestosis in this particular  
 12 case?  
 13 A. First of all, you do it primarily by  
 14 looking at the specific radiographic features.  
 15 Mr. [REDACTED] demonstrated the  
 16 irregular type of modular densities in the  
 17 distribution in the mid and lower lung zones  
 18 that were the usual and customary features for  
 19 asbestos.  
 20 With respect to by category, for  
 21 example, if you will, collagen vascular  
 22 diseases, other than scleroderma which causes a  
 23 different type of pattern of basal lower lobe  
 24 disease, you're often dealing with upper lobe  
 25 disease, for example, with the granulomatous

Page 97

1 processes, both infectious and non-infectious,  
 2 with vascular as a category.  
 3 And please assume when I say vascular  
 4 as a category, I'm encompassing 30 diseases and  
 5 am throwing them right out. And the reason for  
 6 that is the distribution is different, just as  
 7 silica has different distribution than  
 8 asbestos.  
 9 So on the basis of certain  
 10 inflammatory diseases, the granulomatous  
 11 diseases, both infection and non-infectious,  
 12 whether it be the histiocytoses or the -- you  
 13 go through this -- and I know on the basis of  
 14 my experience and having seen all the other  
 15 diagnoses and having had them confirmed for me  
 16 over a period of many years and having seen  
 17 many of them, that they are not relevant to the  
 18 diagnosis in this particular patient who has  
 19 bilateral irregular interstitial nodular  
 20 densities in his mid and lower lung zones.  
 21 Now I would be the first to tell you I  
 22 didn't do a pathologic biopsy. But I made a  
 23 radiologic diagnosis to a reasonable degree of  
 24 medical certainty.  
 25 Q. I just want to make sure I understand

1 what you saw that you went through to identify  
2 asbestosis.

3 You said bilateral interstitial  
4 irregularities --

5 A. Irregular nodular densities.

6 Q. Is that how you would describe what  
7 you got -- essentially checked off in this  
8 NIOSH report, which I guess is the second page  
9 of your report?

10 A. Yes. If you take a look at 2B, S  
11 densities are -- the primary densities are 0 to  
12 1.5 irregular nodular densities is the primary  
13 density. And the second S or the secondary  
14 densities were also irregular nodular densities  
15 in the range of 0 to 1.5 millimeters.

16 And that's precisely what I saw in the  
17 mid and lower lung zones. And they were at a  
18 profusion concentration of about 1/0 and that  
19 there were no pleural abnormalities.

20 With respect to the rest of the chest,  
21 I did not see any other abnormalities which is  
22 why in 4A I said no.

23 Q. Okay. So the abnormalities that we  
24 have with regard to Mr. [REDACTED] we've got --  
25 again, looking at your second page of your

1 To a reasonable degree of medical certainty,  
2 absolutely.

3 Q. And that's what I'm trying to -- so is  
4 there other information that in your mind  
5 limits Mr. [REDACTED]'s diagnosis to asbestosis  
6 beyond the raw data that we have on Page 2 of  
7 your NIOSH report?

8 A. I have no idea what you just said.

9 Q. Looking at Page 2 of your NIOSH report  
10 knowing nothing else about this patient, if you  
11 looked at this report, in your opinion that the  
12 only diagnosis that could be made is  
13 asbestosis? Or is there other information on  
14 that x-ray --

15 A. I'm sorry. I don't mean to cut you  
16 off.

17 That report adequately reflects his  
18 radiographic features that are diagnostic of  
19 asbestosis.

20 Q. Okay.

21 A. The reason why you do a narrative and  
22 a NIOSH report is because I don't write  
23 diagnosis asbestosis on the NIOSH report  
24 because by convention it's not done.

25 I know there are radiologists that do

1 report under small opacities, the 2S box  
2 checked, correct?

3 A. Yes.

4 Q. And what does that indicate?

5 A. That the primary abnormalities are 0  
6 to 1.5 millimeter irregular nodular densities,  
7 mid and lower lung zones.

8 Q. And, again, the zones where that part  
9 B box or the mid and lower lung zones, correct?

10 A. True.

11 Q. And we have the profusion which we  
12 talked about, correct, the 1/0?

13 A. True.

14 Q. And all the rest of the -- when you  
15 examined Mr. [REDACTED]'s x-ray film that  
16 everything else was normal that you saw?

17 A. True.

18 Q. So in your opinion that someone has  
19 bilateral interstitial irregular nodule --

20 A. S type densities.

21 Q. Yeah. In the mid and lower lung  
22 zones, that the only thing they could  
23 potentially have is asbestosis?

24 A. With the particular radiographic  
25 features that he has looking at the x-ray, yes.

1 it. I don't.

2 That's why I provide the narrative  
3 report so that I treat this patient like I  
4 would like anyone else that I'm doing a  
5 clinical report.

6 Q. Right. The NIOSH report is very fixed  
7 criteria in terms of whether something can be a  
8 1/0 or 1/1? It's purely objective data,  
9 correct?

10 A. True.

11 Q. Okay. Now, are you saying that  
12 there's some subjective -- there's a subjective  
13 component in terms of making the asbestosis  
14 diagnosis as well as besides purely the  
15 objective criteria from the NIOSH reading?

16 A. No. I am just saying that if there  
17 was a place on the NIOSH form -- like, for  
18 example, let's say 5, not film readers initials  
19 but if it wrote diagnosis, I would have written  
20 in asbestosis.

21 But they don't have it so I don't  
22 superimpose that on the form. That's all I'm  
23 saying.

24 That's why I did a narrative report.  
25 I treated the patient like any other patient I

1 would have here at the hospital.  
 2 DEFENSE COUNSEL: Object to  
 3 non-responsive.  
 4 BY MR. ROSSICK:  
 5 Q. Maybe I'm a little confused. Maybe  
 6 it's because it's starting to get late in the  
 7 day for me too.  
 8 Other than the data that's reflected  
 9 on Page 2, the NIOSH B reading, is there other  
 10 information that you gleaned from the x-ray  
 11 report that was helpful to your diagnosis of  
 12 asbestosis in Mr. [REDACTED]'s case?  
 13 A. The data on Page 2 accurately reflects  
 14 the findings on his report that are diagnostic  
 15 of asbestosis.  
 16 DEFENSE COUNSEL: Objection,  
 17 non-responsive.  
 18 THE WITNESS: I don't know how  
 19 else to put it.  
 20 BY MR. ROSSICK:  
 21 Q. Doctor, if I just handed you, for  
 22 example, the Page 2 which is Mr. [REDACTED], and  
 23 not having read the x-ray, can you make a  
 24 asbestosis diagnosis just based upon the X's in  
 25 the boxes on this form? Or is there other

1 else, correct?  
 2 A. True. If I thought it was something  
 3 else, I would indicate it under other comments  
 4 which is precisely why I gave the diagnosis of  
 5 asbestosis on the correlating narrative report  
 6 on the same x-ray.  
 7 MR. ROSSICK: Objection,  
 8 non-responsive.  
 9 BY MR. ROSSICK:  
 10 Q. Doctor, is there no subjective  
 11 component to making an asbestos diagnosis in  
 12 Mr. [REDACTED]'s case for you? Or is it purely  
 13 objective?  
 14 A. I'm not sure how to answer that  
 15 because I bring to bear all of my experience  
 16 and education in the interpretation and am very  
 17 comfortable with the diagnosis.  
 18 To me, it's asbestosis to a reasonable  
 19 degree of medical certainty.  
 20 MR. ROSSICK: Objection to  
 21 non-responsive.  
 22 BY MR. ROSSICK:  
 23 Q. You do use some of your -- I mean,  
 24 there's an interpretative component to making  
 25 an asbestosis diagnosis with a NIOSH B reading?

1 information on the x-rays that you have to see  
 2 to verify that?  
 3 A. It is consistent with asbestosis.  
 4 MR. ROSSICK: Objection,  
 5 non-responsive.  
 6 BY MR. ROSSICK:  
 7 Q. I recognize that the data would be  
 8 consistent with asbestosis on the NIOSH B  
 9 reading. Would it be consistent -- just the  
 10 data, just the boxes that were checked -- would  
 11 that be consistent with anything other than  
 12 asbestosis?  
 13 A. Not if I filled it out. That would be  
 14 asbestosis. I would not -- if you're  
 15 suggesting the generic SS 1/0 in the bottom two  
 16 boxes, would I accept that as asbestosis from  
 17 anybody else? No. I would look at the films.  
 18 The patient deserves that.  
 19 Q. Okay.  
 20 MR. ROSSICK: Objection,  
 21 non-responsive.  
 22 BY MR. ROSSICK:  
 23 Q. In your mind any time you, Dr. Levine,  
 24 checks SS and the mid and lower lung zones and  
 25 a 1/0 that that would be asbestosis and nothing

1 It's not just I see a spot on the x-ray,  
 2 therefore, you know, I check a box, that's the  
 3 data and it's asbestosis?  
 4 There is your education and experience  
 5 that you use in order to make that diagnosis,  
 6 correct?  
 7 A. To that extent that this process  
 8 doesn't yield a biopsy and you want to consider  
 9 that subjective as opposed to objective, I have  
 10 no problem indicating to you that this was not  
 11 generated on the basis of a biopsy. It was  
 12 generated precisely on the process that I used.  
 13 MR. ROSSICK: Objection,  
 14 non-responsive.  
 15 I pass the witness.  
 16 Thank you, Doctor.  
 17 THE WITNESS: Thank you.  
 18 -----  
 19 EXHIBIT:  
 20 (Whereupon, LEVINE 1 and 2 were  
 21 marked for identification by counsel.)  
 22 -- EXAMINATION --  
 23 BY MR. BURNS:  
 24 Q. Hi, Doctor. My name is Randy Burns.  
 25 I think we met briefly before the deposition.

1 Need a break or anything?  
 2 A. No. Now I am warmed up.  
 3 Q. All right. I have a little bit of a  
 4 cold and it's affecting my hearing a little  
 5 bit. So --  
 6 A. As long as you don't give it to me,  
 7 all is forgiven.  
 8 Q. Great.  
 9 Would you just take a look at what  
 10 I've marked as Exhibits 1 and 2. I think they  
 11 are identical copies of the reports that you  
 12 have in front of you, but I want to include  
 13 them for the record.  
 14 A. (Witness complies with request.)  
 15 I have no idea who this patient is.  
 16 Q. Could I see the --  
 17 A. [REDACTED]  
 18 MR. BURNS: Off the record for a  
 19 second.  
 20 (Discussion off the record.)  
 21 MR. BURNS: Back on the record.  
 22 BY MR. BURNS:  
 23 Q. Dr. Levine, let me hand you what I've  
 24 marked as Exhibit No. 1. I think it's a copy  
 25 of your expert report for [REDACTED].

1 few things. Again, I'm going to bounce around  
 2 a little bit, but I will try to not go in  
 3 between topics.  
 4 As I looked through your CV, I didn't  
 5 notice that you published any articles on  
 6 asbestos-related disease; is that correct?  
 7 A. True. I hold no particular editorial  
 8 bias because I have not published in any one  
 9 particular area on asbestos; although, I teach  
 10 on it constantly here at the hospital.  
 11 Q. That was my next question. I didn't  
 12 see in your CV any entries of courses or  
 13 presentations that you've made on the topic of  
 14 asbestos. And it sounds like you might have  
 15 something to add in that regard?  
 16 A. It's done on a weekly basis here so I  
 17 just never updated it. We have residents and  
 18 students that come through from Medical College  
 19 of Pennsylvania and Hahnemann Medical School,  
 20 both of which are part of this system.  
 21 Q. Outside of the presentations that you  
 22 give to the students and folks rotating through  
 23 the hospital system here, have you ever given  
 24 any other presentations on asbestos to any  
 25 other groups of people?

1 I just want to make sure that's a true  
 2 and correct copy insofar as it reflects or  
 3 similar to the ones that you have in front of  
 4 you?  
 5 A. The only thing I would take exception  
 6 on for the record is these were not offered as  
 7 expert reports. They were just offered as  
 8 clinical reports on these patients.  
 9 Q. No problem. We will just use them for  
 10 identification purposes so we keep track of  
 11 what we're talking about.  
 12 Next I want to hand you what I've  
 13 marked as Exhibit 2 which is a copy of your CV  
 14 that we got off the table here. And I just  
 15 want you to flip through it and make sure that  
 16 it contains all the pages, at least as you  
 17 understand it.  
 18 A. (Witness complies with request.)  
 19 Did you count and make sure everything  
 20 is here?  
 21 Q. I don't know. I just looked through.  
 22 Does it look like it's complete?  
 23 A. That's an accurate photocopy as is the  
 24 report.  
 25 Q. Thank you. I just want to run over a

1 A. Yes, down at the medical school. But  
 2 only within the context of the Tenet and  
 3 previously the Allegheny system. I don't have  
 4 time to travel.  
 5 Q. And I'm not from the area here, Dr.  
 6 Levine. When you say "the medical school,"  
 7 which medical school are you referring to?  
 8 A. There were two. It's Hahnemann  
 9 College of Medicine or Hahnemann University.  
 10 I'm not sure of the exact title actually  
 11 because it's undergone a change. And the  
 12 Medical College of Pennsylvania.  
 13 They are both now really run by Drexel  
 14 University. And I think the formal name now is  
 15 the Drexel University School of Medicine,  
 16 Hahnemann University Division and the Medical  
 17 College of Pennsylvania.  
 18 To make it worse, it was originally  
 19 Women's Medical College.  
 20 Q. Okay. The presentations that you've  
 21 given at the medical school, is that a one-time  
 22 event or have you done it more than once?  
 23 A. No. They were done on numerous  
 24 occasions over many years.  
 25 Q. And what's generally the content of

1 that presentation?  
 2 A. Occupational dust diseases. Sometimes  
 3 it's focused on silica. Sometimes coal workers  
 4 pneumoconiosis. Sometimes asbestos. Sometimes  
 5 all of them. Sometimes chest and nose are  
 6 thrown in.  
 7 Q. Okay. I may have asked you too broad  
 8 of a question. What do you, Dr. Levine, teach?  
 9 A. Radiology.  
 10 Q. With respect to asbestos at those  
 11 instances when you give a presentation to the  
 12 medical school?  
 13 A. The recognition of asbestos,  
 14 specifically asbestos, the recognition of  
 15 asbestos on chest x-rays.  
 16 Q. Anything else outside of that category  
 17 when you're teaching to the students either at  
 18 the medical school there or as they rotate  
 19 through?  
 20 A. With respect to asbestos?  
 21 Q. Yes.  
 22 A. Well, often because it's very  
 23 interesting, we will show cases that have  
 24 associated other diseases on the chest x-rays  
 25 because we never want them to forget the fact

1 that they have a primary responsibility if they  
 2 are a physician.  
 3 So we might show TB or a case of  
 4 rheumatoid arthritis or a case with heart  
 5 disease associated that -- and two separate  
 6 diseases, not necessarily related, not  
 7 necessarily one caused by the other.  
 8 They are academic teaching lectures.  
 9 Q. And I am just focused on asbestos  
 10 right now. And I'm sorry if I asked you a  
 11 broad question again.  
 12 Is it accurate to say that the  
 13 teaching presentations that you make to the  
 14 students that rotate through here and the ones  
 15 you make at the medical school involve  
 16 radiographic recognition of asbestos-related  
 17 conditions?  
 18 A. Yes. And other allied conditions on  
 19 those films.  
 20 Q. Thank you. I think you said earlier,  
 21 but I'm not sure, that you're not licensed to  
 22 practice medicine in Texas?  
 23 A. No.  
 24 Q. And I heard you say --  
 25 A. Nor do I practice medicine in Texas.

1 Q. I wasn't sure I heard you earlier when  
 2 you were talking about which of your licenses  
 3 are active, because it looks like you've got  
 4 three of them.  
 5 A. Yes.  
 6 Q. Was it just New York and Pennsylvania?  
 7 A. No. My licenses that are active are  
 8 New Jersey and Pennsylvania. My New York  
 9 license dates back to where my training  
 10 occurred because I went to medical school in  
 11 New York and did my residency in New York and  
 12 have a medical license from New York which is  
 13 just on inactive status because I don't  
 14 practice in New York.  
 15 Q. Does the State of Pennsylvania require  
 16 a prescription before somebody is able to go  
 17 get a chest x-ray someplace?  
 18 A. Does the State of Pennsylvania require  
 19 a prescription? Yes. Here at the hospital,  
 20 yes.  
 21 Q. Do you know if the State of Texas  
 22 requires somebody to have a prescription before  
 23 they get a chest x-ray?  
 24 A. I don't practice in Texas.  
 25 Q. All right. So you wouldn't have any

1 way to tell us whether or not the film you read  
 2 here was taken pursuant to a prescription in  
 3 the State of Texas, would you?  
 4 A. I don't even know if the films were  
 5 done in Texas.  
 6 Q. Assuming that they were done in Texas,  
 7 you would have no way to know whether or not  
 8 they were taken pursuant to a prescription,  
 9 would you?  
 10 A. I'm involved in the process way after  
 11 x-rays are taken. I'm not involved in the  
 12 organization of taking the x-ray, the taking of  
 13 the x-ray. I am involved as a consultant in  
 14 the interpretation of the x-rays after the  
 15 fact.  
 16 Q. So what that sounds like to me is  
 17 whatever happens up until the time you receive  
 18 the film in terms of how the x-ray is produced  
 19 where it's taken, under what circumstances, et  
 20 cetera, there's nothing you can offer the jury  
 21 about that process?  
 22 A. No, other than if the film is  
 23 inadequate I won't read it and I will indicate  
 24 that the film is technically unsatisfactory as  
 25 I do on a case-by-case basis.

1 Q. Again, in terms of what happened prior  
 2 to the x-ray reaching your desk, other than if  
 3 it's overexposed or bad quality, there's  
 4 nothing that you know about that process?  
 5 A. True.  
 6 Q. And that would be true for this  
 7 particular case of [REDACTED]?  
 8 A. That's true for all the cases that are  
 9 sent to me for consultation from around the  
 10 country.  
 11 Q. We've talked about --  
 12 A. Or for that matter in the State of  
 13 Pennsylvania if they're not done here at the  
 14 hospital.  
 15 Q. We've talked a lot about your B reader  
 16 certification and NIOSH. And I want to explore  
 17 that again for just a couple minutes.  
 18 There's a set of guidelines that you  
 19 have to follow as a NIOSH certified B reader in  
 20 order to fill out these forms and, indeed, to  
 21 pass the exam; isn't that right?  
 22 A. There's a test you have to follow.  
 23 Sorry. There's a test you have to pass in  
 24 which you use the scheme and criteria that's  
 25 established by NIOSH.

1 classification, the first sentence says there  
 2 are no features to be seen in a chest  
 3 radiograph which are pathognomonic of dust  
 4 exposure.  
 5 And what I want to know is what does  
 6 pathognomonic mean?  
 7 A. Equivalent to would be to me a pretty  
 8 good correlate. Pathognomonic being  
 9 equivalent.  
 10 Q. And as I understand that sentence, it  
 11 is saying that whatever you see on a chest  
 12 x-ray is not pathognomonic, diagnostic of, or  
 13 equivalent with, as you just said, dust  
 14 exposure?  
 15 A. That's not true. I know it's in there  
 16 but it's not true, because --  
 17 Q. Well, whether it's true --  
 18 A. Let me finish. Excuse me and let me  
 19 finish.  
 20 Q. Excuse me. I didn't mean to  
 21 interrupt.  
 22 A. Because the very fact that that was  
 23 written in 1980 is also the Achilles heel of  
 24 the document, because even the document --  
 25 that's why the standards are being changed.

1 Q. NIOSH establishes that scheme by  
 2 promulgating a set of guidelines that you use  
 3 to interpret chest films in addition to a set  
 4 of standard chest films that you use to compare  
 5 x-rays, right?  
 6 A. Absolutely, right.  
 7 Q. I take it given how you've, it appears  
 8 to me, taken pride at how well you do on these  
 9 exams -- follow the guidelines that the ILO  
 10 promulgates fairly strictly?  
 11 A. I believe so.  
 12 Q. And are the guidelines that you follow  
 13 the 1980 guidelines that you were promulgated?  
 14 A. Yes. And my most recent  
 15 recertification was in accordance with the 1980  
 16 guidelines because that's the only test that  
 17 was offered.  
 18 Q. I've got a copy of the 1980 guidelines  
 19 here and I'm going to mark it as -- not yet  
 20 because it's kind of thick.  
 21 Let me just ask you a couple questions  
 22 about these. On Page 3 of the guidelines --  
 23 and I'm happy to share them with you if you  
 24 need to take a look at them -- under a section  
 25 called general instructions for the use of

1 And there are new standards that are coming  
 2 out.  
 3 When someone has calcified pleural  
 4 plaque that is, for example, pathognomonic of  
 5 previous occupational exposure to asbestos  
 6 dust.  
 7 Now, that's not to say that every case  
 8 of calcified pleural plaque is due to asbestos.  
 9 But in the context of interstitial fibrosis and  
 10 in the absence of, for example,  
 11 hyperparathyroidism, renal osteodystrophy and  
 12 other two or three rare causes of calcified  
 13 plaque that only produce certain things but in  
 14 the constellation of features of asbestos with  
 15 calcified plaque, that is pathognomonic.  
 16 And that's one of the things that are  
 17 being changed in the new guidelines.  
 18 Q. Whether they are being changed or not,  
 19 just in terms of the guidelines that are  
 20 applicable right now that you just said you  
 21 used, the first sentence of this just does say  
 22 there are no features to be seen in chest  
 23 radiograph which are pathognomonic to dust  
 24 exposure.  
 25 Whether or not you agree with it or

1 not --  
 2 A. No. I am being intellectually  
 3 absolutely correct.  
 4 Now, having said that, I agree and use  
 5 those guidelines, which is precisely why -- did  
 6 you see the word "asbestosis" written anywhere  
 7 on the report that you entered in as evidence?  
 8 No, because I don't make the diagnosis  
 9 because by convention that's exactly what the  
 10 guidelines indicate, which is precisely why I  
 11 use a narrative clinical report which is in the  
 12 guidelines of the American Board of Radiology  
 13 and American College of Radiology, because it's  
 14 a diagnostic clinical report allowing me to  
 15 make a diagnosis.  
 16 But I don't make that diagnosis here.  
 17 When you ask me or someone else -- your  
 18 predecessor asked me what does this report  
 19 mean, it means asbestosis.  
 20 But I don't write it in precisely  
 21 because of the guidelines.  
 22 MR. BURNS: Object to the  
 23 non-responsive portions of the answer.  
 24 BY MR. BURNS:  
 25 Q. The little boxes down there on what's

1 A. True. And I didn't report it in the  
 2 narrative report either.  
 3 Q. There's another statement in the ILO  
 4 guidelines I want to ask you about. It appears  
 5 on Page 20 under the larger section called  
 6 using the classification in the subsection  
 7 that's called number of readers.  
 8 And it says it is strongly recommended  
 9 -- this is the second sentence and I'm going to  
 10 read the whole thing -- it is strongly  
 11 recommended that at least two and preferably  
 12 three independent readings are made for each  
 13 radiographs.  
 14 And as it explains earlier, it's  
 15 because of intra-reader variability.  
 16 Did you have anybody else read Mr.  
 17 [REDACTED]'s chest x-ray as recommended by the  
 18 ILO guidelines?  
 19 A. As a practical matter, it's not done  
 20 by anybody.  
 21 Q. Irrespective of whether it's a  
 22 practical matter --  
 23 A. Not irrespective. As a practical  
 24 matter it's not done by anybody.  
 25 DEFENSE COUNSEL: Objection,

1 going to be Page 2 of Exhibit 1, under 4B,  
 2 those boxes are boxes as a B reader you have to  
 3 check if you observe any of those conditions on  
 4 the chest x-ray? Those are obligatory things  
 5 that you have to check off. Isn't that right?  
 6 A. Sure. Such as bullae, coalescence,  
 7 fractures, pleural effusions, cardiac  
 8 disturbances -- whatever --  
 9 Q. Right.  
 10 A. There's like 20 of them if I remember.  
 11 Q. And these guidelines say, on Page 9,  
 12 that it's obligatory that you check one of  
 13 those boxes off if you see one of those  
 14 conditions on the radiograph, right?  
 15 A. Yes.  
 16 Q. And that's something that you follow  
 17 and practice in accordance with these  
 18 guidelines?  
 19 A. If I observed it, I would have done  
 20 it.  
 21 Q. Right. And my point here is that  
 22 because we don't see any lines through, for  
 23 example, any of the emphysema or the bullae or  
 24 the cancer or any of the other ones, you didn't  
 25 see any of those features on this chest x-ray?

1 non-responsive.  
 2 THE WITNESS: And not done by me.  
 3 BY MR. BURNS:  
 4 Q. You didn't do it in this case?  
 5 A. No, that's true.  
 6 Q. And you acknowledge that it says it  
 7 right here in the ILO guidelines on Page 19?  
 8 A. Yes.  
 9 Q. Okay. In Mr. [REDACTED]'s case, do you  
 10 know how old of a gentleman he was when he had  
 11 this chest x-ray taken?  
 12 A. It's not reflected on my report and it  
 13 might be reflected on the x-ray. But it was  
 14 reflected on the spreadsheet that was sent to  
 15 me with the demographic information but I can't  
 16 cite it now.  
 17 Q. Do you know what he did for a living?  
 18 A. No. As I indicated to you, I remain  
 19 purposefully objective so that I don't know his  
 20 previous antecedent exposures or clinical  
 21 histories that could jeopardize my ability to  
 22 be objective in my report.  
 23 Q. So just simply stated, you don't know  
 24 what he did for a living, correct?  
 25 A. True.

1 Q. And you don't know where he would have  
 2 done it for a living, what location or even  
 3 what state?  
 4 A. True.  
 5 Q. You don't know how long he did  
 6 whatever occupation he did for most of his  
 7 life? If he did one, you don't know how long  
 8 he did that?  
 9 A. All of which is totally consistent  
 10 with the most objective analysis of the x-rays.  
 11 And it's purposeful.  
 12 Q. That's fine. And you don't know  
 13 whether he had one or two careers over the  
 14 course of his life?  
 15 A. True. I'm not reading his careers.  
 16 I'm reading the x-ray.  
 17 Q. You don't know anything about his  
 18 prior medical history?  
 19 A. That's not true. I often do know  
 20 something because it's on the films. In his  
 21 particular case, there was nothing on the films  
 22 that gave me any credence as to what was his  
 23 previous medical history.  
 24 But sometimes I do, depending upon  
 25 what the film shows.

1 Q. Understood. You didn't review any of  
 2 Mr. [REDACTED]'s medical records?  
 3 A. True.  
 4 Q. You didn't speak with any of his  
 5 physicians?  
 6 A. Absolutely true.  
 7 Q. Other than what's recorded on this  
 8 radiograph, you don't know anything additional  
 9 about his prior medical or even current medical  
 10 condition?  
 11 A. No. I was not a treating physician.  
 12 Q. And that was my next question. You're  
 13 not his treating physician?  
 14 A. True. That's the whole purpose of my  
 15 doing this is to be objective and in the  
 16 absence of being a treating physician render a  
 17 report for the presence or absence of  
 18 occupational dust disease.  
 19 Q. Do you claim to have a doctor/patient  
 20 relationship with Mr. [REDACTED]?  
 21 A. No.  
 22 Q. Do you know how Mr. [REDACTED]'s  
 23 individual chest x-ray got to you either from a  
 24 union screening, some other screening, or any  
 25 other means?

1 A. Well, it was either mailed to me  
 2 through the -- the only way I get it is one of  
 3 four ways -- either through the regular mail,  
 4 UPS, Fed-Ex, or Airborne.  
 5 Q. And in addition to not knowing  
 6 anything about his work or medical history, you  
 7 don't know a lick about his exposure to  
 8 asbestos or under what conditions he would have  
 9 been exposed?  
 10 A. True.  
 11 Q. Now, Mr. [REDACTED]'s -- on the B  
 12 reading form, Page 2 of Exhibit 1, you've  
 13 indicated in Box 1B the film quality of 1.  
 14 What does film quality 1 mean?  
 15 A. Good.  
 16 Q. All right. What does film quality 2  
 17 mean?  
 18 A. Acceptable.  
 19 Q. Is film quality 2 -- well, let me ask  
 20 it another way.  
 21 Could you have a film quality of 1 and  
 22 it be a copy?  
 23 A. Film quality of 1 today can be a copy,  
 24 because what happens is in many centers you're  
 25 being sent a reissued digital film. And

1 there's a real controversy as to whether or not  
 2 those are considered originals or copies.  
 3 So you can. But that's because in  
 4 1980 when they set the standards they didn't  
 5 have digital imaging.  
 6 That's also being readdressed. That's  
 7 why we have new standards. Technology has  
 8 surpassed the standards that are there.  
 9 Q. All right.  
 10 A. Now, you could have copies that are  
 11 bad. Then you don't read them.  
 12 Q. Do you know whether or not the film  
 13 you read in this instance was a copy or an  
 14 original?  
 15 A. I could look right now and tell you.  
 16 Q. If you would, I would appreciate that.  
 17 A. (Witness complies with request.)  
 18 Excellent quality original.  
 19 Q. And that little box that happens to  
 20 appear up on my right hand corner and your left  
 21 hand corner, what does that indicate on the  
 22 film?  
 23 A. That the film was taken with a date,  
 24 his name, his sex, and by a company called  
 25 Health Screen.



1 Q. Are you familiar with the company  
 2 called Health Screen?  
 3 A. I've never met them. But they are a  
 4 very capable, good imaging company that sends  
 5 work to me.  
 6 Q. Do you have a contractual relationship  
 7 with them?  
 8 A. I don't have a contractual  
 9 relationship with anybody.  
 10 Well, that's not true. Let me change  
 11 that.  
 12 I have a contractual relationship here  
 13 at the hospital with my hospital  
 14 administration. I have no contractual  
 15 relationship with any imaging company. And I  
 16 have no contractual relationship with any  
 17 referrer that sends work to me.  
 18 Q. Do you have any informal understanding  
 19 with Health Screen about under what  
 20 circumstances they send you films?  
 21 A. Yes, absolutely.  
 22 Q. And what is that?  
 23 A. That the films come alphabetical, if  
 24 they do films by date, that a master list be  
 25 set up, and that I have the demographic

1 thousands of films. But right now, it's -- and  
 2 I say --  
 3 Q. Who are the other companies that are  
 4 sending you the thousands of films?  
 5 A. Now, nobody. But I already mentioned  
 6 that. Not to be redundant, but I gave somebody  
 7 else the names of those companies.  
 8 Q. I understood and when you were going  
 9 through it it didn't register to me or I wasn't  
 10 clear on which ones, if any, were the ones that  
 11 predominantly sent you --  
 12 A. Well, now nobody predominantly because  
 13 there's no --  
 14 Q. Okay. Let me --  
 15 When you were receiving -- taking out  
 16 Health Screen's 500 per year, when you were  
 17 receiving more in years past -- the past two  
 18 years, for example, or whatever is easiest for  
 19 you to articulate -- what company comes to your  
 20 mind as the one that sent you the most amount  
 21 of chest films in a one-year period?  
 22 A. Probably Most.  
 23 Q. And do you know how many films Most  
 24 would have sent you over the course of a year?  
 25 A. I have no way of tracking it.

1 information that I've requested, including what  
 2 I've told you before and that they separate  
 3 them, you know, like, Monday, Tuesday,  
 4 Wednesday, whatever.  
 5 How they should send them to me, what  
 6 address, and that they tell me where to send  
 7 the results back to.  
 8 Q. Do you know if they send films to be  
 9 read by anybody else other than you for the  
 10 purposes of B readings?  
 11 A. Do you mean do they use other B  
 12 readers besides me?  
 13 Q. Yes, sir.  
 14 A. I presume they do. They don't send me  
 15 that much. They could never survive just on  
 16 what they send me.  
 17 Q. How much do they send you?  
 18 A. Over the course of a year Health  
 19 Screen might send me 500 films.  
 20 Q. And that's a small number compared to  
 21 some of the other ones that you get on a yearly  
 22 basis?  
 23 A. There are a number of companies on a  
 24 yearly basis I could see a couple thousand  
 25 films. Maybe perhaps even on the biggest years

1 Q. We know it's over 500 by probably a  
 2 multiple since --  
 3 A. I would assume. I have no way of  
 4 knowing.  
 5 Q. Okay. I'm just basing it -- 500 is a  
 6 small amount to you. I would assume that it  
 7 has to be a multiple of 500.  
 8 A. I would assume.  
 9 Q. And do you know or can you tell me  
 10 what behind Most who would have sent you the  
 11 next?  
 12 A. Well, as I mentioned, N and M sent me  
 13 work. And then this fellow Lloyd Chriss sent  
 14 me work and Health Screen has sent me work.  
 15 Q. Do you know whether or not Most is in  
 16 the business of screening for litigation  
 17 purposes people for pneumoconiosis?  
 18 A. The vast majority of what they do is  
 19 industrial work, not for litigation. They go  
 20 and they offer occupational services to  
 21 industries all across the country.  
 22 Q. Do you have a contractual relationship  
 23 with them in any way? Or have you had one in  
 24 the past?  
 25 A. No.

1 Q. And would they have ever had  
 2 permission by you to hold you out as being  
 3 their radiologist, for example?  
 4 A. No, because they use other  
 5 radiologists too.  
 6 Q. All right. If they had done that in  
 7 the past, would you consider that to be a  
 8 misrepresentation of your relationship?  
 9 A. One, I wouldn't have known it. Two, I  
 10 would have loved it because normally they would  
 11 have sent me more work. It doesn't work that  
 12 way.  
 13 Q. All right. When you were receiving  
 14 chest films from Most, do you operate under the  
 15 assumption that they are being sent to you for  
 16 medical legal purposes?  
 17 A. I can't because an awful lot of the  
 18 work now -- in fact, the vast majority of the  
 19 work is not for medical legal work. I mean, 99  
 20 percent of it is not medical legal.  
 21 Q. When you said earlier at the end of  
 22 last year there was a flood of work coming in  
 23 from Texas and Mississippi --  
 24 A. That was my sense.  
 25 Q. -- who was sending that to you?

1 A. There were some individual files that  
 2 came in. Cancer and mesothelioma cases I  
 3 believe. There were screens that came in.  
 4 And I can't -- that's six months ago.  
 5 I couldn't begin to tell you the names of the  
 6 law firms or the imaging companies or the  
 7 health and welfare groups.  
 8 Q. There was just so much of it come in  
 9 that you can't --  
 10 A. No. See, it's important to you. It's  
 11 irrelevant to me. You know, it's like asking  
 12 me which doctor sends me work here. I know all  
 13 the doctors that are on the staff. I don't  
 14 know who sends me what. It's irrelevant.  
 15 Q. Back to Mr. [REDACTED]'s report here  
 16 for a minute. I apologize for that digression.  
 17 You said earlier that you selected the  
 18 1/0 profusion rating in there. My question is  
 19 according to the ILO guidelines what that means  
 20 is you considered it to be an abnormal film but  
 21 you seriously considered it to be normal?  
 22 A. Yes. It's a mild amount of disease  
 23 where I considered both normal and abnormal and  
 24 came down that it was abnormal.  
 25 Q. Let me focus my question a little bit.

1 ILO guidelines say that that's a serious  
 2 consideration, not just a passing  
 3 consideration; that the zero was a potential  
 4 profusion rating in this case.  
 5 A. I can't tell you exactly what my  
 6 consideration was at the time. I can only tell  
 7 you my conclusion because it was read back in  
 8 over two years ago.  
 9 Q. I am just -- and, again, I can flip to  
 10 it here in the ILO guidelines. I'm only going  
 11 by what I read. I'm not a radiologist so I'm  
 12 just going by what I read here in the  
 13 guidelines.  
 14 And on Page 5 under parenchymal  
 15 abnormalities, when it's describing the  
 16 different profusion levels, it says, for  
 17 example, thus category 0/1 is profusion  
 18 category of zero but category 1 was, quote,  
 19 seriously, unquote, considered and that  
 20 nomenclature is used throughout the readings.  
 21 And my question to you is under the  
 22 ILO guidelines, the 0 had to be a serious  
 23 consideration under your interpretation?  
 24 A. If I didn't consider it, I wouldn't  
 25 have considered it a 1/0. I would have

1 considered it a 1/1.  
 2 Q. Needless to say, you followed the ILO  
 3 guidelines and filled out the form according to  
 4 the dictates in the guidelines when creating  
 5 this report?  
 6 A. Absolutely not. You don't do that,  
 7 because the first thing it tells you in there  
 8 is you must compare to the standard films. And  
 9 in the standard films this was most close to a  
 10 1/0.  
 11 That's the overriding feature. And  
 12 this is worthless and trash. Every definition  
 13 in here is surpassed by the NIOSH films.  
 14 So go on and find that paragraph and  
 15 put that into the record. This is superseded  
 16 100 percent by the NIOSH films. Not partially,  
 17 but 100 percent by the standard films. The  
 18 standard films dictate what the level of  
 19 profusion is.  
 20 Now, if you are to try to define what  
 21 1/0 is, 1/0 typically is a situation where you  
 22 came down on the side of it being abnormal but  
 23 you considered it also seriously as a normal.  
 24 However, it's irrelevant. Which  
 25 standard did the film most closely match? And

1 in this case it matched the 1/0. In the case  
 2 of Mr. [REDACTED] it matched a 1/2.  
 3 MR. BURNS: Object to the  
 4 non-responsive portion.  
 5 BY MR. BURNS:  
 6 Q. These ILO guidelines are drafted and  
 7 they are accompanied by a standard set of  
 8 x-rays?  
 9 A. True.  
 10 Q. And you are to use them in conjunction  
 11 with one another?  
 12 A. The standard films supersede anything  
 13 else.  
 14 Q. But the guidelines instruct you how to  
 15 fill out the form -- for example, making it  
 16 obligatory for you to check off boxes in 4B if  
 17 you see those features, correct?  
 18 A. They give you procedure. They don't  
 19 give you interpretation. They give you  
 20 procedure.  
 21 Q. But procedures that are set forth in  
 22 these guidelines that you --  
 23 A. Procedure.  
 24 Q. -- as a B reader must follow when  
 25 filling out this form?

1 because they're changing the whole way they  
 2 evaluate the pleura.  
 3 Q. All right. So just for clarity sake,  
 4 you don't know sitting here today whether or  
 5 not they've actually issued revised ILO  
 6 guidelines?  
 7 A. True. But regardless, operating under  
 8 those guidelines, the standard films, that's  
 9 the law. That's the gold standard are the  
 10 films.  
 11 Q. And if the ILO guidelines had been  
 12 revised and are available, that's just  
 13 something you haven't read or come in contact  
 14 with yet?  
 15 A. Nor am I necessarily supposed to read  
 16 by them because we haven't gotten direction  
 17 from NIOSH. Since I haven't passed an exam  
 18 based on the new guidelines, what do I do as a  
 19 physician accredited by the old guidelines if  
 20 new guidelines come out.  
 21 Where do I have -- excuse me please.  
 22 Where do I have the credibility to  
 23 read on the basis of the new guidelines if I am  
 24 only accredited by the old guidelines.  
 25 Q. Understood.

1 A. Yes.  
 2 Q. And part of that procedure says when  
 3 you are comparing the x-ray with the 1/0 film  
 4 and the 0/1 film that accompanies the  
 5 guidelines, that when you select 1/0 you  
 6 considered it to be more closely related to the  
 7 1 film but you seriously considered it to be  
 8 closely related to the zero film?  
 9 A. And I supersedes. Not the definition.  
 10 The film. The standard film.  
 11 Q. Okay. Would the answer to that  
 12 question be correct?  
 13 A. The 1/0 film. The real question you  
 14 have to ask is in the 1/0 film what is the  
 15 consideration. And the consideration in the  
 16 1/0 standard film is that it's abnormal but you  
 17 did consider that it could be normal.  
 18 Q. You've mentioned a couple times that  
 19 these guidelines are being rewritten. Do you  
 20 know whether or not that's taken place?  
 21 A. No, because as of three months ago  
 22 when I called and checked, I wasn't -- I  
 23 couldn't get an answer from them because the  
 24 issue was going to be at what point they were  
 25 going to re-examine on the new guidelines

1 A. And I'm not due to be re-accredited,  
 2 as I said to you, until 2006.  
 3 Q. So the bottom line is the old  
 4 guidelines govern everything that you're saying  
 5 here today?  
 6 A. And the old guidelines absolutely  
 7 indicated that 100 percent the films are the  
 8 standard.  
 9 MR. BURNS: Object to the  
 10 non-responsive portion.  
 11 BY MR. BURNS:  
 12 Q. So even if these guidelines had been  
 13 revised, even if they are sitting out there  
 14 available for public view and comment, this is  
 15 the gold standard for today, the 1980 ILO  
 16 guidelines?  
 17 A. They are certainly what I was  
 18 certified on. And also what's relevant is that  
 19 was the only thing that was available when  
 20 these films were read.  
 21 And that's really the standard by  
 22 which we have -- that's what was used at that  
 23 time and that's when these films were  
 24 interpreted.  
 25 Q. Do you know whether Health Screen,

1 Incorporated followed the prescribed procedures  
2 mandated by the Texas Department of Health when  
3 it conducted the screening of Mr. [REDACTED]?

4 A. I've already answered that with  
5 respect to all the imaging companies. I don't  
6 get involved.

7 Q. If Health Screen -- I'm not suggesting  
8 that they haven't; but if they hadn't, for  
9 example, complied with the Texas Department of  
10 Health regulations for setting up their  
11 screening, would that influence your judgment  
12 of the chest x-ray in your opinion in this case  
13 at all?

14 A. It's impossible for me to answer that.  
15 First you asked me whether or not I knew and I  
16 said I didn't. And now you ask me if they  
17 didn't, would that affect me.

18 First of all, I read the films.  
19 Second of all, I don't have any idea whether  
20 they did or didn't.

21 But I will tell you this: That film  
22 is a technically excellent film. And by  
23 reputation Health Screen does a superb job and  
24 they have a wonderful reputation across the  
25 country.

1 physicians or anybody else involved should take  
2 care of the patient.

3 That's not what I would do in any case  
4 because I'm a radiologist. I don't treat the  
5 patient.

6 Q. You mentioned earlier there's a tort  
7 reform crisis here in Pennsylvania. Could you  
8 explain that a little bit?

9 A. Unfortunately, the malpractice  
10 carriers for malpractice insurance have been  
11 leaving the state because of controls over the  
12 amount that they can charge.

13 And that versus the risks that they  
14 feel that they face in the large metropolitan  
15 areas such as Pittsburgh and Philadelphia, they  
16 have -- as soon as their contracts run out have  
17 all left and have left many of the subspecialty  
18 areas such as neurosurgery, radiology,  
19 orthopedics, and obstetrics and gynecology  
20 without any source of malpractice coverage.

21 And, therefore, the joint underwriters  
22 which is basically a state agency is the only  
23 available insurance source for this state.

24 We have a similar crisis now occurring  
25 in New Jersey and also in New York. I think

1 Having said all that, I can only tell  
2 you that I interpret the x-ray and am involved  
3 after the fact.

4 Q. Do you know whether or not Mr.  
5 [REDACTED] is asymptomatic in terms of  
6 respiratory impairment or not?

7 A. Again, that was asked and answered  
8 previously. I don't know because I'm not his  
9 treating physician.

10 Q. And in your diagnosis of asbestosis,  
11 does it make any difference to you whether or  
12 not he's impaired?

13 A. My diagnosis is not a clinical  
14 diagnosis. It's a radiologic diagnosis.  
15 Therefore, it's irrelevant.

16 Now, that doesn't mean it's not  
17 important to this patient. It's critically  
18 important from the patient's point of view.

19 But what's critically important for  
20 the radiologist is that they give an objective,  
21 logical, rational analysis of the films. And  
22 taking that information into account can  
23 jeopardize that process.

24 Once that process results in a  
25 diagnosis, then, of course, the treating

1 it's not dissimilar to the crisis you have in  
2 Texas.

3 Q. Do you attribute that to in any part  
4 large jury verdicts in medical malpractice  
5 cases?

6 A. That is a question that is debated now  
7 for about a year in the legislature in which  
8 the insurance companies are taking the position  
9 that it's jury verdicts.

10 The plaintiff and defense attorneys  
11 each have their own set of criteria as to what  
12 the cause is.

13 I wouldn't venture a guess as to what  
14 the cause is. I'm not sure what the cause is.  
15 I don't know. It's a very complicated issue  
16 that they haven't been able to settle.

17 Q. Why does your report on Page 1 of  
18 Exhibit 1 say practice limited to radiology at  
19 the top?

20 A. Because that's what I am. I'm a  
21 radiologist. That's my specialty. I'm board  
22 certified in radiology and occupational dust  
23 diseases as a radiologist.

24 Q. Just so we are crystal clear, I think  
25 you testified earlier that all you basically

Page 142

1 need is to look at these chest films and you  
 2 can diagnose asbestosis?  
 3 A. If I tried to diagnose it on anything  
 4 else, I couldn't practice radiology. That  
 5 would be malpractice on the part of what I do  
 6 in my discipline.  
 7 My discipline and responsibility is to  
 8 make the diagnosis or not make the diagnosis  
 9 based on the x-rays. Or any other modality  
 10 that I'm dealing with.  
 11 For example, if I'm dealing in a  
 12 certain oncologic issue with positron emission  
 13 tomography or ultrasound or magnetic resonance  
 14 imaging. In this particular case, radiology is  
 15 the modality.  
 16 Q. If you observe a carcinoma on a chest  
 17 x-ray with nothing else other than the chest  
 18 x-ray itself, can you diagnose what the cause  
 19 of that carcinoma was?  
 20 A. When I observe a carcinoma on the  
 21 chest x-ray --  
 22 Q. Correct.  
 23 A. I can't tell you whether the patient  
 24 was exposed to radium. I can't tell you if the  
 25 patient -- and has no other changes at all.

Page 143

1 I can't tell you if the patient was a  
 2 smoker or not a smoker. I can't tell you if --  
 3 and also it would depend on whether or not it  
 4 was a large cell/small cell carcinoma.  
 5 There are many other variables that I  
 6 would need to go into before I would attempt to  
 7 do an attribution as to causation.  
 8 Q. Is that principally because what the  
 9 chest x-ray is showing you in terms of  
 10 carcinoma is merely the picture of something  
 11 that appears to be a mass that's not a normal  
 12 feature of the chest?  
 13 A. If that's all there is. But if I saw  
 14 pleural thickening or plaque or calcified  
 15 plaque or basular interstitial fibrosis like  
 16 this in a carcinoma, I would call it an  
 17 asbestos-related carcinoma. And I see those  
 18 all the time.  
 19 Q. Okay. Would you do that without  
 20 knowing a lick about the plaintiff's smoking  
 21 history?  
 22 A. Yes. Because I would call it a  
 23 asbestos-related carcinoma. If, in fact, there  
 24 was an additional substantial contributing  
 25 factor and that the patient smoked, then there

Page 144

1 is a multiplicative risk associated with the  
 2 fact that the asbestos is a carcinogen that we  
 3 all recognize as a carcinogen, proven to be a  
 4 carcinogen by the Environmental Protection  
 5 Agency; and that the asbestos is -- risk factor  
 6 for cancer is multiplied by the risk associated  
 7 with the cigarette smoking which is also  
 8 carcinogen.  
 9 Q. Are you aware of any medical or  
 10 scientific articles available in the published  
 11 literature that suggests that smoking can cause  
 12 changes on an x-ray that mimic a 1/0 or a 1/1  
 13 profusion rating?  
 14 A. Weiss's offered article and they've  
 15 been totally discredited by Kilburn among many  
 16 other authors.  
 17 Q. And I just --  
 18 A. They are not relevant in these  
 19 particular cases either in the -- let me finish  
 20 -- [REDACTED] or [REDACTED] since there are no  
 21 other secondary changes of cigarette smoking.  
 22 Specifically, there's no evidence of  
 23 emphysema, bullae, or any other lung -- or any  
 24 hyper-eration (phonetic).  
 25 Q. I think my question is a little bit

Page 145

1 more narrow than that, Doctor. I am just  
 2 wondering if you're aware that -- whether  
 3 there's a debate or not is not the question.  
 4 A. There's no debate in my mind.  
 5 Q. All right. There are medical and  
 6 scientific articles available to the public in  
 7 the scientific literature that say -- whether  
 8 you agree with them or not -- that smoking can  
 9 cause changes that look like or mimic a 1/0 and  
 10 a 1/1 profusion rate.  
 11 A. There has been publications. As I  
 12 say, those publications are totally  
 13 discredited, especially in this particular case  
 14 where there's no secondary changes associated  
 15 with smoking at all.  
 16 MR. BURNS: Object to the  
 17 non-responsive portion.  
 18 BY MR. BURNS:  
 19 Q. How much exposure to asbestos do you  
 20 think somebody needs to have before they are at  
 21 risk to develop an asbestos-related disease?  
 22 Let me withdraw that question.  
 23 How much exposure to asbestos does a  
 24 person need to have before you can diagnose  
 25 them as having asbestosis?

1 A. I can't answer that. What I can do is  
 2 tell you the obverse -- with a patient like Mr.  
 3 [REDACTED] you're dealing with interstitial  
 4 fibrosis so he has a minimum of 3 million  
 5 asbestosis fibers per gram of lung tissue.  
 6 Upwards of 10 million fibers per gram of lung  
 7 tissue.  
 8 And that's true of Mr. Roy [REDACTED]  
 9 So you're talking about an average lung of 150  
 10 to 200 grams times 3 to 10 million. So you're  
 11 talking about anywheres from 200 to maybe 400  
 12 million fibers in his lung  
 13 That's his asbestos dust burden. And  
 14 this is all classic information out of the  
 15 literature from Whitwell in the Journal of  
 16 Thorax of 1977 substantiated multiple follow up  
 17 articles.  
 18 That is literary documentation of the  
 19 underlying asbestos dust burden associated with  
 20 interstitial fibrosis.  
 21 Can I tell how much exposure he's had  
 22 to get that interstitial fibrosis in the  
 23 ambient atmosphere? No, because of obvious  
 24 variables.  
 25 How concentrated was the exposure?

1 Over how long a period of time? What was his  
 2 idiosyncratic reaction to that exposure?  
 3 All the variables we talked about  
 4 before are still relevant.  
 5 DEFENSE COUNSEL: Objection to  
 6 the non-responsive portion of the answer.  
 7 BY MR. BURNS:  
 8 Q. Over what period of time does somebody  
 9 need to be exposed to asbestos for you to  
 10 attribute interstitial fibrotic changes on a  
 11 chest x-ray to asbestos exposure?  
 12 A. That's been asked and answered already  
 13 twice. I indicated to you that the latency  
 14 period for interstitial fibrosis averages about  
 15 15 years, 20 years. But as little as three  
 16 years, depending upon the exposure in the  
 17 ambient atmosphere and the idiosyncratic  
 18 reaction of that person to that exposure.  
 19 Q. I didn't mean to ask you a confusing  
 20 question.  
 21 A. I wasn't confused.  
 22 Q. I wasn't asking you about latency. I  
 23 was asking --  
 24 A. No, no. I'm not talking about  
 25 latency. You asked me how long does somebody

1 have to be exposed.  
 2 Q. Your answer contained the word  
 3 latency. And so --  
 4 A. For somebody --  
 5 Q. Let me just re-ask --  
 6 A. No, no. Let me finish.  
 7 When you're talking about interstitial  
 8 fibrosis, for me to see that interstitial  
 9 fibrosis, for me as a radiologist -- not for  
 10 someone to make a clinical diagnosis. For me  
 11 to see that interstitial fibrosis, for me to  
 12 diagnose it as I did in this patient because he  
 13 has interstitial fibrosis, radiologic  
 14 interstitial fibrosis may take 15 years, 20  
 15 years.  
 16 Q. If somebody claimed exposure to  
 17 asbestos for a year in an occupation that  
 18 didn't involve hands-on work with  
 19 asbestos-containing products, would that be  
 20 sufficient for you to diagnose that person with  
 21 an asbestos-related condition if they had  
 22 interstitial fibrosis on a chest x-ray?  
 23 A. The typical lower level of exposure  
 24 that is associated with interstitial fibrosis  
 25 but undocumented in the literature is about

1 three years. Again, depending upon  
 2 concentration and idiosyncratic reaction.  
 3 Q. And what do you consider to be the  
 4 minimum latency period for somebody between  
 5 exposure and onset of disease for asbestosis?  
 6 A. It's not my consideration. It's the  
 7 literary would be three years.  
 8 Q. So you can be exposed for three years,  
 9 have three years of latency. And that would be  
 10 sufficient in your mind to diagnose asbestosis  
 11 if you saw interstitial fibrosis --  
 12 A. No, what I'm saying is that someone  
 13 exposed for three years developing interstitial  
 14 fibrosis would be consistent with that  
 15 exposure.  
 16 That's different from what you said.  
 17 Q. What was your annual income last year?  
 18 A. God, I have no idea. I have no idea.  
 19 Q. Did you file an extension on your tax  
 20 return or just not pay attention to it when you  
 21 filed it on April 15?  
 22 A. No. But I file so many different  
 23 taxes because of the state. But I have no  
 24 idea.  
 25 Q. Ballpark?

1 A. I'm also not sure that it's any of  
 2 your business. But I have no idea.  
 3 Q. You don't have a ballpark figure?  
 4 A. I don't want to be inaccurate because  
 5 there's really -- gross? Collections? My  
 6 expenses to run the corporation? Just from  
 7 malpractice for the group and everybody? I  
 8 wouldn't even want to venture a guess.  
 9 Q. Do you think it's more or less than  
 10 half a million dollars?  
 11 A. I have no idea. I wouldn't even want  
 12 to venture a guess.  
 13 Q. And so if I was to ask you questions  
 14 about what percentage of your annual income you  
 15 attribute to medical legal firm reading, would  
 16 you be able to answer that question?  
 17 A. That's been asked and answered. I  
 18 have no idea. I just don't keep those type of  
 19 records.  
 20 Q. Are you familiar with the resolution  
 21 recently passed by the American Bar Association  
 22 regarding the filing of non-malignant asbestos  
 23 cases?  
 24 A. I have no idea what you're talking  
 25 about.

1 EXHIBIT:  
 2 (Whereupon, LEVINE 4 was marked  
 3 for identification by counsel.)  
 4 BY MR. BURNS:  
 5 Q. Let me represent to you that this is a  
 6 letter that Most Health Services sends to  
 7 lawyers to have lawyers avail themselves of  
 8 Most Services.  
 9 And I am wondering if you've ever seen  
 10 that document or if you've ever seen a document  
 11 like this before?  
 12 A. Do you want me to look at it while --  
 13 Q. No. The pending question is have you  
 14 ever seen a document like this from Most or  
 15 this particular document.  
 16 A. No. I think it's a great business  
 17 model. No, I haven't. You will also note that  
 18 it's seven years old.  
 19 Q. Correct. In 1996, Most Health  
 20 Services was representing that they've tested  
 21 over 175,000 union members for asbestos-related  
 22 disease. Do you have any reason to disagree  
 23 with that?  
 24 A. I have no idea. I think you should  
 25 also recognize that on the list of the law

1 Q. Have you had the opportunity to review  
 2 the medical criteria contained in the  
 3 resolution recently put out by the American Bar  
 4 Association about what it considers to be the  
 5 minimums for diagnosing asbestosis?  
 6 MS. BOONE: Objection, form.  
 7 THE WITNESS: Forgive me, but I'm  
 8 a radiologist, not a lawyer. That's for you to  
 9 read.  
 10 BY MR. BURNS:  
 11 Q. Are you familiar with any rulings that  
 12 Judge Winger right here in Philadelphia has  
 13 made within the past year about the status of  
 14 unimpaired, non-malignant asbestos cases in the  
 15 multi-district litigation?  
 16 A. I am a radiologist. I don't get  
 17 involved with that.  
 18 I know this is very important to you  
 19 and I don't mean to belittle what you do. But  
 20 I got to tell you something: It is absolutely  
 21 irrelevant. The only thing that matters is my  
 22 accurate interpretation of these x-rays.  
 23 Q. I want to hand you what I'm going to  
 24 mark as Exhibit No. 4.  
 25 -----

1 firms, many of these I have nothing to do with  
 2 and never even heard of.  
 3 Q. I will ask you about that in a second.  
 4 In the fourth paragraph of this document, it  
 5 says, quote, our radiologist Richard Levine  
 6 M.D. is a NIOSH B reader at the Medical College  
 7 of Pennsylvania.  
 8 Did you have any knowledge in 1996  
 9 that Most Health Services was calling you their  
 10 radiologist?  
 11 A. Nope.  
 12 Q. Out of the 175,000 union members they  
 13 tested for asbestos-related disease up to that  
 14 point, do you have any estimate in 1996 how  
 15 many of those you might have reviewed?  
 16 A. No idea.  
 17 Q. Would it be in the thousands?  
 18 A. I have no idea. You're taking me back  
 19 seven years.  
 20 Q. And it describes a process in here  
 21 where they bring mobile x-ray equipment to the  
 22 union hall so that people can be screened for  
 23 asbestos-related diseases at the union hall.  
 24 As a physician, do you have any  
 25 reservations about mobile x-ray equipment being

Page 154

1 trucked around for purposes of screening of  
 2 this nature?  
 3 A. Most does absolutely superb x-rays.  
 4 As good as in the hospital. Their equipment is  
 5 fabulous. I have no problem about doing a  
 6 screening.  
 7 We do it from the medical school. We  
 8 go out and we screen locally in Philadelphia to  
 9 bring that type of health service into our  
 10 community from MCP and Hahnemann.  
 11 We do it with mammography. I do  
 12 mammography on -- you know, they talk about  
 13 scan in a van. We do that as part of our  
 14 commitment to the community.  
 15 That's not the issue. The thing that  
 16 concerns me is that somebody represented my  
 17 name without my knowing about it back in '96.  
 18 That's number one.  
 19 Number two, as I told you and  
 20 certainly made it perfectly clear, I did read  
 21 for Most. But to indicate from that that my  
 22 relationship was anything other than an  
 23 independent contractor for whom they sent work  
 24 for me.  
 25 Now, what that does do is absolutely

Page 155

1 confirm what I said: that I did do work for  
 2 them, number one. I am an independent  
 3 contractor for them and have been. And at the  
 4 time in '96 was charging them \$11 a case, \$10  
 5 and a dollar for the transcription.  
 6 But I wasn't the only B reader that  
 7 they had. Why they selected me probably is  
 8 because of my excellent reputation.  
 9 MR. BURNS: Object to  
 10 non-responsive portion.  
 11 BY MR. BURNS:  
 12 Q. What efforts do you use in going out  
 13 to the community to assemble people to come  
 14 into mobile screening equipment to look for any  
 15 diseases?  
 16 A. Oh, I don't personally get involved  
 17 with that. We have a whole group of people  
 18 that do that. I just do the, again, the  
 19 interpretations. We have a public relations  
 20 crew that do that. I presume very similar to  
 21 what he does.  
 22 Q. But --  
 23 A. With advertisements and the whole  
 24 thing.  
 25 Q. As a physician, does it give you -- do

Page 156

1 you have any reservation about people  
 2 soliciting asymptomatic folks to have chest  
 3 x-rays for the purpose of looking to determine  
 4 whether or not they have asbestos-related  
 5 disease independent of whether or not it causes  
 6 them any impairment or symptoms whatsoever?  
 7 A. Are you kidding? Do you have any idea  
 8 how many cancers I've picked up that people  
 9 have gone on to be treated?  
 10 Now, unfortunately, I picked up  
 11 mesotheliomas. They are totally lethal  
 12 diseases. The fact that I pick up an early  
 13 mesothelioma doesn't particularly do the  
 14 patient any good.  
 15 But picking up an early  
 16 asbestos-related carcinoma gives them a chance  
 17 for life. And if I can save one life, you're  
 18 damned right I'm happy they are out there doing  
 19 it.  
 20 And if I can pick up people with  
 21 interstitial disease and get them under medical  
 22 care, you're damned right.  
 23 One-third of the morbidity associated  
 24 with asbestosis is due to intercurrent  
 25 infection. If you can get these people under

Page 157

1 medical care, certainly. I think that's a  
 2 fabulous thing to do.  
 3 Again, your premise is the legal  
 4 aspects of that. That's irrelevant to me. I  
 5 am only interested in finding the disease and  
 6 detecting it and getting the patients under  
 7 care.  
 8 MR. BURNS: Object to everything  
 9 other than you're damned right I'm happy as  
 10 non-responsive.  
 11 BY MR. BURNS:  
 12 Q. After they leave the legal or other  
 13 societal effects one might have as a result of  
 14 going through a screening like this is of no  
 15 concern to you after you read the chest x-ray?  
 16 A. I don't follow what you just said at  
 17 all.  
 18 Q. Whether or not somebody going through  
 19 an x-ray truck like this maybe affects their  
 20 legal rights or their ability to seek  
 21 compensation in the future, as a physician a  
 22 process that would affect something like that  
 23 doesn't make any difference to you so long as  
 24 you are reading your chest x-rays and that's  
 25 all you're concerned with?



1 A. How can I take and weigh in any humane  
2 way bringing any humanity to this, taking some  
3 theoretical risk to their legal rights that  
4 you're concerned about when I'm only concerned  
5 about the health of the patient?

6 Frankly, I think that's a totally  
7 obnoxious point of view that you have.

8 Q. Okay. I take it --

9 A. This is not the place to debate that.  
10 But as a physician, to that extent that I could  
11 get patients to come and have screening  
12 mammograms and save lives, that's what I do.

13 To that extent that I could even save  
14 one life, you want to put a price on it? Do  
15 it. I can't. That's not what I get myself  
16 intellectually involved with.

17 I just want to help the people. The  
18 fact that I get paid for that process, that's  
19 what I do.

20 Q. So your view is that these -- for lack  
21 of a better descriptive term -- prophylactic  
22 screenings done for the purposes of generating  
23 litigation for the most part, you don't have  
24 any problem as a physician with this type of  
25 process because, in your words, it helps detect

1 Now, the very fact that there are  
2 lawyers involved, I have nothing to do with  
3 that.

4 And you know what? If it didn't  
5 happen, you wouldn't be here and you wouldn't  
6 have a job. But that's irrelevant. That's not  
7 why you're here. You're not here because you  
8 want to see this done.

9 You're here because you're doing  
10 what you have to do that you perceive as a job.  
11 As a health care professional, I have the  
12 opportunity to take care of patients and get  
13 more patients into care.

14 And the vast, vast majority of  
15 these patients also get the knowledge that they  
16 are negative.

17 MR. BURNS: Object to the  
18 non-responsive portions.

19 BY MR. BURNS:

20 Q. Do you ever do work or consult with a  
21 law firm called Shapiro and Shapiro?

22 A. Yes.

23 Q. I want to hand you what I'm going to  
24 mark as Exhibit No. 5.

25 -----

1 other diseases?

2 Is that what I hear you saying to me?

3 MS. BOONE: Objection, form.

4 THE WITNESS: Let me give you the  
5 long answer because the short answer isn't  
6 going to work.

7 The National Institute of  
8 Occupational Safety and Health has a tremendous  
9 investment in time and effort to train people  
10 to do this type of interpretation for the  
11 NIOSH.

12 The Environmental Protection  
13 Agency has made it perfectly clear as has the  
14 United States government about the fact that  
15 you're dealing with toxic agents that are  
16 carcinogens.

17 You have an antecedent precedent  
18 history of abuses where people have been  
19 exposed, whether they have been knowledgeable  
20 or not, to these types of agents.

21 And I have the opportunity of  
22 detecting that and getting them in care? Of  
23 course that's what I want to do. It's a pity  
24 that the hospitals in the whole health care  
25 profession isn't involved.

1 EXHIBIT:  
2 (Whereupon, LEVINE 5 was marked  
3 for identification by counsel.)

4 BY MR. BURNS:

5 Q. It's an advertisement entitled, "A  
6 Picture of your Lungs Could Be Worth Millions,"  
7 and it's a solicitation for an asbestos  
8 screening.

9 As a health professional, do you have  
10 any reservations about a law firm putting out  
11 an advertisement like that to encourage people  
12 to come get chest x-rays in a mobile x-ray  
13 screening perhaps?

14 MS. BOONE: Objection, form.

15 THE WITNESS: Who did you say  
16 this was from?

17 BY MR. BURNS:

18 Q. Shapiro and Shapiro.

19 A. Where does it say that in here?

20 Q. In the fine print.

21 A. Where? Your eyes are better than  
22 mine.

23 Q. I will mark it for you if you would  
24 like.

25 A. I have read referrals from Shapiro and

Page 162

1 Shapiro. And, again, after the fact I have  
 2 absolutely nothing to do with that.  
 3 From my own point of view, my  
 4 sensitivities are bothered that they would use  
 5 that type of language to generate the business.  
 6 Having said that, again, I feel it's  
 7 incredibly important to screen these people.  
 8 But I'm not sure I would use this type of a  
 9 process to generate, meaning that type of  
 10 marketing tool.  
 11 Q. So I take it from what you said you do  
 12 have some type of reservation about people  
 13 using this type of advertising to bring in  
 14 asbestos cases for purposes of litigation?  
 15 A. Or any type of imaging modality,  
 16 whether it be for this or any other type of  
 17 litigation.  
 18 But I have absolutely no reservations  
 19 to do the studies on behalf of the patient.  
 20 The issue is I'm not an advocate for plaintiff  
 21 or defense. I am an advocate for the patient.  
 22 That which gives the patient the best  
 23 opportunity for the best health is the thing  
 24 that's most important.  
 25 MR. BURNS: Object to the

Page 163

1 non-responsive portion.  
 2 I've just got a couple more  
 3 questions, Doctor, and I will be done.  
 4 BY MR. BURNS:  
 5 Q. Let me run through some law firm names  
 6 to see if you've worked for these folks.  
 7 Have you ever done any work for Foster  
 8 & Sear in Texas?  
 9 A. Yes.  
 10 Q. Silber-Perlman in Texas?  
 11 A. Yes.  
 12 Q. Barron & Budd in Texas?  
 13 A. Yes.  
 14 Q. Hissey, Kientz & Herron in Texas?  
 15 A. Yes.  
 16 Q. Williams-Bailey in Texas?  
 17 A. Never heard of them.  
 18 Q. Provost-Umphrey in Texas?  
 19 A. Humphrey?  
 20 Q. U-M-P-H-R-E-Y.  
 21 A. If I did I'm not -- maybe. But I  
 22 think Humphrey -- the name Humphrey. But I  
 23 don't think Provost-Umphrey rings a bell.  
 24 Q. Parker Parks?  
 25 A. Never heard of them.

Page 164

1 Q. John O'Quinn?  
 2 A. Never heard of him.  
 3 Q. We've obviously got Nix, Patterson &  
 4 Roach here. We know you've done that.  
 5 Let's go outside of Texas a little  
 6 bit. What about the Jaques Admiralty firm?  
 7 A. Remember when I talked about a  
 8 maritime firm years ago? That's who I was  
 9 referring to.  
 10 Q. What about Goldberg, Jennings, Persky  
 11 & White, West Virginia?  
 12 A. Yes.  
 13 Q. I think --  
 14 A. In the remote past. I haven't seen  
 15 anything with them for years. Same with  
 16 Jaques. I haven't seen anything from them in  
 17 10, 12 years.  
 18 Q. What about Robles & Gonzales in Miami?  
 19 A. I haven't seen anything from them in  
 20 years. But years ago, yes.  
 21 Q. Ferraro, a lawyer named Ferraro? Do  
 22 you ever remember doing work for a lawyer named  
 23 Ferraro?  
 24 A. Ferraro and Ferraro. But I don't know  
 25 if that's the same people.

Page 165

1 Q. That's the reason I used the name  
 2 Ferraro as opposed to the firm name. Have you  
 3 ever worked with a lawyer with the last name of  
 4 Ferraro?  
 5 A. I wouldn't necessarily know the  
 6 lawyer. I would deal with the paralegal or,  
 7 again, an imaging company. But whether it's  
 8 Ferraro -- it's certainly possible.  
 9 Q. And one last question so I get the  
 10 time frame right. You started doing readings  
 11 in the medical legal context at or around about  
 12 the time you got your B reader certification in  
 13 1986?  
 14 A. Well, it must have been sometime  
 15 afterwards. So I would say mid to late 80s.  
 16 But I don't know when.  
 17 Q. And you haven't -- you've been doing  
 18 that, obviously, with different intervals of  
 19 business, but continually from that period of  
 20 time until the present day?  
 21 A. Well, I've maintained my B reader  
 22 certification throughout.  
 23 Q. I'm speaking more specifically just  
 24 about the medical legal work.  
 25 A. Yeah. And which the implication is

1 that if I didn't have the B reader  
2 certification I would not be able to do this  
3 because I wouldn't be certified and I wouldn't  
4 be considered an expert.

5 Q. And to ask you directly: You've been  
6 doing that roughly for the past 16 years  
7 continuously thereabouts?

8 A. That's probably not inappropriate.

9 MR. BURNS: Thank you very much,  
10 sir.

11 THE WITNESS: And also for the  
12 government. And when I say for the government,  
13 also for the Federal Bureau of Investigation.

14 MR. BURNS: Object to the  
15 non-responsive portion.

16 Thanks, Doctor. I appreciate it.

17 -- EXAMINATION --

18 BY MR. BEVEL:

19 Q. Dr. Levine, my name is Greg Bevel. I  
20 represent one of the defendants in the case. I  
21 am going to ask you a few more questions.

22 You understand from the nature of the  
23 deposition at this point I'm going to bounce  
24 from topic to topic. I'll try to let you know  
25 where I'm headed.

1 went to a site, went to a factory and did three  
2 or four days, then the antecedent that I would  
3 expect is that the people that are screening  
4 have at least 15 years exposure.

5 But if films are sent to me  
6 individually from wherever they're sent, I  
7 don't necessarily have control over who is  
8 sending them to me and under what  
9 circumstances.

10 So I can't tell you that that's true  
11 in all circumstances. I mean, most of the law  
12 firms understand that. But I can't tell you  
13 that that's absolutely the case in these two  
14 because I don't know how they were sent to me.

15 Q. The question is in your mind you would  
16 have expected from the relationship you have  
17 with the lawyers and with the screening  
18 companies you would have expected that they  
19 understood that you would be assuming there's a  
20 15-year exposure history of occupational dust  
21 exposure history to the individuals whose  
22 x-rays you're reading?

23 A. That would not be an unreasonable  
24 assumption. Given that, as I said, the latency  
25 for pleural disease may be as little as three

1 A. I think I got the gist of it.

2 Q. When you're doing x-rays for a  
3 screening service, did I hear you correctly  
4 earlier in the deposition say that you assume  
5 there's an occupational dust exposure history  
6 in that person's background?

7 A. Because when the imaging company first  
8 calls me I make sure that anybody that I'm  
9 dealing with has at least 15 years exposure  
10 before I let them know that I'm not going to  
11 look at any films that don't have at least the  
12 15 years exposure.

13 Q. So in the two cases that we're here to  
14 discuss today, would it be fair to say, then,  
15 you've assumed through your relationship with  
16 the imaging company that these individuals had  
17 a 15 year -- a history of occupational dust  
18 exposure of at least 15 years?

19 A. If, in fact, they were sent to me by  
20 an imaging company. They were taken by an  
21 imaging company, but I don't know whether or  
22 not -- see, you used the term "screen."

23 If a screen is sent to me by an  
24 imaging company -- I don't know -- as a  
25 function of some advertisement like that they

1 years and for interstitial disease as little as  
2 three years.

3 Q. Do you keep statistics on different  
4 occupations? For instance, if you've --

5 A. No.

6 Q. -- read school teachers?

7 A. The answer is no. And the reason why  
8 is I often don't know. Sometimes I do.

9 Like, for example, when I was asked by  
10 the Philadelphia firefighters to screen all the  
11 firefighters, I knew the profession.

12 If I was asked by the Philadelphia  
13 school teachers, I knew. It turned out it  
14 wasn't only the school teachers. It was all  
15 the maintenance employees and anybody else that  
16 was involved in the schools.

17 But very often I do not know the  
18 occupations.

19 Q. My question, though, was do you ever  
20 keep statistics of your B readings to determine  
21 that X percentage of the fire workers, for  
22 example, or the firefighters, for example, had  
23 positive radiographic findings?

24 A. No.

25 Q. Have you ever participated in a study

Page 170

1 or an epidemiological study of that nature  
 2 where they are setting out to determine what  
 3 percentage of certain trades have positive  
 4 radiological findings for us?  
 5 A. That material may well have been used  
 6 by the firefighters and the school district,  
 7 but not by me. By the epidemiologists at the  
 8 City of Philadelphia, but not by me.  
 9 Q. What would you say is the total number  
 10 of individual x-rays that you've read as a  
 11 NIOSH B reader?  
 12 A. Probably over the last close to 20  
 13 years, here at the hospital? Could be in the  
 14 hundreds of thousands. X-rays. But that  
 15 doesn't necessarily mean patients, because I  
 16 often look at two, three and -- two and four  
 17 views.  
 18 Q. You mention that your corporation has  
 19 a contractual relationship to operate the  
 20 radiological diagnostic unit here at this  
 21 hospital?  
 22 A. True.  
 23 Q. Does that contract demand that you  
 24 give them 100 percent of your professional  
 25 time?

Page 171

1 A. No.  
 2 Q. Does it specifically address at all  
 3 the fact that you also do this consulting work  
 4 on your B reading?  
 5 A. I do it at the private office.  
 6 Q. You don't do the B reading here at the  
 7 hospital itself?  
 8 A. True. That's why I'm not doing this  
 9 deposition during the day. During the day I'm  
 10 100 percent of my time here.  
 11 Q. That was my question. Does your  
 12 contract with the hospital require that you  
 13 give them 100 percent of your professional  
 14 services in exchange for whatever compensation  
 15 they give your corporation?  
 16 A. No. But I still don't do them here.  
 17 You have no idea how hassled I am here running  
 18 the department. It just would be impossible to  
 19 get anything done.  
 20 Q. If I took your work week and asked you  
 21 to break it down in percentages between being  
 22 the chairman of the diagnostic radiology here  
 23 at the hospital and then the consulting work,  
 24 how would that break out percentage-wise?  
 25 A. Probably 80/20, 80 percent at the

Page 172

1 hospital.  
 2 Q. You've never lost your hospital  
 3 privileges anywhere, have you?  
 4 A. Lost my hospital privileges? I've  
 5 never had a disciplinary action. I've never  
 6 had any sort of --  
 7 Q. Have you ever been rejected or not --  
 8 A. Yes. I have been rejected, but mostly  
 9 by women.  
 10 Q. Have you ever been rejected --  
 11 A. Actually, I get rejected by my kids a  
 12 lot too.  
 13 Q. Have you ever been rejected or not  
 14 approved as a provider by any insurance  
 15 company?  
 16 A. Never.  
 17 DEFENSE COUNSEL: I will just go  
 18 ahead and response to the non-responsive  
 19 portion.  
 20 THE WITNESS: I'm sure you've  
 21 been rejected in your day too.  
 22 BY MR. BEVEL:  
 23 Q. What would the charges be of your  
 24 corporation to the hospital for standard review  
 25 of x-rays -- chest x-rays or a series of chest

Page 173

1 x-rays?  
 2 A. Nothing. I don't charge them  
 3 anything.  
 4 Q. It's just all encompassed within the  
 5 contract?  
 6 A. Sure.  
 7 Q. The hospital --  
 8 A. Wait, wait. Let me stop you.  
 9 I have a contract to provide the  
 10 service of being the chairman. And for that I  
 11 do administration, teaching, and supervision.  
 12 They in turn supply me with access to all the  
 13 patients, all the equipment, all the employees.  
 14 My role is to provide 24/7, 365  
 15 service. They don't care that I take ten weeks  
 16 of vacation a year as long as I provide the  
 17 service.  
 18 Now, I'm saying that from the point of  
 19 view of being facetious. In other words, I  
 20 just have to provide the service of having  
 21 coverage. I have associates that are here.  
 22 Q. Here is my -- the gist of my question.  
 23 A patient checks into the hospital and  
 24 has a four view set of x-rays taken of their  
 25 chest. One of you or one of your associates

Page 174

1 reads those x-rays here at the hospital. A  
 2 bill is generated and that patient's insurance  
 3 company pays for that service.  
 4 A. Maybe. Sometimes they -- rarely they  
 5 will pay cash.  
 6 Q. Most of the time a bill is generated  
 7 and the patient pays --  
 8 A. Maybe. That's not true. Come through  
 9 and they have a HMO and a capitated plan,  
 10 there's no bill generated. It's part of the  
 11 capitation.  
 12 I have 16 different plans that I'm  
 13 capitated with where I get a fee for service  
 14 per day on the number of patients that are  
 15 within the panel for each one of the  
 16 physicians.  
 17 And those are write offs. We don't  
 18 charge them. That's part of the contract. I  
 19 mean, this is a very complicated system.  
 20 Now, if you're talking about Personal  
 21 Choice like Blue Cross Blue Shield or you're  
 22 talking about other -- Keystone -- other  
 23 insurance, private insurance groups, then a  
 24 bill is generated for the professional  
 25 component and we get compensated for the

Page 175

1 professional component. And the technical  
 2 component is billed to the hospital.  
 3 Q. Okay. In the instances in which you  
 4 generate a bill for the professional component  
 5 of reading chest x-rays, what is the standard  
 6 or what is the range of fees that you would  
 7 bill for reading a four-view series of chest  
 8 x-rays?  
 9 A. You would have to talk to my billing  
 10 company. I have no idea. My fee book is like  
 11 280 pages long. It's all the 7000 codes in  
 12 radiology.  
 13 Q. In reading a four-view set of x-rays  
 14 here at the hospital for a patient for any  
 15 purpose, would it take you three to five  
 16 minutes to read that series of x-rays?  
 17 A. I presume. Again, it's a question of  
 18 reading it and then, of course, dictating it;  
 19 when the report comes through, signing it and  
 20 getting it out. And any one particular case,  
 21 if it's positive, I can lose 20 minutes because  
 22 if it's positive, I got to call the doctor and  
 23 send the report.  
 24 Q. When you do a NIOSH B reading, do you  
 25 actually put up the NIOSH standard films and

Page 176

1 put up the patient's film?  
 2 A. They are up. I don't put them up.  
 3 They are up.  
 4 Q. And maybe they have changed it. Last  
 5 time I talked --  
 6 A. You can't have all of them up at one  
 7 time. But there are certain diagnoses that are  
 8 so unusual I don't have to have them up.  
 9 Q. Last time I talked to a B reader, my  
 10 understanding was for asbestosis there was a 0,  
 11 a 1 and a 2. Has that changed now?  
 12 A. There's 0s, 1s, 2s. But there's also  
 13 field films where they have 1 -- quarterly  
 14 films where they show quarters that show  
 15 mixtures of different types of -- I am trying  
 16 to remember what they call that. Border films.  
 17 Now, what they want to do in the new  
 18 group is have border films, full 11 by 14  
 19 border films for everything.  
 20 Q. So there are border films --  
 21 A. For most. Not all.  
 22 Q. For 1/0 and 0/1?  
 23 A. Yes. And those are available because  
 24 those are part of the teaching set that are  
 25 used with the NIOSH course for taking the test.

Page 177

1 That's not part of the original 12  
 2 standards. Some are but not all.  
 3 Q. Do you use the border films for direct  
 4 comparison in your practice?  
 5 A. No. I use them for teaching purposes.  
 6 Q. So you use the 12 standards films?  
 7 A. Yeah. And other things they have.  
 8 They also have other additional films besides  
 9 the standard.  
 10 What happens is besides the standard  
 11 films, there are films for pleural disease and  
 12 also masses. And as it turns out, the pleural  
 13 disease and masses do have interstitial  
 14 disease.  
 15 And if you look at the back of the  
 16 book, the back of the book on those films also  
 17 gives you the characterization of what the ILO  
 18 classification is.  
 19 So it's a cheater's way to actually  
 20 get 15 or 16 films to give you examples of the  
 21 others. But the primary films are the films  
 22 that are the standard P, Q, R, S, T, and U  
 23 major categories.  
 24 Q. Okay. And when you say major  
 25 categories --

1 A. 1/1, 2/2, 3/3.  
 2 Q. Okay. So there's -- of the 12  
 3 standard films of the NIOSH series, there is a  
 4 0, a 1/1, a 2/2 and a 3/3?  
 5 A. True.  
 6 Q. And you put up an x-ray, any  
 7 individual's x-ray, and compare it with those  
 8 films and determine where along the entire  
 9 grading system of the ILO scale the film falls?  
 10 A. You have to. That's what I have to  
 11 do. It's become second nature to me, more than  
 12 second nature. I can't do it any other way. I  
 13 am very uncomfortable without doing it.  
 14 Q. And in a case like this, both of these  
 15 cases I believe where the grading in your  
 16 opinion is a 1/0 --  
 17 A. No. One is a 1/2 and one is a 1/0.  
 18 Q. Sorry. I guess I just have the 1/0.  
 19 Okay. Starting with the --  
 20 DEFENSE COUNSEL: Object to  
 21 non-responsive.  
 22 BY MR. BEVEL:  
 23 Q. In your opinion that film falls  
 24 somewhere between the 0 and between the 1/1  
 25 standard ILO film, correct?

1 that was an uncommon name.  
 2 THE WITNESS: Sorry. I call it  
 3 like I see it.  
 4 BY MR. BEVEL:  
 5 Q. Let me just ask, the x-ray you brought  
 6 with you and the report you brought with you  
 7 today --  
 8 A. That's what was sent to me.  
 9 Q. By whom?  
 10 A. Whoever sent me the films.  
 11 Q. No. But the report. Where did you  
 12 get this copy of this report?  
 13 A. I believe it was from your firm.  
 14 MS. BOONE: I'm sure we sent it.  
 15 Must have sent the wrong film. There was  
 16 probably another Drosche too.  
 17 MR. BEVEL: Okay. I will  
 18 withdraw the exhibits.  
 19 BY MR. BEVEL:  
 20 Q. If a film is a 1/0 -- and let's take  
 21 our one remaining case of Mr. Doelitsch. You  
 22 put that film up to compare it with the  
 23 standard 0, 1/1, and 2/2 ILO films. And in  
 24 your judgment as a B reader and with your  
 25 experience you determined that it comes

1 A. I'm sorry. Three people were talking.  
 2 Q. Okay. In Mr. Doelitsch's case --  
 3 A. Excuse me for a second. Which is the  
 4 second case we're doing today?  
 5 MS. BOONE: Drosche. Those are  
 6 both 1/0 as far as I can tell.  
 7 THE WITNESS: I have a 1/2.  
 8 MR. BEVEL: Off the record.  
 9 (Discussion off the record.)  
 10 -----  
 11 EXHIBIT:  
 12 (Whereupon, LEVINE 6 and 7 were  
 13 marked for identification by counsel.)  
 14 BY MR. BEVEL:  
 15 Q. I'm going to hand you Levine Exhibits  
 16 6 and 7. I ask you if you can identify them  
 17 for me.  
 18 A. They are two different patients. One  
 19 is Roy Drosche and one is Larry Drosche.  
 20 MS. BOONE: That's a problem.  
 21 MR. BEVEL: We're here for Larry,  
 22 though, right?  
 23 MS. BOONE: That's right. We are  
 24 here for Larry.  
 25 DEFENSE COUNSEL: Here we thought

1 somewhere in between the 0 and the 1/1. Is  
 2 that correct?  
 3 A. Right. And that it was a 1/0.  
 4 Q. And the 1/0 as opposed to a 0/1, in  
 5 laymen's terms is it fair to say that in your  
 6 opinion this was a x-ray closer to a 1/1 than  
 7 it was to a 0/0 on the standard films?  
 8 A. You should teach the course.  
 9 Q. And that's -- I appreciate the answer,  
 10 but I need an answer to the question.  
 11 In your -- when you give a 1/0 rating,  
 12 you believe that that is closer to a 1/1 than  
 13 it is to a 0/0?  
 14 A. Yes, because what I'm finding is that  
 15 there is a film that I'm faced with which is  
 16 abnormal but is not profused as great as a 1/1.  
 17 Q. Now, on the NIOSH form you do not add  
 18 a specific diagnosis of asbestosis as you've  
 19 described earlier?  
 20 A. True.  
 21 Q. And specifically I believe, if I  
 22 understand your testimony correct, it's because  
 23 the NIOSH guidelines don't actually require you  
 24 or allow you to make a radiographic diagnosis  
 25 on their form?

Page 182

1 A. Right. They ask are there any  
 2 parenchymal abnormalities consistent with  
 3 pneumoconiosis. Yes. And I fill it out.  
 4 Are there any pleural abnormalities  
 5 consistent with pneumoconiosis. Yes or no.  
 6 And then in this case I didn't fill it out.  
 7 They talk about any other  
 8 abnormalities. Then I do make a diagnosis  
 9 where they say other comments, because that's  
 10 what's appropriate to do. That's what I'm  
 11 trained to do, as are all B readers.  
 12 Q. And as you have indicated, the  
 13 language that NIOSH uses is whether or not  
 14 there's changes consistent with a diagnosis of  
 15 pneumoconiosis?  
 16 A. Right. Which is precisely why I do a  
 17 regular clinical narrative report.  
 18 Q. And if I heard you correctly, you said  
 19 that under a different authority -- not when  
 20 you're acting under NIOSH, but when you're  
 21 acting as a board certified radiologist -- you  
 22 feel like there's a different authority that  
 23 allows you to go forward and make a  
 24 radiographic or a radiological diagnosis of  
 25 asbestosis just based upon x-ray alone.

Page 183

1 Is that fair to say?  
 2 A. You're 98 percent of the way there.  
 3 The issue is that I know there are B readers  
 4 because I see when I'm doing second readings  
 5 that people put diagnoses down here all the  
 6 time. Mixed disease.  
 7 I don't do that because that's not the  
 8 way I was trained and it's not the 80s standard  
 9 to write the diagnosis in.  
 10 But I am trained to do that if the  
 11 diagnosis is reachable on the basis of a  
 12 logical, rational, objective analysis of the  
 13 films and I have criteria to make the diagnosis  
 14 to do it in a clinical report. Not to do it is  
 15 a negligent omission of my responsibility.  
 16 Therefore, I do it when it's appropriate.  
 17 Q. And what I want to know is earlier you  
 18 had given testimony and I thought you had said  
 19 that it was as a result of a proclamation of a  
 20 board, whether or not it's the American Board  
 21 of Radiology or some other authority upon which  
 22 you rely in saying it's okay to make a  
 23 radiological or radiographic diagnosis of  
 24 asbestosis?  
 25 A. Yes. Under the guidelines of the

Page 184

1 American Board of Radiology that's what we do.  
 2 Q. Okay. And is that in a published  
 3 journal somewhere?  
 4 A. That's the standard of practice of  
 5 radiologists.  
 6 Q. Okay. I know. But is there someplace  
 7 you could send me and I could see in a journal  
 8 that's been published by the American Board of  
 9 Radiology here is the criteria for making a  
 10 diagnosis of asbestosis based upon the x-ray  
 11 appearance of the disease?  
 12 A. No, I am not suggesting that. I am  
 13 suggesting that in general the American Board  
 14 of Radiology doesn't want analysis. It also  
 15 wants a diagnosis, for all diseases. Not just  
 16 asbestos.  
 17 That's the way you take your written  
 18 and oral boards.  
 19 If a radiologist approached his oral  
 20 boards giving anatomic depictions and  
 21 descriptions of what's abnormal and went on to  
 22 the next case, he wouldn't pass.  
 23 It's required to give a diagnostic  
 24 conclusion and a differential diagnosis if one  
 25 is appropriate.

Page 185

1 Q. For purposes of the jury, when they  
 2 hear the term diagnosis coming from a doctor,  
 3 they think that's kind of the final diagnosis.  
 4 It's true that a radiologist makes a  
 5 radiographic diagnosis and is expecting and  
 6 relying upon a clinician to correlate that  
 7 radiographic diagnosis with all of the other  
 8 information that can be gathered and make a  
 9 final diagnosis for the patient?  
 10 A. I have no expectations at all other  
 11 than my diagnosis. And the reason for that is  
 12 that very often I'm dealing with situations  
 13 where nothing else is or can be done or should  
 14 be done.  
 15 Q. And when you say that, you're  
 16 referring to the screening or the consulting  
 17 side of your business?  
 18 A. I'm talking about whatever I do here  
 19 at the hospital or any place. When I make a  
 20 diagnosis -- if I make a diagnosis of a  
 21 pneumonia, the diagnosis of pneumonia is made  
 22 and they will treat it with antibiotics. They  
 23 won't biopsy it. They won't do a CAT Scan on  
 24 it.  
 25 Q. Well, they will go and do other tests

Page 186

1 to determine the nature -- they will do  
 2 cultures to determine the nature of the  
 3 pneumonia, won't they, to determine how to  
 4 treat it?  
 5 A. They may. You would hope that they  
 6 would have done that before they started  
 7 treatment. If, as is typical, they come in and  
 8 they've already had an antibiotic, then the  
 9 cultures are irrelevant.  
 10 Q. Let's use the example of cancer. You  
 11 wouldn't expect the clinicians here at this  
 12 hospital to take your radiographic diagnosis of  
 13 lung cancer and simply go tell the patient  
 14 we're sorry, you have lung cancer?  
 15 A. Absolutely not.  
 16 Q. You would expect them to do follow up  
 17 --  
 18 A. And why?  
 19 Q. -- diagnostic criteria?  
 20 A. And why?  
 21 Q. To confirm or dispute this diagnosis.  
 22 A. And why? Because on the basis of the  
 23 diagnosis, therapeutic interventions can be  
 24 done which can change and alter the course of  
 25 the disease and quality of the life of the

Page 187

1 patient.  
 2 Q. Right. Because the patient is going  
 3 to be treated?  
 4 A. Yes. In this case there's no  
 5 treatment that's available.  
 6 Q. Let's talk about false positives. If  
 7 you had a lot of false positives as a  
 8 practicing radiologist, that wouldn't bode well  
 9 for the hospital?  
 10 A. I think that, one, when your contract  
 11 was up they would not be considering renewing  
 12 it. I think you would also have a tough time  
 13 getting referrals from your physicians which is  
 14 why you do reviews, have people second review  
 15 your films, and why you take a look at your  
 16 biopsy results.  
 17 I think in a situation like this where  
 18 you're dealing on a close, if not a family,  
 19 certainly an intimate relationship where you  
 20 have interaction on a daily basis with hundreds  
 21 of cases, very shortly you know who the good  
 22 surgeons are and they know who the good  
 23 radiologists are.  
 24 Q. As a treating radiologist where you're  
 25 in a hospital setting, if you consistently were

Page 188

1 diagnosing people with false positives for  
 2 cancer or for other injuries of the chest that  
 3 would require further work up and perhaps even  
 4 invasive work up of a patient, the doctors  
 5 would stop using you?  
 6 A. So would the administration.  
 7 Q. Yes.  
 8 A. Which is precisely, I think, a  
 9 credibility issue, which in my situation has  
 10 resulted in my remaining here as chairman for  
 11 17 years.  
 12 Q. However, in our consulting work when  
 13 we're reading x-rays for the presence or  
 14 absence of an asbestos-related disease in a  
 15 litigation scenario, there isn't the same  
 16 disincentive for false positives?  
 17 You're not going to stop being used by  
 18 a screening service or by a particular law firm  
 19 if you are having a higher than normal ratio of  
 20 false positives?  
 21 A. My response to that is -- and I can  
 22 only give you my response. Do with it what you  
 23 will. I am considered a very conservative  
 24 radiologist. End of story.  
 25 Q. Yeah. All I am saying is that for

Page 189

1 somebody -- when you're the radiologist in a  
 2 consulting scenario as opposed to in the  
 3 hospital setting, the disincentive for false  
 4 positives isn't the same; would you agree?  
 5 A. True. But I would also tell you that  
 6 an objective analysis of the work that I did by  
 7 a defense group indicated that my sensitivity  
 8 and accuracy was better than any of the other,  
 9 quote, plaintiff or defense radiologists in the  
 10 United States at the time.  
 11 MR. BEVEL: Object to everything  
 12 after true as non-responsive.  
 13 THE WITNESS: Gee, it seemed  
 14 responsive to me.  
 15 BY MR. BEVEL:  
 16 Q. Well, no, because really my question  
 17 was --  
 18 A. That's okay. I am just giving you a  
 19 hard time. The hour is late and I'm dying to  
 20 know how the Flyers did.  
 21 Q. I know that you don't keep files on  
 22 any of these consulting x-rays that you read?  
 23 A. No. Everything is sent back to the  
 24 referral source.  
 25 Q. What about in the situation where you



Page 190

1 do see something on the x-ray that allows you  
 2 to fill in Section 4B such as there's a  
 3 prominent hilum or something that suggests  
 4 further work up needs to be done. It could be  
 5 a cancer here.  
 6 A. The master list is highlighted. The  
 7 report goes out. And the firm is called and  
 8 told so that there's three opportunities not to  
 9 fall through the cracks.  
 10 Q. But did you keep a copy of those  
 11 cases?  
 12 A. No. They act as the repository for  
 13 it, because I don't do the work up. I don't do  
 14 the follow up work up.  
 15 If there was somebody local -- not in  
 16 the hospital, but it just happened to be local  
 17 and I had the opportunity to do the follow up,  
 18 sure, treat them as a patient here.  
 19 But I don't make any dichotomy between  
 20 any of these patients. They are all treated  
 21 the same.  
 22 Q. So you're relying upon the screening  
 23 service and the law firm to get that  
 24 information back to the patient?  
 25 A. Yes. And they do notify the patient

Page 191

1 with certified mail to get additional -- I get  
 2 follow ups from the treating physicians.  
 3 Q. But you don't have any kind of tickler  
 4 system in your files to call in six months and  
 5 make sure somebody has not dropped the ball on  
 6 the other end?  
 7 A. No. But the law firms do or the  
 8 imaging companies or the health and welfare  
 9 groups or the government.  
 10 Q. So you rely completely upon them to do  
 11 any of that kind of follow up work?  
 12 A. Yes.  
 13 Q. You've said before you don't treat  
 14 patients, you don't treat people. You don't  
 15 diagnosis patients. You diagnose the x-ray,  
 16 the radiographic image, correct?  
 17 A. Well, no, no, no. I am not the  
 18 treating physician in this situation. I am a  
 19 treating physician here at the hospital.  
 20 Q. Well, let me ask you this: Do  
 21 patients ever come into your office and say I  
 22 need to know whether or not I have asbestosis  
 23 and I want you to read my x-rays and tell me,  
 24 Dr. Levine, do I have asbestosis or not?  
 25 A. I have had patients come in here who

Page 192

1 have been sent to me by the referral physicians  
 2 here in the community of Philadelphia who I  
 3 have seen and have waited and wanted to talk to  
 4 me that I've talked to about their x-rays and  
 5 results, yes.  
 6 Q. Okay. That doesn't typically happen,  
 7 though; isn't that fair to say?  
 8 A. True.  
 9 Q. Typically, the pulmonary physician  
 10 would send you the x-ray to review. You give  
 11 your opinion. Goes back to the pulmonary  
 12 physician. The pulmonary physician talks with  
 13 the patient?  
 14 A. Absolutely true, unless they ask me to  
 15 speak to the patient. And that happens also,  
 16 especially if additional studies need to be  
 17 done.  
 18 Q. And you are aware, are you not, that  
 19 pulmonary physicians especially in trying to  
 20 evaluate somebody that might have asbestosis,  
 21 they might -- well, they are probably going to  
 22 listen to their chest with a stethoscope; they  
 23 are probably going to give them a pulmonary  
 24 function study.  
 25 They may do blood gas analysis. They

Page 193

1 may do exercise testing. They may do a range  
 2 and series of other clinical tests in addition  
 3 to obtaining a radiographic review of the chest  
 4 x-ray?  
 5 A. They may.  
 6 Q. And they may, even though the x-ray  
 7 has a 1/0 and has a radiographic diagnosis of  
 8 asbestosis, based upon all of those other  
 9 findings they may inform the patient that they  
 10 don't have asbestosis? That happens?  
 11 A. They may.  
 12 Q. You mentioned the JM story a couple of  
 13 times. Did that story get related to you by  
 14 somebody from Johns Manville?  
 15 A. Yep. Not originally. But then when  
 16 they called me, I asked them about it.  
 17 Q. Was it ever published? The story that  
 18 you tell that Johns Manville --  
 19 A. Contact them. They will I'm sure make  
 20 it available to you.  
 21 Q. No. I'm asking you have you ever seen  
 22 it published in the peer review medical  
 23 literature any where?  
 24 A. I haven't.  
 25 Q. And it's basically just -- I hate to

1 use legal terms -- but it's just a hearsay  
 2 story that they've told you about?  
 3 A. No. It started out that I was told by  
 4 both a plaintiff attorney and then a defense  
 5 attorney and then it came out by another  
 6 attorney at a deposition.  
 7 And then I didn't think that much of  
 8 it until they called me. And then when they  
 9 called me, I asked them about it and they said  
 10 yes.  
 11 Q. Okay. But as far as I'm concerned,  
 12 it's still people outside this room have told  
 13 you these things? In other words, it's not  
 14 written, it's not published, it's not somewhere  
 15 where I can go and --  
 16 A. I believe it is. But I do not have a  
 17 copy of it. But I would ask you to contact  
 18 them and I'm sure you can get a copy of it.  
 19 Q. They've never provided you with a copy  
 20 of it?  
 21 A. No. But I understand it was presented  
 22 at a national meeting according to Mansville.  
 23 Q. At some point earlier in the  
 24 deposition you indicated that perhaps NIOSH has  
 25 done the same thing, that they've reviewed your

1 work?  
 2 A. No. They review everybody's work.  
 3 The government does.  
 4 Q. Have they ever reviewed your work or  
 5 everybody's work in a manner in which it's been  
 6 published where you can go and point to a  
 7 publication saying here is where NIOSH  
 8 specifically reviewed my work?  
 9 A. I don't think that when they do that  
 10 they do it and you know who is who. I think  
 11 from a privacy point of view they don't do  
 12 that.  
 13 Now, the government does, I believe,  
 14 admonish particular individuals if there's a  
 15 problem.  
 16 Q. When you were recertified last time by  
 17 NIOSH, how many x-rays did you have to read as  
 18 part of that recertification test?  
 19 A. I believe the recertification test is  
 20 60.  
 21 Q. And how long do you have -- how long  
 22 are you allowed -- what period of time are you  
 23 allowed to do that?  
 24 A. I believe it's three hours.  
 25 Q. And are you permitted to use the

1 standard films, the standard 12 films that  
 2 we've described?  
 3 A. If you walk in there without the NIOSH  
 4 films, they will escort you right out.  
 5 Q. So you are mandated to use those  
 6 films?  
 7 A. Must. And they check your films  
 8 before you sit down and they go through them to  
 9 make sure that you have every one of the films.  
 10 Q. So you have three hours to review 60  
 11 films and you do it at their setting? It's a  
 12 controlled setting?  
 13 A. Well, what they do is they set up  
 14 tables like this in a large room and there are  
 15 view boxes. It's usually a double view box so  
 16 that you don't have the opportunity of putting  
 17 up numerous NIOSH films but you can put up one  
 18 NIOSH film at a time to compare to the quiz  
 19 films.  
 20 Actually, if I remember correctly,  
 21 it's two three-hours. I believe it's two  
 22 three-hour settings. No. Maybe it's two  
 23 one-hour-and-a-half settings.  
 24 It's not something you like to think  
 25 about. It's a very, very tough exam. In many

1 years they've flunked 50 to 80 percent of the  
 2 people that take it.  
 3 Q. Do you get a score back?  
 4 A. Sure.  
 5 Q. Do you know out of the 60 films last  
 6 time --  
 7 A. It's such a complicated scoring  
 8 mechanism. It's done on a percentile basis  
 9 where 50th, you need to achieve 50 something.  
 10 It's very, very complicated mathematic  
 11 analysis.  
 12 Q. You have to get 50 out of the 60 films  
 13 right?  
 14 A. No, no.  
 15 Q. You have to achieve the 50th  
 16 percentile?  
 17 A. Something like that, yeah. And as I  
 18 say, you know, over the years with all the  
 19 years they've been giving it, there's only  
 20 about 400 B readers in the country.  
 21 Q. I don't want to confuse the jury --  
 22 and maybe I just did -- but 50th percentile,  
 23 when I think 50th percentile, I think 50 out of  
 24 100 which is --  
 25 A. That's totally wrong.

1 Q. And that's what I was going to ask.  
 2 What's --  
 3 A. I'm not a statistician. But it's not  
 4 the 50th percentile. I can only tell you that  
 5 I believe on the last one I was in the 79th  
 6 percentile, I believe. But I'm confused  
 7 because I did well on the one before that too.  
 8 Q. Do you testify or do you accept cases  
 9 to testify in medical malpractice cases?  
 10 A. Yes, but on rare occasions. I am just  
 11 very busy. I don't have the time. But I do  
 12 occasionally.  
 13 Q. On behalf of the plaintiffs -- in  
 14 other words, you will confer with lawyers who  
 15 are suing other doctors or other radiologists  
 16 particularly for the failure to make a proper  
 17 radiological --  
 18 A. It's turned out to be the plaintiffs  
 19 or defense. If something I think is egregious,  
 20 somebody should be really controlled, then I  
 21 get involved.  
 22 Q. Have you done that before?  
 23 A. Probably over 20 years less than five  
 24 times. Probably four times.  
 25 Q. Have you ever testified on behalf of

1 post-high school.  
 2 A. I'm going to refer to my curriculum  
 3 vitae to ensure absolute accuracy with respect  
 4 to the dates.  
 5 I went to college in New York and  
 6 graduated college in three years having  
 7 completed a four-year degree with honors  
 8 between the period 1962 through 1965, as I say,  
 9 having graduated cum laude.  
 10 Then went on to medical school at  
 11 Albert Einstein in New York achieving an M.D.  
 12 degree in 1969. I did an internship in  
 13 medicine between the period 1969 through 1970  
 14 at the university of Colorado returning to New  
 15 York for a residency in radiology with a  
 16 subspecialization in nuclear medicine between  
 17 the period 1970 through 1973.  
 18 At that time before you could stand  
 19 for the boards you needed one year of clinical  
 20 practice. The first time I was eligible to  
 21 take the boards was 1974. And that's the only  
 22 time I stood for the boards and successfully  
 23 passed the examination.  
 24 The boards are precisely what the  
 25 ladies and gentlemen of the jury would have

1 other doctors being -- defending themselves in  
 2 medical malpractice cases?  
 3 A. Wasn't that -- isn't that saying for  
 4 the defense?  
 5 Q. Yeah, for the defense on medical  
 6 malpractice cases.  
 7 A. Yeah.  
 8 Q. And how many occasions?  
 9 A. I'm saying between plaintiff and  
 10 defense total might have been five.  
 11 Q. Okay.  
 12 A. Maybe four, maybe five.  
 13 MR. BEVEL: I think those are all  
 14 the questions I have for you. Thank you.  
 15 -- EXAMINATION --  
 16 BY MS. BOONE:  
 17 Q. Dr. Levine, I have a very few  
 18 questions for you.  
 19 But I would like to start by going  
 20 through your educational background. You  
 21 mentioned that you're a board certified  
 22 radiologist; is that correct?  
 23 A. Yes.  
 24 Q. Could you describe the steps you've  
 25 taken to get to that point and starting

1 heard about for boards, for example, in  
 2 internal medicine or surgery or pulmonary  
 3 medicine.  
 4 It's a convocation of specialists who  
 5 have the right and authority to set up a  
 6 credentialing examination which test the  
 7 expertise of individuals.  
 8 As I indicated a few hours ago, I did  
 9 get involved with occupational dust disease in  
 10 my tenure while at the Thomas Jefferson  
 11 University Medical School and first became  
 12 certified by the National Institute of  
 13 Occupational Safety and Health in 1986 having  
 14 recertified in 1989, 1993, 1997, and 2001.  
 15 The implication is that every four  
 16 years you have to recertify as a B reader. And  
 17 I have successfully recertified each and every  
 18 time I stood before the exam. I am certified  
 19 through June 30th, 2006.  
 20 I have served as a consultant to  
 21 health and welfare groups throughout the  
 22 country. Certainly I have received referrals  
 23 from law firms. I have done work for the  
 24 United States government. And I have been an  
 25 expert for the Federal Bureau of Investigation.

Page 202

1 I am licensed in the three states that  
 2 we previously mentioned but only actively  
 3 practice in the State of Pennsylvania.  
 4 I am currently chairman of the  
 5 department of radiology here at Elkins Park  
 6 Hospital. It's a 270-bed hospital, a suburban  
 7 affiliate to the Hahnemann University Medical  
 8 College of Pennsylvania medical schools that  
 9 are currently now under the administration of  
 10 Drexel University.  
 11 Q. Are your licenses on file with all of  
 12 the appropriate entities that they are required  
 13 to be on file with?  
 14 A. Absolutely.  
 15 Q. You've been referring to your  
 16 curriculum vitae, which I believe it was  
 17 attached as Exhibit No. 2. Does that  
 18 accurately reflect all your professional  
 19 education, training, and experience?  
 20 A. Yes, other than an occasional possible  
 21 typographical error it is accurate. I might  
 22 add that in addition to my chairmanship here, I  
 23 have served in the past as the interim acting  
 24 chair at the medical school a few years back  
 25 for a period of about eight months.

Page 203

1 And I have also been chairman of the  
 2 department of diagnostic imaging at Vencor,  
 3 Philadelphia. Vencor is not part of a hospital  
 4 system and that is a, shall we say, a  
 5 respiratory care facility in which all of the  
 6 patients are on ventilators.  
 7 That's a facility which requires  
 8 physicians that are primarily interested in  
 9 chest diseases.  
 10 And so I still act as a consultant for  
 11 them and read all of their x-rays on daily  
 12 basis that are sent here by telemetry.  
 13 Q. We've talked a good deal tonight about  
 14 how you split your time between your  
 15 chairmanship and your work here at the hospital  
 16 and your work reading films that are referred  
 17 to you from various areas.  
 18 I believe you said you spent about 80  
 19 percent of your time doing work related to the  
 20 hospital; is that correct?  
 21 A. Yes. But that's clinical radiologic  
 22 work as a working radiologist -- film reading.  
 23 And that's primarily in the areas of chest and  
 24 in the area of mammography, although as a  
 25 general radiologist I do do all manner of

Page 204

1 radiology including fluoroscopy and whatever.  
 2 Q. I believe I got this accurate. If I  
 3 did not, please let me know. Did you state  
 4 that you don't always know when you're reading  
 5 an x-ray whether that referral is related to  
 6 litigation or not?  
 7 A. It's rare that I know it's related to  
 8 litigation. A very small subsegment of the  
 9 cases I do each year, probably maybe 40 files  
 10 are sent to me that represent written requests  
 11 for an expert report in which I am analyzing a  
 12 series of films on one patient over a long  
 13 period of time and I have been requested to  
 14 provide that x-ray report and I have been  
 15 requested to do that on the part of a law firm  
 16 in which I know that a patient who is in  
 17 litigation and they are asking me to evaluate  
 18 for the presence or absence of occupational  
 19 dust disease or any other correlate of  
 20 complicating disease that the patient may have  
 21 such as cancer, mesothelioma, et cetera.  
 22 The vast majority of all the other  
 23 films that are sent to me, as I very clearly  
 24 indicated, they come to me from a host of  
 25 difference sources, some of which are law firms

Page 205

1 from the paralegals at law firms.  
 2 To be sure, I would assume since I  
 3 have not read the films there's no way I can  
 4 know whether they are going to be in litigation  
 5 and clearly I presume since the vast majority  
 6 -- perhaps 80 percent of the cases are negative  
 7 at least -- they are not going to be in  
 8 litigation.  
 9 I have no real way of knowing which  
 10 cases are in litigation or going to be in  
 11 litigation except for this subsegment where I'm  
 12 really asked to evaluate for the presence or  
 13 absence of these diseases as an expert.  
 14 Q. Regardless of whether you receive  
 15 x-rays from a law firm, from a screening  
 16 company, from whatever source, does that in any  
 17 way affect the way that you read the x-ray?  
 18 A. My opinion is based on my experience  
 19 and based on my credentials, based on my  
 20 education. I bring that all to bear in order  
 21 to do a logical analysis of the films and  
 22 render a diagnostic conclusion.  
 23 Q. Again, regardless of whether the  
 24 x-rays come to you from a law firm or  
 25 elsewhere, do you specifically read that x-ray

1 looking for asbestosis or silicosis or  
 2 specifically anything?  
 3 A. I look at the x-ray specifically to  
 4 determine whether it is positive or negative.  
 5 And by positive, whether there is an  
 6 abnormality of anatomic distortion; and then to  
 7 identify that anatomic distortion, to  
 8 characterize it, and diagnose it.  
 9 And if it's negative, to indicate that  
 10 it's negative. It has nothing to do  
 11 necessarily with the history of occupational  
 12 disease. It may have to do with finding heart  
 13 disease or TB or cancer unrelated to any  
 14 occupational dust disease.  
 15 Q. And you report those findings as you  
 16 read them regardless of the source of the  
 17 x-ray?  
 18 DEFENSE COUNSEL: Objection form.  
 19 THE WITNESS: I have to. That's  
 20 my job.  
 21 BY MS. BOONE:  
 22 Q. If I understand you correctly, it's  
 23 true your pay is not affected regardless of  
 24 your findings? If you find asbestosis, if you  
 25 find silicosis, if you find nothing at all, you

1 receive the same compensation?  
 2 DEFENSE COUNSEL: Objection,  
 3 form.  
 4 THE WITNESS: I am paid a fee for  
 5 service for the service of interpreting the  
 6 x-ray. It's irrelevant whether the film is  
 7 positive or negative.  
 8 By reputation -- and this may  
 9 sound very gratuitous -- I'm a very  
 10 conservative radiologist in the particular  
 11 endeavor where the question of litigation comes  
 12 up.  
 13 And that has not served me in  
 14 good stead because as a conservative  
 15 radiologist I obviously find more negative  
 16 cases than other people do. But that's what's  
 17 right.  
 18 BY MS. BOONE:  
 19 Q. Earlier there was some discussion  
 20 specifically with regard to I believe Mr.  
 21 Doelitsch's x-rays and there was a discussion  
 22 about how you were not aware of his work  
 23 history, his medical history, those types of  
 24 facts.  
 25 Do you remember that discussion?

1 A. Absolutely.  
 2 Q. Is that information needed for you to  
 3 accurately read an x-ray?  
 4 A. No. In fact, as I've indicated  
 5 previously, that could violate and contaminate  
 6 my ability to be objective in my analysis.  
 7 That's not to say in any way, shape,  
 8 or form that a physician wouldn't want that  
 9 information after the fact if he could have it.  
 10 But the problem is given that information  
 11 before the fact you could easily be  
 12 contaminated in your ability to give an  
 13 objective appraisal.  
 14 In these particular cases I was able  
 15 to reach a conclusion of interstitial fibrosis  
 16 purely on the criteria of the film. And that's  
 17 my area of expertise.  
 18 Q. As far as work history, occupational  
 19 exposures, medical history, is that information  
 20 that you need to generate the report I believe  
 21 that's contained in Exhibit 1?  
 22 A. There is nothing that is of particular  
 23 relevance that by its absence precludes me from  
 24 offering this report.  
 25 Q. Earlier you were shown what's been

1 marked as Exhibit No. 4. Would you take a look  
 2 at that. I believe it's three pages.  
 3 A. Right.  
 4 Q. Had you ever seen that document before  
 5 today?  
 6 A. Never.  
 7 Q. There was some implication that  
 8 perhaps there's something wrong with a law firm  
 9 or anyone else doing screenings of people who  
 10 have been occupationally exposed to toxins.  
 11 Do you agree with that?  
 12 DEFENSE COUNSEL: Objection,  
 13 form.  
 14 THE WITNESS: The issue for me as  
 15 a physician is to get as many people evaluated  
 16 as possible.  
 17 I certainly want to do that, for  
 18 example, on mammography. I don't want to  
 19 divest for a second. But to that extent that I  
 20 can screen people by doing breast exams and  
 21 pick up early breast lesions, I could really  
 22 impact on survivability for those patients.  
 23 And that's starting to happen in  
 24 the field of mammography because we are getting  
 25 more people coming into and being screened.

1 To that extent that I could bring  
 2 people into the process of getting a diagnosis  
 3 and having them then get into possible  
 4 therapeutic intervention, that's in that  
 5 patient's best interest.  
 6 Picking up an early  
 7 asbestos-related carcinoma where intervention  
 8 could save his life is absolutely what this  
 9 whole thing is about for a physician.  
 10 Making the diagnosis. That's  
 11 what I do. I mean, that's how I get my  
 12 jollies. I read films.  
 13 You guys are lawyers. I'm a  
 14 radiologist. I read films. To that extent  
 15 that my reading films results in a patient  
 16 having a diagnosis so that therapeutic  
 17 intervention can be made, that's good.  
 18 I am appalled if the particular  
 19 advertising that's used inappropriately is  
 20 alarmist in nature to bring people into that.  
 21 On the other hand, I got to tell  
 22 you, the end product of having people come into  
 23 the process of being screened is good. But I  
 24 certainly don't want to do that through fear  
 25 tactics.

1 And clearly what was shown to me  
 2 with respect to advertisements, one, I didn't  
 3 know about, and, two, it appalls my  
 4 sensitivity.  
 5 BY MS. BOONE:  
 6 Q. I believe you're referring to Exhibit  
 7 No. 5 which was shown to you earlier. Was that  
 8 the first time that you had seen that document?  
 9 A. Yes.  
 10 Q. Did you have any part in drafting  
 11 what's depicted in Exhibit No. 5?  
 12 A. No. The first time it was shown to me  
 13 today and it's rather obnoxious.  
 14 Q. Is it your understanding that this  
 15 document was involved at all in the screening  
 16 of Mr. Drosche or Mr. Doelitsch?  
 17 A. It's clearly not involved in either  
 18 one of these because in the patients that were  
 19 screened they were screened by a different  
 20 imaging company, Health Screen, that is not  
 21 related to either one of these documents.  
 22 Q. Earlier you mentioned a term that I  
 23 don't know that the jury would be familiar  
 24 with: dust burden. Can you define what you  
 25 meant earlier when you talked about dust

1 burden?  
 2 A. The dust burden is the amount of  
 3 asbestos dust particles and fiber that are  
 4 within the patient.  
 5 Now, to that extent that we're talking  
 6 about asbestos, we're talking about that burden  
 7 within the lungs.  
 8 In patients such as these that have  
 9 interstitial fibrosis, the literature as I  
 10 indicated through, for example, classic  
 11 articles by Whitwell in the Journal of Thorax  
 12 in 1977, document at minimum of 3 million  
 13 fibers of dust, asbestos fibers per gram of  
 14 lung tissue.  
 15 How small is a gram of lung tissue?  
 16 It takes 454 grams to make up a pound. So  
 17 you're talking about a very tiny piece of lung  
 18 containing 3 million fibers at least of  
 19 asbestos.  
 20 That's the asbestos dust burden that's  
 21 recognized in the literature as being  
 22 associated with interstitial fibrosis. I can't  
 23 see that dust, but scientifically it's proven  
 24 to be there. I just see what it has caused,  
 25 the scarring of the lungs and the fibrosis.

1 Q. Earlier in your testimony you talked  
 2 about the consistency among reading and you  
 3 were going to look for an article that talked  
 4 about the consistency among different readings.  
 5 A. Yes.  
 6 Q. Have you found that information?  
 7 A. Yes.  
 8 Q. Just to clarify the record.  
 9 A. I had indicated off the top of my head  
 10 that when a radiologist is asked to read a  
 11 group of films and grade those films and then  
 12 asked to go back and mix them up and reread  
 13 that group of films, remarkably he's 96 percent  
 14 reliable consistent and accurate. There's only  
 15 a 3.9 percent inter-observer error. That means  
 16 the radiologist reading the same material twice  
 17 on separate days so there's no memory involved  
 18 is 3.9 percent.  
 19 When two radiologists look at the same  
 20 material, they are 82 percent accurate in  
 21 delineating the exact same level of  
 22 interstitial fibrosis when doing an ILO  
 23 classification.  
 24 That was off the top of my head.  
 25 And I would like to quote from a

Page 214

1 classic article by Warren Gefter, professor of  
 2 radiology at the Hospital of University of  
 3 Pennsylvania, Issues and Controversies in the  
 4 Plain Film Diagnosis of Asbestos-related  
 5 Disorders in the Chest, Journal of Thoracic  
 6 Imaging, Volume 3, Issue 4, 1988.  
 7 He says, quote, using the ILO  
 8 classification for profusion of small irregular  
 9 opacities showed an intra-observer variation of  
 10 3.9 percent -- precisely the number that I  
 11 indicated -- and an inter-observer variation of  
 12 18 percent -- precisely the number that I  
 13 indicated.  
 14 That's a remarkable consistency in the  
 15 evaluation of these films talking about people  
 16 that have similar training and background  
 17 bringing to bear that expertise coming up with  
 18 incredibly reliable and consistent methodology.  
 19 DEFENSE COUNSEL: Objection to  
 20 the non-responsive portion of that answer.  
 21 MS. BOONE: Thank you, Doctor.  
 22 That's all I have.  
 23 MR. ROSSICK: Doctor, I've got  
 24 two really quick questions.  
 25

Page 215

1 BY MR. ROSSICK:  
 2 Q. Do you recall when you were talking  
 3 earlier about the standard films, standard  
 4 NIOSH films superseding the ILO guidelines, do  
 5 you recall seeing that?  
 6 A. Yes. The films always supersede the  
 7 guidelines.  
 8 Q. Is that published anywhere, that  
 9 statement?  
 10 A. In your guidelines.  
 11 Q. In the guidelines?  
 12 A. Sure. Give me the guidelines and I  
 13 will show it to you.  
 14 A. I don't have a copy.  
 15 Q. You had a copy before. But anyway,  
 16 take my word for it. Not take my word for it.  
 17 I'm telling you the truth.  
 18 Q. It's stated in the guidelines?  
 19 A. There's no way that rhetoric would  
 20 ever take and be superimposed upon, from a  
 21 credentialing point of view, radiologists  
 22 interpreting films as compared to the standard  
 23 films.  
 24 Nothing supersedes the standard films.  
 25 Q. Next question: Earlier you stated you

Page 216

1 have -- when you received -- when you do these  
 2 NIOSH B readings particularly from the medical  
 3 legal consultations, that there's no  
 4 doctor/patient relationship formed, correct?  
 5 A. No treating physician relationship.  
 6 Q. In your mind do you owe the patients a  
 7 medical duty of care that you see when you do  
 8 consultations that you receive in the context  
 9 when litigation is involved?  
 10 A. I think I would need two years of  
 11 legal training to be able to make a answer to  
 12 that question and to have any understanding of  
 13 any validity to my answer.  
 14 You're way beyond my ability to answer  
 15 that. Let me try to simplistically indicate to  
 16 you that when I am interpreting this, I am  
 17 doing attorney work product. And put it in  
 18 that context.  
 19 Q. Doctor, when you receive -- I am  
 20 talking about when you receive cases from a law  
 21 firm like in this case from the Nix Patterson  
 22 firm, when you see a anomaly that's not an  
 23 asbestos-related disease -- for instance, say,  
 24 you see a potential for congestive heart  
 25 failure -- do you notify the patient's treating

Page 217

1 physician or do you notify the law firm?  
 2 A. I don't know who the patient's  
 3 treating physician is. I don't even know the  
 4 patient's address. It's often not given to me.  
 5 So I only notify the people that I can.  
 6 And I do that by three different  
 7 methodologies because that's the only  
 8 information that I have available to me.  
 9 Q. Do you believe that you have a medical  
 10 ethical duty to follow up, make sure that that  
 11 patient gets appropriate medical care? Or in  
 12 your mind your -- in your mind -- your only  
 13 duty is owed to make sure that the law firm  
 14 that you receive a case from is notified about  
 15 some other type of medical anomaly such as  
 16 that?  
 17 A. As you can well imagine, because of  
 18 the situation of not having the patient's name,  
 19 address, phone number, that sort of thing --  
 20 because it's not provided to me -- and also the  
 21 fact that films that I'm asked to review are  
 22 often two years old, it obfuscates and  
 23 complicates my ability to find out, one,  
 24 whether or not they are going to get this  
 25 follow up, whether or not in some cases they

Page 218

1 are even alive.  
 2 I can only go to the contact people  
 3 that I have and make sure that they do it and  
 4 have been assured by them that when possible  
 5 through certified letters the patients are  
 6 contacted.  
 7 Now, anecdotally, I have received  
 8 lesions of letters thanking me for picking up  
 9 acute TB or picking up an early tumor or  
 10 picking up a pneumothorax. That's just the  
 11 nature of the beast.  
 12 MR. ROSSICK: Objection to the  
 13 non-responsive portion of the answer.  
 14 BY MR. ROSSICK:  
 15 Q. Doctor, the only relationship you have  
 16 when you get cases from a law firm is a  
 17 contractual relationship with that law firm,  
 18 correct?  
 19 A. Again, I think two years of legal  
 20 training would help me answer that. I don't  
 21 know what you mean by a contractual  
 22 relationship.  
 23 I am asked to do a report and I offer  
 24 a report on a fee-for-service basis. I have no  
 25 idea whether or not you consider that a

Page 219

1 contract and I have no idea whether or not  
 2 that's considered a treating doctor physician  
 3 relationship. It's a, for lack of a better  
 4 term, an expert report.  
 5 Q. The only relationship in your mind  
 6 that you have is with the law firm, correct?  
 7 A. Very often a law firm is not even  
 8 involved. I'm involved with the union or I'm  
 9 involved with the Health and Welfare Council or  
 10 I'm involved with the United States government  
 11 or I'm involved with an imaging company.  
 12 Q. Last question: When you receive a  
 13 case from a law firm, the only relationship  
 14 that you have in your mind is with that law  
 15 firm, correct?  
 16 A. When I receive a case from a law firm?  
 17 Q. To do a B reading.  
 18 A. My response -- what my response has  
 19 been has been to bring to bear my education and  
 20 training to do an accurate diagnosis of the  
 21 material that's presented to me; and if there's  
 22 anything that requires immediate management, to  
 23 make sure that the people who have contacted me  
 24 know it so that they go ahead and contact the  
 25 individual involved.

Page 220

1 Q. And that relationship is limited to  
 2 that law firm, correct?  
 3 A. I have no way of getting past that. I  
 4 don't have the information.  
 5 MR. ROSSICK: I think you  
 6 answered the question. Thank you very much,  
 7 sir.  
 8 MR. BURNS: I have one question,  
 9 Doctor.  
 10 BY MR. BURNS:  
 11 Q. Do you have copies of the thank you  
 12 letters that you've received, the lesion of  
 13 thank you letters you've received?  
 14 A. I'm sure I do someplace in my records.  
 15 Q. Who would know that, if you don't know  
 16 it sitting here, in your corporation?  
 17 A. I would have to go back into my files.  
 18 Q. Is that something you would do  
 19 yourself or ask somebody else to do?  
 20 A. No. That would be something I would  
 21 do myself.  
 22 Q. Are those files stored in your office  
 23 currently?  
 24 A. Not here, not at the hospital. I  
 25 don't do any of this --

Page 221

1 Q. I'm not suggesting that. In your  
 2 corporate office?  
 3 A. Whether or not they are actively in an  
 4 active file or in a basement file or even in a  
 5 storage in Pennsauken, New Jersey? I have no  
 6 idea. I have never had occasion to go back  
 7 into those files.  
 8 Q. If you don't keep any records of what  
 9 patients come in and of their records when you  
 10 diagnose them, how do you keep track of the  
 11 letters that come in in an organized manner?  
 12 A. It's not organized. It's purely an  
 13 ego thing.  
 14 DEFENSE COUNSEL: Thank you very  
 15 much, Doctor.  
 16 (Whereupon, the examination was  
 17 concluded at 9:30 p.m.)  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25



1 CAUSE NO. 01-C-753  
 2 EDDIE CAFFEY, et al. ) IN THE DISTRICT COURT OF  
 Plaintiffs ) CASS COUNTY, TEXAS  
 3 )  
 VS. )  
 4 )  
 FOSTER WHEELER )  
 5 CORPORATION et al., ) 5th JUDICIAL DISTRICT  
 6 REPORTER'S CERTIFICATION  
 DEPOSITION OF RICHARD B. LEVINE, M.D.  
 7 APRIL 21, 2003  
 8 I, NANCY TONER, Registered Professional  
 Reporter, hereby certify to the following:  
 9 That the witness, RICHARD B. LEVINE, M.D.,  
 was duly sworn by the officer and that the  
 10 transcript of the oral deposition is a true  
 record of the testimony given by the witness;  
 11 That examination and signature of the  
 witness to the deposition transcript was waived  
 12 by the witness and agreement of the parties at  
 the time of the deposition;  
 13 That the original deposition was delivered  
 by certified mail/hand-delivery to  
 14 for safekeeping on  
 That the amount of time used by each party  
 15 at the deposition is as follows:  
 16 MR. CARLOS MORALES - (01:15)  
 MR. WILLIAM G. ROSSICK - (00:50)  
 17 MR. RANDOLPH L. BURNS - (01:10)  
 MR. GREGORY H. BEVEL - (00:35)  
 18 MS. ALEXANDRA BOONE - (00:15)  
 19 That 5 is the deposition  
 officer's charges for preparing the original  
 20 deposition transcript and any copies of  
 exhibits, charged to Plaintiffs;  
 21 That pursuant to information given to the  
 deposition officer at the time said testimony  
 22 was taken, the following includes all parties  
 of record:  
 23  
 24  
 25

1 FOR THE DEFENDANTS, HALLIBURTON COMPANY;  
 BROWN & ROOT, INC.; DRESSER  
 2 INDUSTRIES, INC.,  
 Taxable Cost:  
 3 MS. CHRISTI DICKSON FEENEY  
 GODWIN GRUBER  
 4 1201 Elm Street, Suite 1700  
 Renaissance Tower  
 5 Dallas, Texas 75270  
 6 FOR THE DEFENDANT, 3M COMPANY,  
 Taxable Cost:  
 7 MR. C. JAMES ZESZUTEK  
 THORP, REED & ARMSTRONG  
 8 One Oxford Centre  
 301 Grant Street, 14th floor  
 9 Pittsburgh, Pennsylvania 15219  
 10  
 FOR THE DEFENDANT, US FILTER/PERMUTTI, INC.,  
 11 Taxable Cost:  
 MS. HOLLY A. SCHRADER  
 12 ANDREWS & KURTH, LLP  
 600 Travis, Suite 4200  
 13 Houston, Texas 77002  
 14  
 FOR THE DEFENDANT, AMERICAN OPTICAL  
 15 CORPORATION, CLEAVER BROOKS  
 Taxable Cost:  
 16 MR. CHRIS A. de la MORA  
 SAMMONS & PARKER  
 17 11200 Westheimer, Suite 520  
 Houston, Texas 77042  
 18  
 FOR THE DEFENDANT, GARLOCK,  
 19 Taxable Cost:  
 MR. ROBERT P. COLEMAN  
 20 SEGAL, McCAMBRIDGE, SINGER &  
 MAHONEY, LTD.  
 21 United Plaza  
 30 South 17th Street, Suite 1700  
 22 Philadelphia, Pennsylvania 19103  
 23  
 24  
 25

1 FOR THE PLAINTIFFS,  
 Taxable Cost:  
 2 MS. ALEXANDRA BOONE  
 NIX, PATTERSON & ROACH, L.L.P.  
 3 205 Linda Drive  
 Daingerfield, Texas 75638  
 4  
 FOR THE DEFENDANT, DAP,  
 Taxable Cost:  
 6 MR. CARLOS MORALES  
 HAWKINS, PARNELL & THACKSTON, LLP  
 7 4515 Cole Avenue  
 Suite 550  
 8 Dallas, Texas 75205  
 9  
 FOR THE DEFENDANT, THE AUSTIN COMPANY,  
 10 Taxable Cost:  
 MR. WILLIAM G. ROSSICK  
 11 WATSON ROSSICK  
 301 Congress Avenue  
 12 Suite 1350  
 Austin, Texas 78701  
 13  
 FOR THE DEFENDANT, OWENS-ILLINOIS, INC.  
 Taxable Cost:  
 15 MR. RANDOLPH L. BURNS  
 EDWARDS & GEORGE, LLP  
 16 208 North Market Street  
 Suite 400  
 17 Dallas, Texas 75202  
 18  
 FOR THE DEFENDANT, GEORGIA PACIFIC,  
 19 Taxable Cost:  
 MR. GREGORY H. BEVEL  
 20 BAILEY/CROWE & KUGLER, LLP  
 4600 Bank of America Plaza  
 21 901 Main Street  
 Dallas, Texas 75202  
 22  
 23  
 24  
 25

1 FOR THE DEEFENDANTS, REDDINGER CONSTRUCTORS,  
 J. GRAVES INSULATION  
 2 Taxable Cost:  
 MS. JENNIFER GREEN  
 3 ADAMS & COFFEY, P.C.  
 222 W. Las Colinas Boulevard  
 4 Suite 2030N  
 Irving, Texas 75039  
 5  
 6 FOR THE DEFENDANTS, DANA CORPORATION, UNION  
 CARBIDE, D.B. RILEY INC. (BABCOCK  
 BORSIG) f/w/a RILEY STOKER CORPORATION  
 7 Taxable Cost:  
 MS. MARISA A. TRASATTI  
 8 DeHAY & ELLISTON, LLP  
 36 S. Charles Street  
 9 Suite 1300  
 10 Baltimore, Maryland 21201  
 11  
 That a copy of this certificate was served  
 12 on all parties shown herein.  
 I further certify that I am neither counsel  
 13 for, related to, nor employed by any of the  
 parties in the action in which this proceeding  
 14 was taken, and further that I am not  
 financially or otherwise interested in the  
 15 outcome of the action.  
 Sworn to by me this day of ,  
 16 2003.  
 17 NANCY R. TONER, RPR  
 HENJUM GOUCHER REPORTING SERVICES, L.P.  
 18 2501 Oak Lawn Avenue  
 Oak Lawn Plaza, Suite 435  
 19 Dallas, Texas 75219  
 (214) 521-1188 FAX (214) 521-1034  
 20 1-888-656-DEPO  
 21  
 22  
 23  
 24  
 25