

1 CAUSE NO. 8111*JG99
[REDACTED], ET AL. * IN THE DISTRICT COURT
2 VS. * BRAZORIA COUNTY, TEXAS
AMOCO CHEMICAL COMPANY, * 239TH JUDICIAL DISTRICT
3 ET AL. *

4 CAUSE NO. 01-CV-1211
[REDACTED], ET AL. * IN THE DISTRICT COURT
5 VS. * GALVESTON COUNTY, TEXAS
ABLE SUPPLY COMPANY, ET AL. * 405TH JUDICIAL DISTRICT
6

7 NO. 99-CV0131
[REDACTED], ET AL. * IN THE DISTRICT COURT
VS. * GALVESTON COUNTY, TEXAS
8 AIR PRODUCTS & CHEMICALS, * 10TH JUDICIAL DISTRICT
INC., ET AL. *

9 CAUSE NO. 99-7095-E
10 [REDACTED], ET AL. * IN THE DISTRICT COURT
VS. * NEUCES COUNTY, TEXAS
11 ALCOA INC., ET AL. * 148TH JUDICIAL DISTRICT

12 CAUSE NO. 99-CV-1213
[REDACTED], ET AL. * IN THE DISTRICT COURT
13 VS. * GALVESTON COUNTY, TEXAS
AIR PRODUCTS & CHEMICALS, * 10TH JUDICIAL DISTRICT
14 INC., ET AL. *

15 CAUSE NO. 10515*JG99
[REDACTED], ET AL. * IN THE DISTRICT COURT
16 VS. * BRAZORIA COUNTY, TEXAS
AIR PRODUCTS & CHEMICALS, * 239TH JUDICIAL DISTRICT
17 INC., ET AL. *

18 CAUSE 14272*JG00
[REDACTED], ET AL. * IN THE DISTRICT COURT
19 VS. * BRAZORIA COUNTY, TEXAS
GAF CORPORATION, ET AL. * 239TH JUDICIAL DISTRICT
20

21 DEPOSITION
OF
22 JAY T. SEGARRA, M.D.
23 Taken on behalf of the Defendants
9:35 a.m., Wednesday, June 18, 2003
24
before
25 Lynn Strickler, CSR #1299

1 The deposition of JAY T. SEGARRA, M.D., taken
 2 on the 18th day of June, 2003, commencing at 9:35 a.m.,
 3 at the offices of Coast-Wide Reporters, located at 782
 4 Water Street, in the City of Biloxi, County of
 5 Harrison, State of Mississippi, before Lynn Strickler,
 6 CSR, Freelance Court Reporter and Notary Public within
 7 and for the County of Jackson, State of Mississippi.

8 APPEARANCES:

9 CARYN M. PAPANONAKIS, ESQUIRE
 10 Heard, Robins, Cloud, Lubel & Greenwood
 11 910 Travis, Suite 2020
 12 Houston, Texas 77002
 13 APPEARING FOR: The Plaintiffs.
 14 ARTHUR R. ALMQUIST, ESQUIRE
 15 Mehaffy & Weber
 16 500 Dallas Street, Suite 1200
 17 Houston, Texas 77002
 18 APPEARING FOR: The Dow Chemical Company
 19 and The Goodrich Company.

20 BIJAN R. SIAHATGAR, ESQUIRE
 21 Strasburger & Price, L.L.P.
 22 1401 McKinney Street, Suite 2200
 23 Houston, Texas 77010
 24 APPEARING FOR: Kvaerner Process, A Division
 25 of Kvaerner U.S., Inc., As Successor in
 Interest to Davy McKee Corporation, As
 Successor in Interest to Arthur G. McKee
 and Company.

26 KEVIN T. JACOBS, ESQUIRE
 27 Baker Botts, L.L.P.
 28 One Shell Plaza
 29 910 Louisiana Street
 30 Houston, Texas 77002
 31 APPEARING FOR: Marathon, BASF.

1 APPEARANCES: (CONTINUED)
 2 RANDOLPH L. BURNS, ESQUIRE
 3 Edwards & George, L.L.P.
 4 208 N. Market Street, Suite 400
 5 Dallas, Texas 75202
 6 APPEARING FOR: [REDACTED]
 7 R. DEAN CHURCH, JR., ESQUIRE
 8 Best Koepfel
 9 2030 St. Charles Avenue
 10 New Orleans, Louisiana 70130
 11 APPEARING FOR: The Austin Company.
 12 BARCLAY NICHOLSON, ESQUIRE
 13 Fulbright & Jaworski, L.L.P.
 14 1301 McKinney, Suite 5100
 15 Houston, Texas 77010
 16 APPEARING FOR: Resco Holdings, Inc.
 17 AMY LASSITTER ST. PE, ESQUIRE
 18 Dogan & Wilkinson, PLLC
 19 734 Delmas Avenue
 20 Pascagoula, Mississippi 39567
 21 APPEARING FOR: Guard-Line.
 22 JESSICA A. STACY, ESQUIRE
 23 Forman Perry Watkins Krutz & Tardy, PLLC
 24 2001 Bryan Street, Suite 1300
 25 Dallas, Texas 75201
 APPEARING FOR: Zurn Industries.
 JAMES A. PRANSKE, ESQUIRE
 Godwin, Gruber, L.L.P.
 Renaissance Tower
 1201 Elm Street, Suite 1700
 Dallas, Texas 75270
 APPEARING FOR: Brown & Root.

DOUGLAS B. DOUGHERTY, ESQUIRE
 Ellis, Carstarphen, Dougherty & Goldenthal
 720 N. Post Oak, Suite 330
 Houston, Texas 77024
 APPEARING FOR: Pharmacia Corporation,
 Formerly Known as Monsanto Company, and
 Solutia, Inc.

1 APPEARANCES: (CONTINUED)
 2 NORMAN W. PETERS, JR., ESQUIRE
 3 Kasowitz, Benson, Torres & Friedman, L.L.P.
 4 700 Louisiana Street, Suite 2200
 5 Houston, Texas 77002
 6 APPEARING FOR: CNA Holdings, Inc., and
 7 Celanese, Ltd.

8 SHAWN D. GOLDEN, ESQUIRE
 9 Gardere Wynne Sewell
 10 1000 Louisiana Street, Suite 3400
 11 Houston, Texas 77002
 12 APPEARING FOR: Wyatt Industries, Inc. and
 13 Millennium Petrochemicals, Inc., and Alcoa.
 14 RAYMOND F. GEOFFROY, III, ESQUIRE
 15 Hunton & Williams
 16 Riverfront Plaza, East Tower
 17 951 East Byrd Street
 18 Richmond, Virginia 23219
 19 APPEARING FOR: Reynolds Metals Company.

20 CHRIS RULON, ESQUIRE
 21 Bracewell & Patterson, L.L.P.
 22 800 One Alamo Center
 23 106 S. St. Mary's Street
 24 San Antonio, Texas 78205
 25 APPEARING FOR: Anheuser-Busch, Inc., and
 Southwestern Refining Company, Inc.

MARK D. RAYBURN, ESQUIRE
 Strong Pipkin Bissell & Ledyard, L.L.P.
 1400 Floor, San Jacinto Building
 595 Orleans
 Beaumont, Texas 77701
 APPEARING FOR: Occidental Chemical
 Corporation, Chevron U.S.A. Inc.,
 Chevron Chemical Company, The Lubrizol
 Corporation, Mobil Oil Corporation.

EARL H. WALKER, ESQUIRE
 Johnson Walker, L.L.P.
 1401 McKinney, Suite 1900
 Houston, Texas 77010
 APPEARING FOR: Cooper Cameron Corporation.

1 APPEARANCES: (CONTINUED)
 2 JOHN A. LaBOON, ESQUIRE
 3 Andrews & Kurth, L.L.P.
 4 600 Travis, Suite 4200
 5 Houston, Texas 77002
 6 APPEARING FOR: Amoco.

7 MARK D. THOMAS, ESQUIRE
 8 Adams and Reese, L.L.P.
 9 4400 One Houston Center
 10 1221 McKinney
 11 Houston, Texas 77010
 12 APPEARING FOR: Kraft Foods North America,
 13 Inc.

14 KENNETH L. TEKELL, JR., ESQUIRE
 15 Tekell, Book, Matthews & Limmer, L.L.P.
 16 4300 One Houston Center
 17 1221 McKinney
 18 Houston, Texas 77010
 19 APPEARING FOR: Champion Paper.
 20 TIMOTHY D. PAGEL, ESQUIRE
 21 Crivello, Carlson & Mentkowski
 22 The Empire Building
 23 710 North Plankinton Avenue
 24 Milwaukee, Wisconsin 53203
 25 APPEARING FOR: [REDACTED]

DAVID NYSTROM, ESQUIRE
 Powers & Frost, L.L.P.
 2400 One Houston Center
 1221 McKinney Street
 Houston, Texas 77010
 APPEARING FOR: Quigley, Pfizer.
 WES SPRAGUE, ESQUIRE
 Sheehy Serpe & Ware
 2500 Two Houston Center
 909 Fannin Street
 Houston, Texas 77010
 APPEARING FOR: Todd Shipyards Corporation.

1 APPEARANCES: (CONTINUED)
 2
 3 DONALD SHELTON, ESQUIRE
 4 Kirkley Schmidt Cotton, L.L.P.
 5 420 Throckmorton Street
 6 Suite 500
 7 Fort Worth, Texas 76102
 8 APPEARING FOR: DuPont.
 9
 10 MARISSA SCHOUTAN, ESQUIRE - (VIA TELEPHONE)
 11 Sammons & Parker
 12 218 N. College Drive
 13 Tyler, Texas 75702
 14 APPEARING FOR: American Optical.
 15 ---
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 By Mr. Jacobs ----- 175
 2 By Mr. Siahatgar ----- 177
 3 By Mr. Siahatgar ----- 183
 4 By Mr. Siahatgar ----- 187
 5 By Mr. Siahatgar ----- 194
 6 By Mr. Peters ----- 201
 7 By Mr. Jacobs ----- 204
 8 By Mr. Siahatgar ----- 205
 9
 10 EXAMINATION - (LONGORIA CASE GROUP)
 11 By Mr. Geoffroy ----- 212
 12 By Mr. Peters ----- 225
 13 By Mr. Rulon ----- 238
 14 By Mr. Peters ----- 242
 15 By Mr. Peters ----- 254
 16 By Mr. Golden ----- 263
 17
 18 GENERAL QUESTIONS:
 19 By Mr. Peters ----- 274
 20 By Mr. Jacobs ----- 275
 21
 22 ---
 23 NO EXHIBITS
 24 ---
 25 REPORTER'S CERTIFICATE ----- 279

 COAST-WIDE REPORTERS
 Court Reporters
 Post Office Box 95
 Biloxi, Mississippi 39533-0095
 (228) 374-5066 or (228) 875-8091

1 INDEX
 2 WITNESS: JAY T. SEGARRA, M.D. PAGE:
 3 EXAMINATION - (TWIST CASE GROUP)
 4 By Mr. Almquist ----- 10
 5 By Mr. Almquist ----- 22
 6 By Mr. Peters ----- 31
 7 By Mr. Jacobs ----- 47
 8 FURTHER EXAMINATION
 9 By Mr. Almquist ----- 54
 10 By Mr. Peters ----- 56
 11 By Mr. Jacobs ----- 60
 12 EXAMINATION
 13 By Mr. Almquist ----- 63
 14 By Mr. Almquist ----- 69
 15 By Mr. Almquist ----- 72
 16 By Mr. Almquist ----- 75
 17 By Mr. Almquist ----- 77
 18 By Mr. Peters ----- 80
 19 By Mr. Almquist ----- 91
 20 By Mr. Almquist ----- 94
 21 By Mr. Almquist ----- 100
 22 By Mr. Jacobs ----- 103
 23 By Mr. Almquist ----- 105
 24 By Mr. Peters ----- 110
 25 FURTHER EXAMINATION
 EXAMINATION
 By Mr. Almquist ----- 114
 By Mr. Almquist ----- 114
 By Mr. Golden ----- 117
 By Mr. Sprague ----- 126
 By Mr. Almquist ----- 126
 By Mr. Jacobs ----- 129
 By Mr. Almquist ----- 130
 By Mr. Almquist ----- 133
 By Mr. Almquist ----- 134
 By Mr. Almquist ----- 136
 By Mr. Almquist ----- 139
 By Mr. Sprague ----- 141
 EXAMINATION - (ZUMWALT CASE GROUP)
 By Mr. Peters ----- 143
 By Mr. Jacobs ----- 149
 EXAMINATION - (DRIVER CASE GROUP)
 By Mr. Siahatgar ----- 150
 By Mr. Peters ----- 160
 By Mr. Siahatgar ----- 161
 By Mr. Siahatgar ----- 168

1 JAY T. SEGARRA, M.D.,
 2 having been produced and first duly sworn, was examined
 3 and testified as follows:
 4 ---
 5 MR. ALMQUIST: Before we get started, I would
 6 like to put on the record that we agreed to one
 7 objection good for all present.
 8 MS. PAPANTONAKIS: Yes.
 9 MR. ALMQUIST: And for the format, we decided
 10 to address these plaintiffs individually and allow
 11 everyone who has questions concerning that
 12 plaintiff to ask questions and then move on to the
 13 next plaintiff rather than require somebody to
 14 come back and ask questions about somebody that
 15 was first asked about two hours, three hours
 16 earlier.
 17 MS. PAPANTONAKIS: Exactly. And, also, we're
 18 going to do the Twist case first.
 19 MR. ALMQUIST: And we're doing the [redacted] case
 20 first. And I guess your book is alphabetical. We
 21 can just follow through in your book if you want
 22 to.
 23 MS. PAPANTONAKIS: Yes. The first [redacted]
 24 plaintiff is [redacted]
 25

Page 10

1 EXAMINATION
2 BY MR. ALMQUIST:
3 Q. Would you state your name for the record,
4 please?
5 A. Jay Segarra.
6 Q. Dr. Segarra, we're here today to ask you
7 about some plaintiffs in several cases and we're
8 starting with the [REDACTED] case. And I have just a
9 couple of short, brief general questions before we get
10 into the individual plaintiffs.
11 I know you've testified in the past about
12 some studies that you participated in with a group in
13 California. There are several presentations that
14 resulted from that study.
15 Are you working on any current studies with
16 regard to any type of asbestos-related disease or
17 injuries?
18 A. Well, I have nothing on the front burner. I
19 have some potential projects that I haven't yet put a
20 lot of time into.
21 Q. Have you put any time into any of those
22 projects?
23 A. Yes, I have, but it's not something I'm
24 working on right this second.
25 Q. Is it anything that you would anticipate

Page 11

1 having any work product in, in the next six to eight
2 months, for example?
3 A. Probably in time for the 2004 ATS meeting.
4 Q. And what's the nature of that project?
5 A. It would be pulmonary function values in
6 certain asbestos-exposed subjects, which is the same
7 sort of theme I've been doing before. It's just
8 variations of that.
9 Q. Who are you working with on that project?
10 A. With Ray Warshaw and John Thornton and
11 sometimes Al Miller.
12 Q. Anyone else that you're working with on that
13 group?
14 A. No.
15 Q. Is there any particular group that you're
16 studying for that work?
17 A. It's not a particular group. It's just part
18 of the database.
19 Q. Is this a further analysis of the data that
20 you've already obtained?
21 A. Yes. And it's -- by database, I mean the
22 larger database and also the -- sometimes we do some
23 work on the specific database that consists of the
24 aluminum workers from Wenatchee, Washington.
25 Q. Any other projects that may be on the back

Page 12

1 burner other than this project?
2 A. No, not pertaining to occupational lung
3 disease.
4 Q. Do the armed services or the V.A. have any
5 type of criteria for diagnosing asbestosis in veterans?
6 A. Criteria. You mean like criteria that they
7 superimpose upon whatever their doctors decide, no.
8 It's up to the clinical judgment of the doctor.
9 Q. In order to award service-related
10 disability, are there any guidelines or standards for
11 the V.A. or armed services?
12 A. They meet a -- anybody who claims any kind of
13 disability, be it pulmonary or otherwise, has to meet a
14 medical board. And the medical board makes the
15 determination. The board consists of certain members.
16 Some of their criteria are general and clinical and
17 sometimes they are specific, but that's up to the board
18 to decide.
19 Q. But there's no source that you could point me
20 to in any place that would set out any type of criteria
21 that's used by the V.A. in that regard?
22 A. No. No, there's not actually. I don't work
23 for the V.A. directly, so I don't know for sure. But
24 there's not in the military, anyway. There's a
25 military regulation that governs disability and

Page 13

1 criteria for medical discharge, but those have to do
2 with fitness for duty, and that's different than
3 disability. So I don't really know what the V.A.
4 disability criteria are.
5 Q. You've indicated that these individuals that
6 you see here are -- you don't consider them to be
7 patients in the traditional sense; is that correct?
8 A. Yes, that's true. I consider there to be a
9 limited doctor-patient relationship based on flow of
10 information at the time that I see them and
11 identification of life threatening conditions that
12 might come to light during the course of the
13 examination. But they are not longitudinal patients,
14 and they're patients that I consult on, on a one-time
15 basis.
16 Q. If you were examining a patient in private
17 practice, a patient who was your individual patient,
18 not in relation to litigation, typically what protocol
19 would you follow in order to diagnose them with
20 pneumoconiosis? What tests would you order or run?
21 A. It would be the same protocol that I use for
22 my medical/legal occupational pneumoconiosis
23 evaluations, which is history -- basically it's history
24 and chest x-ray, CT scan, if it's available,
25 particularly high resolution chest CT scan.

1 If that's not available, then it's basically
2 based on the history and the x-ray. Pulmonary function
3 tests are a measure of impairment, and those are
4 included in all individuals when they're available.

5 And if I'm actually consulting with a patient in the
6 office, I would perform a physical examination.

7 Q. Typically in a private patient situation, do
8 you require the high resolution CT scan before making
9 the diagnosis?

10 A. No, I don't. I do the high resolution chest
11 CT scan if there's a clinical indication for it, such
12 as a questionable malignancy that is not satisfactorily
13 resolved by the plain chest x-ray and other
14 information.

15 Q. And I believe at the last deposition you
16 gave, you were asked some questions -- you mentioned
17 that you thought there was a new ATS standard that was
18 supposed to be published. Is that standard still not
19 out?

20 A. It's overdue, yeah. I expect it anytime.

21 Q. Let's turn to the individual plaintiffs, if
22 we could. Let's start with [REDACTED].

23 A. Okay.

24 Q. Okay. You saw Mr. [REDACTED] on April 18th of
25 2001. Does that date reflect, there at the corner, the

1 date of your report or the date that you saw him?

2 A. The date that I saw him, both.

3 Q. And do you recall whether at that time you
4 were licensed to practice medicine in the state of
5 Texas or not?

6 A. I think I had a temporary license. I don't
7 have my briefcase with me. But when I first initiated
8 the licensing process in Texas, they gave me a
9 temporary license. And then the permanent license came
10 through towards the end of the year, October or
11 November of 2001.

12 Q. And when did you get the temporary license?

13 A. Early in 2001. I don't remember the exact
14 date.

15 Q. Did you personally take the occupational
16 history from Mr. [REDACTED], or did that come from the
17 sheet that's ordinarily filled out?

18 A. Both. I mean, I took a history, but, yes,
19 this person probably filled out a sheet because most
20 people do. Not everybody does. Sometimes they don't
21 fill it out, in which case I do the whole thing myself.

22 Q. And I believe you testified -- is it true in
23 this case, as in the others, that you don't maintain
24 copies of the forms that were filled out by Mr. [REDACTED]
25 with the assistance of the nurse?

1 A. No. They're just notes. And everything that
2 was -- that would be pertinent to any occupational lung
3 disease evaluation goes into the form regardless of the
4 nature of the information. It all goes into my report.

5 Q. Does the form that is filled out by these
6 patients have any indication of the locations where
7 these exposures may have occurred? And by that, I mean
8 specific locations, naming companies?

9 A. No. I don't generally do that. I generally
10 look at the history as generic because this, to me, is
11 an objective scientific evaluation. I'm not trying to
12 attribute anything to any particular company. So I
13 tend to avoid proper names unless there's one -- only
14 one location that the patient insists that that's the
15 only place where he worked where he may have been
16 exposed to whatever substance is of interest, in which
17 case I may put that into the report, but that's rare.
18 Most of the time I avoid that.

19 Q. Do the forms that these individuals fill out
20 frequently have information about the specific location
21 of where they may have had exposures?

22 A. Sometimes that might be on the form and
23 sometimes it won't be. It depends.

24 Q. Do you ever review those forms prior to
25 giving trial testimony in a case?

1 A. No, never. The forms, as I told you before,
2 go away after the report is generated and reviewed and
3 signed and proofread and so on.

4 Q. Well, could you be a little bit more specific
5 about how they go away, Doctor?

6 A. We destroy them. They go in the trash.

7 Q. They're not returned to plaintiffs' counsel?

8 A. No. I think sometimes they were in the past.
9 I don't think we do that now. But I don't -- my office
10 staff really has control over them. I don't instruct
11 them one way or the other about the questionnaires.

12 By forms, I assume you're referring to the
13 four-page questionnaire that each patient fills out
14 when he comes to the office?

15 Q. That's correct.

16 A. Yeah. Right.

17 Q. So those forms are actually destroyed by your
18 staff?

19 A. I think so. They're supposed to be. They
20 may keep them for a month or so until there's no
21 further problems with the reports because they have my
22 notes on them. But after the reports are -- it's clear
23 there's going to be no addendums to the reports, then
24 they are discarded after that.

25 Q. The handwritten notes that you take on your

1 private patients, do you destroy those as well?
 2 A. Yeah. It's just -- it's a worksheet,
 3 basically. Whatever goes into the medical record is my
 4 report. And, for instance, at Keesler Hospital when I
 5 would -- although I handwrite most of my notes at
 6 Keesler Hospital, I dictate some of them. And whatever
 7 notes I used as I was dictating, those get put in the
 8 shredder or the trash.
 9 The new HIPAA regulations say you're supposed
 10 to shred anything that has any patient information on
 11 it. So nowadays they go into a shredder, just like any
 12 other medical office. At least they're supposed to.
 13 Q. Doctor, if you look at the pulmonary
 14 function tests that were run by -- on this, the
 15 technician is VA, slash, MA. Can you tell me who VA is
 16 or what VA, slash, MA means?
 17 A. Yes. That's the initials of the technician
 18 who actually performed the test.
 19 Q. And who was that technician?
 20 A. Valerie is her first name. Her last name, I
 21 have trouble with it. It's a hard name.
 22 Q. And do you know what MA stands for?
 23 A. No, I actually don't.
 24 Q. Do you know if she's registered --
 25 A. Oh, you know, actually I think MA might be

1 her last name. And VA is, I think, just the first two
 2 letters of her first name.
 3 Q. Do you know if she's a registered pulmonary
 4 function technician in the state of Texas?
 5 A. I believe she is. She's worked -- I know
 6 she's worked for years at a large hospital in Austin,
 7 Texas. I don't know exactly what her certifications
 8 are. But Linda Holland who employs her has all that
 9 information.
 10 Q. Do you know if the equipment they use is
 11 registered with the State of Texas?
 12 A. I believe it is. I'm not sure that
 13 pulmonary function equipment needs to be registered,
 14 but I don't know. All that information, Linda Holland
 15 would have that stuff.
 16 Q. And is the same true with respect to how
 17 frequently and how the machines are calibrated? Do you
 18 have any information on that?
 19 A. Well, I know that this system is calibrated
 20 after every patient.
 21 Q. And how do you know that?
 22 A. Because they -- there's a self-calibration
 23 module on the machine that does that, and that's part
 24 of the protocol for doing the test. And if the machine
 25 falls out of calibration, quote, unquote, it goes down

1 until it comes back into calibration. That's the
 2 protocol for handling the Sensor Medics equipment, and
 3 those machines have become popular because of that
 4 feature.
 5 Q. Mr. [REDACTED] gives you a history of being
 6 short of breath. Is that an unusual history in a
 7 seventy-one year old man, getting short of breath doing
 8 physical activity?
 9 A. No.
 10 Q. His pulmonary function tests were normal; is
 11 that correct, Doctor?
 12 A. Yes.
 13 Q. Probably aided by the fact that he quit
 14 smoking back in 1971, I would imagine?
 15 A. Yeah. It certainly improved the odds for
 16 him. His lung volumes are at the lower end of the
 17 normal range, but they're still within normal limits.
 18 Q. If you'll assume with me for a moment that
 19 Mr. [REDACTED] testimony in this case is that he was a --
 20 with respect to my client, The Dow Chemical Company,
 21 that he may have been there nine hours a week as a
 22 truck driver, where he either sat in the truck or went
 23 to a waiting room for his truck to be loaded and that
 24 this occurred over a period of about two or three
 25 years. Do you have any opinion as to whether or not

1 that could have contributed to any pneumoconiosis that
 2 you may have diagnosed in Mr. [REDACTED]?
 3 MS. PAPANTONAKIS: Object to form.
 4 A. Well, I think that it could have contributed
 5 to his pneumoconiosis, yes. I don't think that it
 6 would be likely that that would be a significant
 7 component of asbestosis. It certainly could be the
 8 sole cause of mesothelioma since, as you know, the
 9 threshold level of exposure for mesothelioma, if it
 10 exists at all, is very low.
 11 MR. SHELTON: Objection; nonresponsive.
 12 BY MR. ALMQUIST:
 13 Q. Given the history that was given by Mr.
 14 [REDACTED] of his other exposures, do you believe that he
 15 would have developed a pneumoconiosis even if he had
 16 not had these visits to Dow as a truck driver?
 17 MS. PAPANTONAKIS: Object to form.
 18 A. He probably would have, yes.
 19 BY MR. ALMQUIST:
 20 Q. Do you have an opinion as to whether Mr.
 21 [REDACTED] is more likely than not to develop any
 22 malignancy in the future as a result of his asbestos
 23 exposure.
 24 Well, let me break that down. Do you have an
 25 opinion, specifically with respect to mesothelioma,

1 whether he's more likely than not to develop
2 mesothelioma in the future as a result of his asbestos
3 exposure?

4 A. Although his risk for developing mesothelioma
5 is greatly increased as a result of his asbestos
6 exposure, the absolute probability of contracting that
7 disease is far less than fifty/fifty.

8 Q. Okay. Same question with respect to lung
9 cancer?

10 A. In respect to lung cancer, although his risk
11 is increased similar to my last statement, it does not
12 approach fifty/fifty.

13 Q. And the same question with respect to any
14 other asbestos-related cancer?

15 A. My answer would be the same.

16 MR. ALMQUIST: I believe that's all the
17 questions I have of Mr. [REDACTED]

18 MR. PETERS: I don't have [REDACTED]

19 MS. PAPANTONAKIS: If no one else has any
20 questions about Mr. [REDACTED] we'll move on to the
21 next plaintiff, which is [REDACTED].

22 ---

23 EXAMINATION

24 BY MR. ALMQUIST:

25 Q. [REDACTED] You want to take a moment

1 that it's good for everyone to quit smoking. But
2 people who have been exposed to asbestos have a
3 particular reason to quit smoking because of the
4 synergistic increase in risk for lung cancer. I don't
5 use those words exactly, but I kind of fleshed that out
6 a little bit.

7 Q. His history indicated he had a pneumothorax
8 in 1956. Can you tell us what's meant by pneumothorax?

9 A. It's air between the lung and the pleural
10 space. The common term would be a collapsed lung.
11 That covers more ground than a pneumothorax is. But
12 basically it means that his lung collapsed suddenly
13 probably because a little air sac ruptured in the wall
14 of the lung.

15 Q. Do you have any indication that that has
16 anything to do with any occupational exposures he may
17 have had?

18 A. I doubt strongly that it would have.

19 Q. Also, he has a history of seven or eight
20 years ago having an unknown pulmonary infection. Do
21 you have any indication that that pulmonary infection
22 had any connection with any occupational exposures to
23 any substance?

24 A. Well, hold on a second. He has upper lobe
25 infiltrates which are consistent with two different

1 to look over his report, Doctor?

2 A. I could. Although if you just give me time
3 to answer each of your questions, I can probably catch
4 up as you go.

5 Q. You saw Mr. [REDACTED] in October of 2001; is
6 that correct?

7 A. October 10th, yes.

8 Q. It looks like Mr. [REDACTED] indicated his
9 first asbestos exposure actually occurred in the Navy
10 from '54 to '58, where he was actually working with
11 powdered asbestos; is that correct?

12 A. Correct.

13 Q. Looks like beginning in the mid '60s, he's
14 talking about construction in chemical plants and power
15 plants. Is that also what you recall of his history?

16 A. Yes. That's what I got from him and from his
17 questionnaire; right.

18 Q. You noted in his history here that he did
19 smoke -- matter of fact is continuing to smoke one and
20 a half packs of cigarettes daily for fifty years; is
21 that correct?

22 A. That's right.

23 Q. What did you advise him with respect to that
24 cigarette smoking, Doctor?

25 A. The same as I advise everybody, which is

1 processes that are vastly different. One of them would
2 be silicosis, based on his silica exposure that he
3 described. And the other, though, would be
4 histoplasmosis or another type of pulmonary infection,
5 such as tuberculosis or other fungal infections.

6 In this particular patient, because of that
7 history of infection, each of those could account for
8 the upper lobe changes. Not the lower lobe changes,
9 but the upper lobe changes.

10 Q. What are the other significant medical
11 conditions that Mr. [REDACTED] suffers from at this time?

12 A. Well, if you go to my diagnosis and
13 impression section, from a pulmonary point of view I
14 diagnosed him with pulmonary asbestosis; mild chronic
15 obstructive pulmonary disease, or emphysema, depending
16 on which term you want to use; the bilateral upper lobe
17 infiltrates that I just described to you a minute ago.
18 And those are his three pulmonary diagnoses.

19 I noted also that he had a history of heart
20 disease and diabetes, which you can read in the history
21 section of my report on page one.

22 Q. Now, in the case of Mr. [REDACTED], you did a
23 chest x-ray and you have a profusion level of 1/0.

24 A. That's right.

25 Q. And I believe you testified in the previous

Page 26

1 deposition that's the first abnormal level of
2 interstitial fibrosis?
3 A. Under the ILO classification system, it's the
4 first abnormal category as you look at the twelve
5 boxes.
6 Q. And when the profusion level is listed as
7 1/0, what's the significance of the zero portion of
8 that?
9 A. It means that at some point during the
10 deliberation, the zero standard was considered and
11 looked at and ultimately rejected in favor of the 1
12 standard.
13 Q. But then in this particular patient, you did
14 have to consider a zero or negative finding, normal
15 finding, before reaching your conclusion?
16 A. In the visual analysis, yes.
17 Q. Mr. [REDACTED] had no pleural plaques,
18 thickening or calcifications; is that correct?
19 A. That's right.
20 Q. With respect to the pulmonary function test,
21 there is a mild obstructive defect, which I believe you
22 indicate is related to his cigarette smoking; is that
23 correct?
24 A. I didn't actually say. I think that -- hold
25 on. I think that his cigarette smoking was the

Page 27

1 predominant cause of that. As I've testified before,
2 asbestosis in its early stages has initially an
3 obstructive effect on pulmonary function testing due to
4 peribronchial fibrosis, which is the earliest
5 pathologic change in asbestosis.
6 And then there's a third reason why he might
7 have obstructive disease, and that is the upper lobe
8 nodule infiltrates. Whether they're related to
9 silicosis or to pulmonary infection, fungal disease or
10 TB, that can also have -- can be contributing to the
11 airflow obstruction.
12 Q. Now, you do indicate in your report that
13 there is some reduction in diffusion capacity that
14 you -- which you say provides physiological correlation
15 for your interstitial radiographic abnormalities.
16 A. That's right.
17 Q. Are there other causes of reduced diffusion
18 capacity?
19 A. Yes. There are -- it's quite possible that
20 his -- the reduction in his diffusion capacity is
21 multifactorial, with asbestosis being one of the
22 factors.
23 Q. What are the other things that might cause a
24 reduced diffusion capacity in an individual who has not
25 been exposed to asbestos?

Page 28

1 A. Well, emphysema itself can cause a reduction
2 in diffusion capacity through destruction of the
3 capillaries that accompany the terminal bronchioles,
4 which is the area where -- the pathologic area where
5 emphysema destroys lung tissue.
6 The second explanation for the reduction in
7 DLCO would be cigarette smoking within twelve hours of
8 taking the test causes a small but measurable reduction
9 in the DLCO value. It would not account for the entire
10 reduction, however.
11 Q. In a gentleman who has got a history of
12 smoking for over fifty years, how do you confirm that
13 he's not smoked any cigarettes within the last twelve
14 hours before the test?
15 A. I can't. That's why I answered the question
16 as I did.
17 Q. Any other potential cause of the reduction
18 in diffusion capacity?
19 A. No. Covered it all.
20 Q. So those findings would not be unusual if you
21 had an individual who is not exposed to asbestos but
22 who had a fifty plus -- or a seventy-five-pack-year
23 history of smoking as Mr. [REDACTED] has?
24 MS. PAPANTONAKIS: Object to the form.
25 A. No. That leap, I can't go with you on that

Page 29

1 one, because if you look at the degree of his air --
2 his degree of reduction in diffusion capacity is out of
3 proportion to his level of airflow obstruction, so that
4 I think that it's quite likely that asbestosis is one
5 of the factors reducing his diffusion capacity even if
6 it isn't the only one.
7 MR. ALMQUIST: Object to the responsiveness.
8 BY MR. ALMQUIST:
9 Q. Could you see those types of findings in an
10 individual who has not been exposed to asbestos but who
11 has the heavy smoking history?
12 A. Is it possible you mean?
13 Q. Yes.
14 A. Yes.
15 Q. With respect to the upper lobe infiltrates,
16 I'm not sure if any silica claim is being made in this
17 case or not. But is there a question in your mind as
18 to whether these are silica related or related to the
19 histoplasmosis?
20 A. I just answered that earlier. Yes, I
21 believe that there's a question.
22 Q. And so you're not here to render an opinion
23 one way or another as to the cause of the upper lobe
24 infiltrates at this time?
25 A. Well, according to my report, I thought that

1 silicosis was the more likely one, but that I'm raising
2 the possibility that it certainly could be due to some
3 pulmonary infection that I don't know about. We would
4 have to examine his medical records to tell for sure.

5 Q. Have you looked at medical records on any --
6 or do you look at medical records on any of these
7 individuals that you see?

8 A. There are times that I do. I can't recall
9 offhand whether I looked at medical records for any of
10 these individually. I don't think that I looked at any
11 on Mr. [REDACTED].

12 Q. As to Mr. [REDACTED], as on the other
13 plaintiffs, let me ask you, do you have an opinion as
14 to whether it's more likely than not as to whether or
15 not Mr. [REDACTED] will develop mesothelioma as a result
16 of his asbestos exposure?

17 A. Although his risk for mesothelioma is
18 increased, I don't -- that risk still doesn't approach
19 fifty percent.

20 Q. What about his risk of developing lung cancer
21 in the future?

22 A. I would answer that the same way.

23 Q. And with respect to his risk of developing
24 any other form of asbestos-related cancer in the
25 future?

1 A. I would answer that the same way as well.
2 MR. ALMQUIST: I believe that's all I've got
3 on Mr. [REDACTED].
4 ---

5 EXAMINATION

6 BY MR. PETERS:

7 Q. Dr. Segarra, my name is Norm Peters. I
8 represent Celanese in this litigation.

9 With respect to the answers you just provided
10 to Mr. Almquist here in the sense that none of the
11 plaintiffs that we talked about today does not have a
12 greater than fifty percent risk of developing lung
13 cancer, mesothelioma or another asbestos-related
14 malignancy, is that going to be the same answer you're
15 going to have for all these gentlemen, or is it going
16 to be on an individual basis?

17 A. That answer will be probably similar, but I
18 would reserve the right to answer individually in each
19 case. Just to amplify on your question, comparing --
20 who is the first one we did, [REDACTED]?

21 Q. Mr. [REDACTED].

22 A. [REDACTED] Excuse me. Comparing Mr. [REDACTED] to
23 Mr. [REDACTED] Mr. [REDACTED] risk for lung cancer is much
24 lower than Mr. [REDACTED] Mr. [REDACTED] has asbestosis
25 with only a remote history of smoking; whereas Mr.

1 [REDACTED] has asbestosis, he's a current smoker and he's
2 got obstructive lung disease. All of those things
3 contribute to his risk of lung cancer. And although it
4 doesn't exceed fifty percent, it comes kind of close.
5 His lifetime risk of developing lung cancer, Mr.
6 [REDACTED] is about one in three, whereas Mr. [REDACTED] is
7 less.

8 Q. Okay.

9 A. But you didn't ask me that exactly, but -- so
10 as to whether they exceed fifty percent, although mos
11 of them do not, I would reserve that, to make an
12 individual judgment on each one.

13 Q. I understand. With respect to Mr. [REDACTED]
14 exposure history, do you in any way try to quantify a
15 dose amount of exposure for these gentlemen that you
16 generally see in these medical/legal contexts?

17 A. No. No. This is a clinical evaluation. And
18 the point of the history is to determine whether the
19 history is adequate to have caused the findings that
20 are the radiographic findings.

21 If the history is inadequate to cause the
22 radiographic findings, then you have to look for
23 another explanation. It's a qualitative assessment.
24 It's not a quantitative assessment. And since it's
25 retrospective, I mean that's fairly obvious that it is

1 a qualitative assessment.

2 Q. I understand. Do you take into account the
3 craft that an individual performs in his work history
4 in determining whether or not there is enough exposure
5 to asbestos to develop disease?

6 A. I do for sure. Now, in Mr. [REDACTED] case,
7 he was pretty specific about what he did. And the
8 exact name of his job doesn't matter in his particular
9 case because he handled powdered asbestos, he worked
10 around insulators and so on and so on.

11 But in someone who is not as expressive as
12 Mr. [REDACTED], then the trade would carry more
13 importance, yes.

14 Q. Do you take into consideration or do you ask
15 these gentlemen, for instance, a welder, such as Mr.
16 [REDACTED] here, he removed asbestos from pipes and
17 boilers with hammers. Do you take into consideration
18 how long he might have performed that task or how many
19 times in a particular day, week or year in forming your
20 opinions?

21 A. When that information is available, yes. If
22 he did it only once, that's different than doing it
23 four times a week for six years. So I try to.
24 Sometimes it's not -- that's not available.

25 Q. Is that information that is provided or

1 questioned by the questionnaire?
 2 A. Well, no. The questionnaire just lists --
 3 the person writes down where he worked and what he did.
 4 And then he's asked questions about, well, what did you
 5 do there; what was your job; what are the kinds of
 6 things you were exposed to; did you work with
 7 insulation; in what way; or if you didn't handle it,
 8 did you work around it, or very rarely. And then we
 9 try to break it down into which -- each period, each
 10 job period.

11 So that what applies to the period in Mr.
 12 [REDACTED] case from 1964 to the 1990s might not apply
 13 to the period from 1954 to 1958. You know, it's not --
 14 in that way you can kind of get a sense of how much
 15 exposure he had in a clinical sense.

16 Q. Do you consider -- for instance, as you
 17 stated from 1964 to 1990s, do you believe that Mr.
 18 [REDACTED] was being exposed to asbestos insulation from
 19 pipes and boilers in the 1990s?

20 A. Well, I know -- sometimes the patients know
 21 very well when they were -- when they stopped being
 22 exposed and sometimes not, and sometimes they don't.
 23 Sometimes it's all insulation to them and they don't
 24 really know what it was composed of sometimes.

25 But I know that asbestos was the predominant

1 industrial insulator used up until 1972, and it was
 2 used sporadically after that in the U.S. But then
 3 after about 1975, most exposure between 1975 and the
 4 early 1980s was tear-out from old preexisting
 5 insulation.

6 And then after the early to mid 1980s,
 7 abatement protocols were in place. And unless there
 8 was a serious breakdown in safety protocol, there
 9 shouldn't have been significant exposure after that
 10 time. But that's what I know. The patients don't know
 11 that.

12 So is it possible he was exposed to it in the
 13 1990s? Certainly. If an abatement protocol broke
 14 down, if that big plastic thing that encloses the
 15 abatement proceedings was not air proof, he may have
 16 been exposed. Is it likely that he was exposed to
 17 asbestos then? It's hard to say, I guess I would say.

18 Q. When you prepare your reports, such as this
 19 case, from '64 to '90, do you just, I suppose,
 20 reiterate what the plaintiff indicates to you; you have
 21 no objective evaluation of the plaintiff's work history
 22 in this regard, in forming exposure assessment?

23 A. I try to report what the plaintiff says.
 24 When I form my diagnostic impression, of course I make
 25 an interpretation, but I write down what the patient

1 says. If this patient said that he worked in a
 2 clothing factory from 1985 to 1992 and he was heavily
 3 exposed to asbestos that was in the pipes and the plant
 4 came on line in 1980, well, I would have a hard time
 5 diagnosing asbestosis in that person. In fact, I
 6 wouldn't. But that's not the case here. I'm just
 7 giving you an example.

8 Q. Sure. And in that example would you note in
 9 your report why you would not believe he was
 10 diagnosed -- why you wouldn't diagnose him with
 11 asbestosis?

12 A. Because although -- I mean, I believe
 13 everything patients tell me. They can -- as I said,
 14 insulation is insulation to them. Sometimes they know
 15 quite well what the product contained and what it
 16 didn't and what the name of the product was and so on.
 17 And sometimes it's just dust and insulation to them.
 18 And I have to make an interpretive judgment based on
 19 what was likely at the time as opposed to what's not
 20 likely, which is the same thing I would do in the
 21 office in a clinical sense if I were seeing him as a
 22 regular patient, as his consulting physician or his
 23 treating physician. I would make the same kind of
 24 judgment based on what he told me. It's not magic.
 25 It's just common sense.

1 Q. You note about midway through your work
 2 history here that he worked around insulators, pipe
 3 fitters and boilermakers.

4 A. Yeah, I see it.

5 Q. Do you take into consideration his proximity
 6 to these other types of crafts going on when performing
 7 your exposure assessment?

8 A. Well, when I say "around," I mean I assume in
 9 his immediate work vicinity, like in this same big
 10 room, something like that.

11 Q. Something like that. Is that ten feet maybe?
 12 Do you use any type of quantitative --

13 A. Sometimes -- sometimes they tell me that.
 14 Sometimes they don't know or don't say.

15 Q. Do you believe there's a distance from
 16 which, say, Mr. [REDACTED] could have worked next to an
 17 insulator performing insulation work where he would
 18 have no exposure to asbestos?

19 A. That would depend on a lot of things. The
 20 answer is probably, yes, theoretically. In practice, I
 21 don't know what that would be. It would depend on
 22 whether they were working in a confined space. Depends
 23 on what the ventilation system was. Depends on whether
 24 the insulation product being used was friable and
 25 generating lots of dust or not. Depends whether it was

Page 38

1 outside or inside. There's a lot of factors.
2 Q. And do you ask your patients or these
3 plaintiffs those types of questions when evaluating
4 exposure, their exposures, in preparing your report and
5 diagnosis of these people?
6 A. Sometimes.
7 Q. Okay. Is that type of information noted on
8 your questionnaire?
9 A. Most of the time, yeah. Most of the time it
10 is. But it would also be in the report if he answered
11 one way or the other on that.
12 Q. In the absence of that information in this
13 report on Mr. [REDACTED] would that indicate that you
14 did not take that into consideration when you prepared
15 your diagnosis?
16 A. Or it may be that he didn't say or couldn't
17 remember.
18 Q. We don't know unless we have that
19 questionnaire?
20 A. No, I wouldn't say that. I mean, the fact
21 that I don't have it in there means that it was not
22 something that he wrote down or responded to.
23 Q. When you asked him, would you have written
24 down if he couldn't have responded to that? Say you
25 asked him, well, Mr. [REDACTED] you talked about working

Page 39

1 around insulators, pipe fitters and boilermakers and
2 being exposed; can you tell me how long or how far
3 away, on average, you worked around these people?
4 Would you have written down "cannot remember"?
5 A. I may -- I may have. I may or may not have.
6 Most of them, when they say they worked around it, they
7 mean as far away as from me to the people sitting in
8 the other room. That's what they usually mean. Now,
9 they may not always mean that, but they usually mean
10 that.
11 Q. We don't know unless we ask?
12 A. Well, I do ask.
13 Q. And you know whether or not they did?
14 A. Sometimes they remember. Sometimes they
15 don't. Sometimes they just say, I don't recall; it was
16 close by; I don't recall the exact distance. That's
17 usually what they say.
18 Q. What does the new HIPAA law say with respect
19 to destroying questionnaires in the medical/legal
20 context?
21 A. The HIPAA law says nothing about that. It
22 has to do with protecting patient privacy. And
23 information with patient names on it need to be --
24 should be handled in a -- there should be an office
25 protocol for handling such information.

Page 40

1 Questionnaires or doctor notes are not part
2 of the medical record unless they stand alone by
3 themselves. And the questionnaires that I use when
4 patients come to the office are not part of the medical
5 record, they are my notes. And the report is part of
6 the medical record.
7 That's the same as any other doctor who does
8 any other kind of evaluation. The notes that he takes
9 prior to performing his report are not necessarily part
10 of the medical record. It's not an unusual practice at
11 all. It's very common.
12 MR. SHELTON: Objection; nonresponsive.
13 BY MR. PETERS:
14 Q. These gentlemen are not your patients;
15 correct?
16 A. Well, as I told you, as I -- we covered this
17 ground. But at the time that I see them, there's a
18 limited doctor-patient relationship. They are not my
19 regular consulting or primary care patients, no.
20 Q. How would you define a limited patient? I
21 don't understand what you're talking -- I see it either
22 as they're a patient or they're not a patient, and I
23 don't understand what you're telling me here. I don't
24 know if you can better explain it to me, or is there a
25 legal definition or medical definition or --

Page 41

1 A. I don't know what the legal definition would
2 be. The medical definition is that I'm consulting on
3 them on a one-time basis to give them an opinion about
4 their -- the nature of their lung disease, if it exists
5 at all. And my obligations are to convey that
6 information to them and to discuss its implications
7 with them and give them some instruction for follow-up.
8 If there is an unsuspected finding that comes
9 up in the course of that evaluation that has an
10 immediate impact upon them clinically, then I must
11 communicate that to them. That's pretty much where it
12 stops.
13 Q. You produced your questionnaires previously
14 in this type of medical/legal context; correct?
15 A. Have I produced them?
16 Q. Yes.
17 A. I don't know.
18 Q. Has any of the attorneys for which you have
19 performed these medical/legal contexts asked you to
20 destroy those questionnaires?
21 A. No.
22 Q. Has any of them asked you to retain those
23 questionnaires?
24 A. No.
25 Q. Those questionnaires do form the basis for

1 your report; correct?
 2 A. They're my notes that I use when I dictate my
 3 report, yes. There's additional information in my
 4 report that's not in the questionnaires which is in my
 5 head at the time that I do it. I dictate the reports
 6 five minutes after I see the patient, so there's
 7 information that I have made mental notes on that I
 8 didn't actually write down on the questionnaire.
 9 Q. But you use the questionnaire in preparing
 10 your report?
 11 A. Yes.
 12 Q. Looking at Mr. [REDACTED] here, particularly
 13 the chest x-ray section, where you indicate there's
 14 other findings in the upper lobes which you may think
 15 are consistent with silicosis or tuberculosis or other
 16 fungal disease, did you consider obtaining prior films
 17 of Mr. [REDACTED] to determine the etiology of those
 18 findings?
 19 A. Well, I did have a prior film from 1998 and
 20 they were unchanged. So all that tells me is that the
 21 upper lobe changes were not related to cancer because
 22 there would have been a change from 1998 to 2001.
 23 Beyond that, I can't say.
 24 Q. In that prior film that you generally review
 25 during your reevaluations of these plaintiffs, it's

1 usually a screening B-Read; right?
 2 A. I don't know.
 3 Q. You don't know?
 4 A. It doesn't say. It would make sense that it
 5 would be, but I don't know for sure.
 6 Q. Are you ever provided with the B-Read from
 7 the screenings of these plaintiffs?
 8 A. Sometimes.
 9 Q. Not all the time?
 10 A. But not all the time.
 11 Q. Okay. If there did exist some prior films of
 12 Mr. [REDACTED] other than this 1998 film of June 24th, in
 13 your regular practice if he was a patient of yours, you
 14 would try to obtain those and look at those and try to
 15 determine what's going on in his lungs; is that
 16 correct?
 17 A. Oh, I think I did determine what's going on
 18 in his lungs, pretty much. And he has a stable -- with
 19 a stable x-ray over three years, I don't think that
 20 it's possible to know much further what's going on in
 21 his lungs, as you say, since that film was three years
 22 prior to the other one. I think if there were -- if
 23 his situation were deteriorating or there was a
 24 question of cancer, then I would do some more invasive
 25 testing. But as it is, I don't think that's clinically

1 necessary.
 2 Q. So correct me if I'm wrong, I think I
 3 understand your answer; if Mr. [REDACTED] was a patient
 4 of yours and he had some particular issues with his
 5 lungs and gives a history of a pulmonary infection, you
 6 do not think that it's necessary to look at his prior
 7 films in determining the status of Mr. [REDACTED]
 8 condition right now?
 9 A. If his x-ray was stable over a three-year
 10 period --
 11 Q. Right.
 12 A. -- then it would not be crucial to do further
 13 tests to find out exactly what that -- what those
 14 infiltrates were.
 15 Now, having said that, prior to certain
 16 things that would happen to him clinically, I might do
 17 some other things to evaluate that, such as before I
 18 put him on steroids, which I'm not thinking of doing,
 19 he has no reason to take them, but if he did -- if I
 20 did do that, prior to doing that I would do a skin test
 21 for tuberculosis to check to see if he has been exposed
 22 to tuberculosis. And I would probably try to obtain
 23 old medical records for him. But if I were not
 24 contemplating doing that and there was no questionable
 25 malignancy, that would be unnecessary.

1 Q. Where is the perihilar region?
 2 A. We're changing the subject now?
 3 Q. Yeah.
 4 A. Okay.
 5 Q. Where is the perihilar?
 6 A. Perihilar is the area of the lung that
 7 surrounds the center of the chest.
 8 Q. What's a granuloma?
 9 A. It's a scar that results from infection in
 10 the lung, usually with tuberculosis or fungal disease,
 11 where the infection has become engulfed by the immune
 12 system in the lung and arrested and it leaves a little
 13 pock mark. Almost everybody has them who lives in the
 14 south.
 15 Q. Where is the apex?
 16 A. The tops of the lung.
 17 Q. You testified just a moment ago that
 18 diffusion capacity can be reduced in an individual who
 19 has smoked within twelve hours of the actual pulmonary
 20 function test?
 21 A. The DLCO value can be artificially lowered if
 22 you smoke within twelve hours of the test.
 23 Q. Would it change -- could it be lowered even
 24 further if he smoked within two hours of the test?
 25 A. I mean, sure. The closer you have smoked to

Page 46

1 the test, the more of an impact it will have. But the
2 maximum possibility of the impact on the DLCO value is
3 thirty percent or so.
4 Q. And thirty percent of predicted value, would
5 we add thirty percent to the forty-seven percent that's
6 indicated in the DLCO right here, or was it thirty
7 percent of the forty-seven percent?
8 A. That's the maximum impact. The average
9 impact is much less than that. We were talking
10 theoretically before. If we're going to talk about
11 him, we would have to decide what's the most likely
12 impact of current smoking. And I don't even know if he
13 smoked within twelve hours. I really don't know if he
14 did or not.
15 Q. Right. And I am talking generally. I'm just
16 trying to figure this out. Now, thirty percent maximum
17 impact, would that -- how would that arise? I guess
18 how soon before the PFT would he have to smoke, if
19 that's how it's determined?
20 A. It's not determined. It's just what's
21 likely. It's not -- it's not something you can
22 calculate. It's not like anemia, for instance, which
23 has a measurable, more of a quantitatively predictable
24 impact on the DLCO value.
25 Smoking has a very variable impact. It may

Page 47

1 have none at all. You could smoke prior to your DLCO
2 test and it could still be a hundred percent. It might
3 not change at all. But when it does change, the
4 maximum possible change is about thirty percent.
5 Sometimes it's nothing. Sometimes it's five percent.
6 Might be fifteen percent. It varies.
7 Q. Okay. Mr. [REDACTED] didn't present with any
8 rales, did he?
9 A. I didn't hear any, I don't think. Let me
10 look back on this. No, no rales on him.
11 MR. PETERS: I will pass the witness.
12 ---
13 EXAMINATION
14 BY MR. JACOBS:
15 Q. Doctor, I've got a few questions for you.
16 A. Okay.
17 Q. Looking back on your diagnosis and
18 impression, you list number four as history of heart
19 disease; correct?
20 A. Yes.
21 Q. Do you agree that heart disease is the
22 number one cause of death in Americans?
23 A. Yes.
24 Q. Okay. And what are the risk factors for
25 heart disease?

Page 48

1 A. Family history, number one. Number two would
2 be -- is debatable. That's the most important one.
3 But the others would be age, being male, smoking,
4 hypertension, diabetes. And the most underappreciated
5 one, which is becoming maybe second after family
6 history, is inactivity, couch potato.
7 Q. Lack of exercise?
8 A. Lack of regular exercise; right.
9 Q. Let me look on the first page of your report,
10 and in the chest x-ray section you refer to the 1980
11 ILO classification guidelines; correct?
12 A. Yes.
13 Q. And you refer to those -- that classification
14 guideline from 1980 in all these reports, I think?
15 A. Sure.
16 Q. And those are the guidelines issued by the
17 ILO that instruct readers of chest x-rays, such as
18 yourself, on how to record the findings you see on
19 those x-rays?
20 A. That's right.
21 Q. And when did you first pass -- you passed the
22 B-Reader exam, I think, in '91?
23 A. I took the test in '92. And by the time it's
24 graded, they issue your certification two months later,
25 so that would be January of '93.

Page 49

1 Q. And you're still a B-Reader today?
2 A. Yes.
3 Q. And you were a B-Reader when you examined
4 Mr. [REDACTED] and the rest of these gentlemen; correct?
5 A. Yes.
6 Q. In order to pass that B-Reader certification
7 exam, you've got to know and understand those 1980
8 guidelines?
9 A. Right.
10 Q. And you follow those guidelines when you
11 perform your B-Readings?
12 A. Yes.
13 Q. Now, the 1980 ILO guidelines do a lot of
14 things. But one of the things they do is they're an
15 attempt to ensure uniformity in interpreting films;
16 correct?
17 A. Well, you can't ensure uniformity. They're
18 an attempt to increase consistency with -- in reading
19 the films, yes.
20 Q. And I have a copy of the 1980 guidelines. I
21 may refer to some of them. Would you like a copy,
22 Doctor?
23 A. If you're going to refer to a specific
24 passage, pass it over. If you're not going to, I don't
25 need them.

Page 50

1 Q. Okay. I'll hand you a copy.
2 A. Okay.
3 Q. And I don't think we need to mark this as an
4 exhibit. I'm sure everyone here has it.
5 A. There's actually a new one, by the way, but
6 it doesn't change anything that's in here other than a
7 couple minor symbols. But the new one, the 2000
8 edition which just came out December of 2002, is
9 exactly the same as the 1980 edition. And there's no
10 change in radiographic interpretation protocol between
11 1980 and the 2000 edition.
12 Q. You did mention there were some minor
13 changes. What are those minor changes?
14 A. Not minor changes. There's a couple of
15 symbols on the -- additional symbols that have been --
16 that the additional symbols have been expanded a little
17 to include a couple symbols that weren't present on the
18 1980 one. But the additional symbols, by definition,
19 have nothing to do with pneumoconiosis. They're just
20 extra markings.
21 Q. I think I understand what you're talking
22 about.
23 A. Okay.
24 MR. JACOBS: I have an extra copy for you,
25 Caryn, if you'd like to take a look.

Page 51

1 BY MR. JACOBS:
2 Q. Why don't we look on page three. And at the
3 top there it reads: There are no features to be seen
4 in a chest radiograph which are pathognomonic of dust
5 exposure. What does the term pathognomonic mean,
6 Doctor?
7 A. What that says is that there is nothing
8 in -- pathognomonic means that what you're looking at,
9 by definition, means that this person has this disease
10 one hundred percent and could not possibly have any
11 other disease. That's what pathognomonic means.
12 Q. Let me ask you about the twelve boxes in the
13 classification system very briefly. The scale goes
14 from zero to three; correct, in terms of the degree of
15 fibrosis?
16 A. The major scale, yes. Right.
17 Q. With zero meaning no abnormalities and three
18 moving up to significant abnormalities?
19 A. Zero doesn't mean no abnormalities. It means
20 that the -- it means that the -- it just means the
21 normal category. There could be minor abnormalities
22 that don't reach a threshold for being abnormal in a
23 global sense.
24 Q. But what you do with the chest x-ray is you
25 compare it to the standard -- the chest x-ray of an

Page 52

1 individual who you're diagnosing, you take a look at
2 his chest x-ray compared with the standard ILO films;
3 correct?
4 A. That's right.
5 Q. And that process, can you describe your
6 process for when you actually physically view the
7 x-rays? What do you personally do when evaluating
8 them? How do you arrange them and things of that
9 nature?
10 A. You mean what do I do -- how do I do a
11 B-Reading, what do I do?
12 Q. Yeah. Like you're physically sitting down
13 and reviewing the film.
14 A. Well, in my office I have a series of view
15 boxes that have the standards around them. And I put
16 the patient's film in the middle and I move them around
17 a little bit to bracket the patient's film between the
18 ones that I think match up and make the -- and do the
19 reading in that way.
20 When I'm on the road, so to speak, or I'm
21 traveling, I do the same process, but I only have two
22 view boxes -- well, two sets of two, four view boxes.
23 And so I have to take the standards out of the box and
24 put them up, just like I was doing a test. Like during
25 the test, all you have is a view box with two things

Page 53

1 and you have to keep taking them out of the box and
2 putting them up and up, up and up and so on. But it's
3 the same process.
4 MS. PAPANTONAKIS: Can we hold off on doing
5 general questions to the end? We have a lot of
6 plaintiffs to go through.
7 MR. JACOBS: I understand. But I actually do
8 have a point to what I'm doing with one of his
9 answers that he gave previously. And I'm almost
10 done.
11 BY MR. JACOBS:
12 Q. Doctor, and if you rate an x-ray as 1/1, it
13 means you're sure it's a 1; right?
14 A. It means that I considered no other category
15 other than 1 when I was looking at the film; right.
16 Q. And if you rate it as a 1/0, the zero there
17 means that it looks -- scratch that question. Let me
18 try a different one.
19 If you rate it a 1/0, it means you think it
20 looks like a 1, but it also means -- the zero means
21 that you seriously considered a zero?
22 A. Well, I would -- I mean, I don't know about
23 the word "seriously." It means that the abnormalities
24 were not quite as extensive as the standard 1/1 film.
25 And, therefore, I went back and looked at the zero film

Page 54

1 to make sure it didn't belong in the zero category.
2 MR. JACOBS: I think I'll pass him for now.
3 Thank you, Doctor.
4 MS. PAPANTONAKIS: Any other questions about
5 Mr. [REDACTED]?
6 MR. ALMQUIST: Just briefly.
7 ---
8 FURTHER EXAMINATION
9 BY MR. ALMQUIST:
10 Q. Doctor, if you would, turn back to the B-Read
11 on Mr. [REDACTED].
12 A. Which B-Read?
13 Q. Your B-Read.
14 A. The one that's part of the --
15 Q. Part of your report.
16 A. Part of my report, yeah. Okay.
17 Q. Is that your handwriting on this one or
18 someone else's handwriting?
19 A. Yeah, it's mine.
20 Q. You've indicated, I think, in prior
21 depositions that sometimes you have somebody else fill
22 these out for you?
23 A. They fill out the Social, sometimes the name
24 and everything else. All I have to do is check the
25 boxes and fill in the 4C section.

Page 55

1 Q. I'm kind of curious because I note that the
2 number one diagnosis here is bilateral upper lobe
3 nodular infiltrates consistent with silica-related
4 pneumoconiosis. And number two is pulmonary
5 asbestosis. Does that look like that's written in a
6 different ink?
7 A. Yeah. It looks like pulmonary asbestosis got
8 added later. Those aren't diagnoses. Those are just
9 other comments.
10 Q. Would you recommend that Mr. [REDACTED] see
11 his personal physician as a result of those findings?
12 A. Not on an urgent basis, but on a monitoring
13 basis, as summarized in the prognosis/recommendation
14 section.
15 Q. Did you send a copy of the report that you
16 did to his personal physician?
17 A. No. But he gets a copy of the report
18 himself, which he was encouraged to share with his
19 personal physician.
20 Q. Did you inquire as to who his personal
21 physician was?
22 A. No.
23 Q. The next page over, the pulmonary function
24 technician, LE, do you recall who LE is?
25 A. Yes. LE stands for a pulmonary function

Page 56

1 technician that I know as Zeke. He has a long Indian
2 name that I can't say.
3 Q. And do you know what RRT stands for?
4 A. I think that's registered respiratory
5 therapist, I believe.
6 Q. Do you know with whom he's registered?
7 A. I would assume the state respiratory board in
8 Texas.
9 MR. ALMQUIST: That's all I've got on Mr.
10 [REDACTED].
11 MS. PAPANTONAKIS: Anyone else have any
12 questions on Mr. [REDACTED]?
13 MR. PETERS: I just have a follow-up one.
14 ---
15 FURTHER EXAMINATION
16 BY MR. PETERS:
17 Q. I saw something I wanted to ask you about.
18 In the upper right-hand corner of your
19 report, it has the initials FS, slash, HOUS, slash, LH.
20 FS, what does that stand for; do you know?
21 A. Foster and Sear.
22 Q. And I take it the next letters are Houston?
23 A. Right.
24 Q. And then LH, Linda Holland?
25 A. Right.

Page 57

1 Q. Okay. And that's the Social Security number
2 of Mr. [REDACTED]?
3 A. That's right.
4 Q. And you went on the road to do this
5 evaluation of Mr. [REDACTED]; correct?
6 A. I went to Houston, as you pointed out.
7 Q. Yes, that's what I'm trying to get at. And
8 was Mr. -- do you know if Mr. [REDACTED] was -- and I
9 think you testified in the past you sometimes
10 previously -- before going on a trip, you see x-rays
11 and decide whether or not the person has asbestosis or
12 may have asbestosis before you go and see them?
13 A. You mean did I preview the x-rays in this
14 case?
15 Q. Yeah. Did you preview the x-rays?
16 A. I don't know whether I did or not. I'm not
17 sure.
18 Q. That's not noted anywhere?
19 A. No. I mean, if I had previewed them, there
20 sometimes would be an x-ray report. Sometimes when I
21 preview, I actually generate a report. And sometimes
22 when I preview, I just make notations on a worksheet
23 and send it back to the law firm.
24 Q. Would you send a report back to the law firm
25 in addition to a note?

Page 58

1 A. I just told you, sometimes I would send an
2 ILO form or an x-ray -- narrative x-ray paragraph when
3 I preview. And sometimes I would just have a list of
4 names and I would make handwritten notes and send that
5 back to the law firm as part of the preview process.
6 Q. Right.
7 A. But I don't even know whether I previewed
8 these x-rays or not in advance. I can't say whether I
9 did or didn't.
10 Q. Okay. But if you did, there would be some
11 indication whether or not you wanted to see this
12 individual in Houston or not?
13 A. When I preview, I don't know where they come
14 from or where I'm going to see them. But there would
15 be an indication as to whether or not I thought in
16 advance the patient likely had some pneumoconiosis or
17 not.
18 Q. Okay. Going back to the questionnaire. I
19 believe I read in a past deposition of yours, as early
20 as last August, that you -- I don't want to say always,
21 but you generally send the questionnaire back with the
22 report to the law firm.
23 A. Yeah, that's what I used to do. And now I
24 don't think we do anymore. I checked with my office.
25 I think we retain those questionnaires and then discard

Page 59

1 them, as I explained before, after the reports are no
2 longer in need of any, you know, editing or
3 proofreading or whatever.
4 Q. And this gentleman, Mr. [REDACTED], was seen in
5 October of 2001, which is prior to your deposition back
6 in August of 2002. At that time you were sending these
7 questionnaires back to the law firm after you did your
8 evaluation?
9 A. Oh, I don't know. When I first started doing
10 them, I did. When I gave the deposition, I don't know
11 whether I was referring to old reports or new ones. I
12 can't say. Unless you show me the deposition and let
13 me look at the question, I couldn't say.
14 Q. I might do that in a minute. We'll get
15 moving through Mr. [REDACTED] here.
16 Your temporary license that you received in
17 Texas in 2001, you said in early 2001 you got your
18 permanent license in Texas.
19 A. That's right.
20 Q. Does that have any limitations or
21 restrictions on your practicing medicine in the state
22 of Texas?
23 A. I don't think it includes prescribing any
24 scheduled drugs. Other than that, there was no
25 restriction.

Page 60

1 Q. And that's probably something we can get
2 with the state board on.
3 A. Sure.
4 Q. And you previously testified that sixty
5 percent of your time is devoted to litigation, and this
6 is medical/legal context. Is that still true today?
7 A. It varies month to month. Last month it
8 wasn't, but there are some months when that's quite
9 true, yes.
10 Q. And it's my understanding you were deposed
11 yesterday by some other law firms in some cases?
12 A. Not yesterday, no.
13 Q. Do you have a deposition set up tomorrow?
14 A. Friday.
15 Q. Friday. Okay.
16 MR. PETERS: I'll pass.
17
18 ---
19 FURTHER EXAMINATION
20 BY MR. JACOBS:
21 Q. I have a couple of follow-ups about the
22 questionnaires. Doctor, you refer to those
23 questionnaires as your notes; correct?
24 A. Yes.
25 Q. Now, in fact, you write on them sometimes;
right?

Page 61

1 A. Right.
2 Q. The patient or the plaintiff actually writes
3 on them as well?
4 A. That's right.
5 Q. And maybe even a third person, such as a
6 nurse or someone who is also in the office; correct?
7 A. Correct. And maybe even the technician
8 sometimes, too.
9 Q. So it has writings from lots of different
10 people?
11 A. That's true.
12 Q. Okay. And you mentioned just briefly a
13 moment ago that you changed your policy about whether
14 or not to send back the questionnaires to the law
15 firms; right?
16 A. Right.
17 Q. You don't remember exactly when you changed
18 that policy?
19 A. I don't recall, no.
20 Q. Why did you change that policy?
21 A. Well, I consider the questionnaire to be an
22 internal office document. And in regular medicine, we
23 don't consider -- doctors don't consider -- we don't
24 consider our notes as part of the medical record until
25 it's a finished product and actually goes into the

1 medical record.
 2 For instance, an allergist who sees a patient
 3 for the first time and has them fill out a
 4 questionnaire, after he dictates his consultation,
 5 those questionnaires aren't retained.
 6 When I see a patient at Keesler to do a sleep
 7 study, they fill out this long questionnaire. After
 8 the evaluation is done, nobody retains that. That's
 9 just discarded eventually. This is no different than
 10 that. It's not really a big mystery.
 11 And frankly, if all -- if you lawyers got
 12 together and decided that you really were fascinated by
 13 these questionnaires and wanted to have them, as far as
 14 I'm concerned I'd keep them and you could have them. I
 15 have no problem with that. It's just that there's
 16 nothing in the questionnaire that's not in the report.
 17 And so I decided they would be cleaner for me to retain
 18 them rather than sending them back to the law firm.
 19 Q. Did anyone ask you to quit sending them back?
 20 A. No. No one asked me to do that.
 21 MR. JACOBS: Pass the witness.
 22 MS. PAPANTONAKIS: Any other questions about
 23 Mr. [REDACTED]? Then we'll move on to Mr. [REDACTED].
 24 ---
 25 (Whereupon, a short break was taken.)

1 EXAMINATION
 2 BY MR. ALMQUIST:
 3 Q. Okay. You saw Mr. [REDACTED] October 11th of
 4 2001; is that correct?
 5 A. Yes.
 6 Q. In Mr. [REDACTED] case, you determined he has
 7 no pleural plaques, no pleural thickening, no pleural
 8 calcifications; is that right?
 9 A. Well, wait a minute now. I had to get
 10 through a lot of other stuff. Okay. Yes, I saw him
 11 October 11th, 2001.
 12 Q. Okay. And on Mr. [REDACTED], did you determine
 13 he had no pleural plaques, no pleural thickening, no
 14 pleural calcifications?
 15 A. Yes.
 16 Q. Now, the film that you were looking at on Mr.
 17 [REDACTED] is a grade 2. Can you tell me what the
 18 differences are between 1, 2 and 3?
 19 A. Sure. Grade 1 means there are no technical
 20 defects whatsoever. Grade 2 means there are minor
 21 technical defects that do not influence the accuracy of
 22 the ILO evaluation. Grade 3 means that there are
 23 either several minor technical defects or major
 24 technical defects which could impair the accuracy of
 25 the evaluation, but the film is still interpretable if

1 one uses the expertise and judgment that the B-Reader
 2 has and can bring to the film. Unreadable, which is
 3 the fourth category, means that you just can't
 4 interpret it at all and you shouldn't try.
 5 Q. What was the problem with the film quality on
 6 Mr. [REDACTED] film?
 7 A. It was slightly underinflated.
 8 Q. And what's the effect on the reading that you
 9 get if the lung is underinflated?
 10 A. The lung markings bunch together so that the
 11 tissue is not spread out as much.
 12 Q. And when you bunch the markings together, do
 13 they appear to be more prominent?
 14 A. They can be, yes.
 15 Q. Mr. [REDACTED] pulmonary function tests were
 16 performed by JLM. Do you know who JLM is?
 17 A. Well, he's a pulmonary function technician.
 18 I'm trying to think of which one. I know him by his
 19 first name, Joe, but beyond that I don't know. He's
 20 from Austin, Texas, I think.
 21 Q. But Mr. [REDACTED], his tests are normal, as I
 22 recall.
 23 A. Is that a statement or a question?
 24 Q. Let's make it a question. Are his pulmonary
 25 function tests normal?

1 A. After correcting for race, they were normal,
 2 yes.
 3 Q. Mr. [REDACTED] also suffers from hypertension and
 4 being treated at this point; is that correct?
 5 A. Yes.
 6 Q. He's also overweight?
 7 A. Yes.
 8 Q. And his shortness of breath that you mention
 9 in his report, those are consistent with a sixty-four
 10 year old overweight individual? Those findings are not
 11 unusual in someone like that, are they?
 12 A. Well, I think his symptoms are excessive just
 13 based on age and being overweight. I think that
 14 overweight people in late, middle age, which I like to
 15 think of is sixty-four now --
 16 Q. I'll accept that definition.
 17 A. -- can be short of breath due to being
 18 deconditioned or out of shape, as you might put it.
 19 But in his particular case, he says he's had
 20 progressive shortness of breath over ten years and that
 21 there's certain things he can no longer do. That seems
 22 a little excessive.
 23 Q. But possible, again, that overweight and out
 24 of shape alone could account for that same history; is
 25 that correct?

Page 66

1 A. Although it's possible, I don't think
2 it's -- I don't think it's likely, but it's possible,
3 yes.
4 Q. And, again, in Mr. [REDACTED] case, you
5 considered the zero or normal finding as well as the
6 slightly abnormal finding of 1 on his ILO reading?
7 A. Yes.
8 Q. If Mr. [REDACTED] history of exposure at the
9 Dow Chemical Company was that he only made deliveries
10 and he stayed in the cab of his truck while he was
11 there at the Dow Chemical Company twice a week, maybe
12 two to four hours a day, do you have an opinion as to
13 whether or not that would have contributed at all to
14 his pneumoconiosis?
15 A. What years are we talking about? 1980 to
16 1998?
17 Q. '77 to 1979, he was working as a truck
18 driver, and his only trips to Dow were as a truck
19 driver where he was in the cab of his truck.
20 A. Yeah. I think although it's -- although they
21 probably contributed, I think that they would represent
22 a minor contribution to his overall exposure.
23 Q. How would you anticipate someone who is a
24 truck driver sitting in the cab of his truck might
25 be -- was exposed to asbestos?

Page 67

1 A. Well, you mean in general, or him in
2 particular?
3 Q. Well, in general. Would you expect someone
4 who drives into a plant and stays in the cab of a truck
5 to be exposed to asbestos?
6 A. I mean, if he were taking insulation into the
7 plant, he could have had lots of exposure. Or if he
8 were in the plant and unloading a truck in an
9 asbestos-intensive environment, he could.
10 It's my -- the history that I took from him
11 is that he worked as a truck driver in the post office
12 from 1980 to 1998 and he had no exposure at all during
13 that period. So I'm sort of puzzled as to what
14 you're -- as to what your question is.
15 Q. The history he gave as to my client, the Dow
16 Chemical Company, is what I'm asking about now, only
17 Dow, not with respect to any of his other exposures.
18 A. Okay. And please understand that I don't
19 have a specific history of exposure at Dow.
20 Q. I understand that. But he's saying in his
21 deposition that at most he drove a truck into the
22 facility, he stayed in the cab, and he may have driven
23 by or seen people who were tearing out insulation while
24 he was sitting in the cab of his truck two times a
25 week, two to four hours, '77-79 time frame.

Page 68

1 A. I think that that -- as I said, that could
2 have made a minor contribution to his cumulative
3 exposure. But in of itself, the only asbestos-related
4 disease that could likely have caused would be
5 mesothelioma, along the lines of the questions you
6 asked me before. In of itself, that would be unlikely
7 to cause asbestosis without other exposure.
8 Q. Sure. And with respect to Mr. [REDACTED], the
9 same questions, do you think it's more likely than not
10 that he will develop mesothelioma in the future?
11 A. Although his risk is increased naturally,
12 it's not increased to the point where it becomes
13 likely.
14 Q. Same answer with respect to lung cancer?
15 A. Yes.
16 Q. And same answer with respect to other
17 cancer?
18 A. Yes.
19 MR. ALMQUIST: Okay. I believe that's all
20 I've got on Mr. [REDACTED].
21 MS. PAPANTONAKIS: Anyone else have any
22 questions about Mr. [REDACTED]? We'll move on to Mr.
23 [REDACTED] is the next one.
24 ---
25 EXAMINATION

Page 69

1 BY MR. ALMQUIST:
2 Q. Okay. You saw Mr. [REDACTED] February 6th of 2002.
3 It looks like this is another Foster and Sear, Houston;
4 is that correct?
5 A. Correct.
6 Q. Okay. How old was Mr. [REDACTED]?
7 A. I have fifty down here.
8 Q. I'm a little confused because it's talking
9 about him being exposed in '56.
10 A. Yeah. My fifty is an error. His date of
11 birth is 4/17/41, so he's -- at the time that I saw
12 him, he was sixty, not fifty. So that fifty should be
13 switched to sixty. The date of birth, I think, is
14 right.
15 Q. Did he have any other significant medical
16 history, nonpulmonary medical history, that you noted?
17 A. Not really.
18 Q. Has he undergone some heart catheterizations?
19 A. He did, but none of them showed anything.
20 Q. Does he have a history of any pulmonary
21 diseases in the past?
22 A. He had pneumonia fifteen years ago, and he
23 gets frequent upper respiratory infections.
24 Q. On your physical examination, did you note
25 anything with respect to his lungs?

Page 70

1 A. No. Nothing abnormal, that is.
2 Q. I believe you saw no pleural calcifications,
3 but there is some bilateral diffuse pleural
4 thickening?
5 A. Yes.
6 Q. No recommendation on your B-Read that he
7 report this to his personal physician?
8 A. Recommendation on the B-Read?
9 Q. I'm sorry. Strike that question. There are
10 no comments in section four. Again, we don't -- you
11 couldn't remember the name of who LE, the respiratory
12 technician who performed the --
13 A. LE is Zeke.
14 Q. Zeke. You note a very slight restrictive
15 defect. At what level do you believe -- do you
16 diagnose a slight restrictive defect?
17 A. At a race adjusted TLC of less than
18 eighty-one percent.
19 Q. And then you also note a mild reduction in
20 diffusion capacity. At what level do you reach the
21 conclusion it's a mildly reduced diffusion capacity?
22 A. At a -- again, at a race adjusted TLC of
23 between sixty-five and eighty -- and seventy-nine
24 percent.
25 Q. Do you have an opinion as to whether or not

Page 71

1 Mr. [REDACTED] is more likely than not to develop mesothelioma
2 in the future?
3 A. Well, as I said before, although his risk is
4 certainly increased, it doesn't reach the point where
5 it becomes likely.
6 Q. And you understand the reason I'm asking you
7 these questions, because you've reserved the right to
8 express that opinion on a particular plaintiff, so I
9 need to ask the questions again for each one of them.
10 A. I understand. And perhaps I'm being
11 excessively punctilious about this, but it's just
12 easier.
13 Q. Well, they're short questions. And with
14 respect to lung cancer, your opinion is that he's not
15 more likely than not to develop lung cancer; is that
16 correct? He has less than a fifty percent chance of
17 developing lung cancer?
18 A. That's correct.
19 Q. And he also has a less than fifty percent
20 chance of developing another asbestos-related cancer?
21 A. That's right.
22 MR. ALMQUIST: I believe that's all I've got
23 on Mr. [REDACTED].
24 MS. PAPANTONAKIS: Anyone else with any
25 questions on Mr. [REDACTED]? Then we'll move on to the

Page 72

1 next one, which is [REDACTED].
2 ---
3 EXAMINATION
4 BY MR. ALMQUIST:
5 Q. Okay. Mr. [REDACTED], let's see, seventy-one
6 years old. What's his smoking history?
7 A. Two packs per week for thirty-four years,
8 quitting in 1980, twenty years earlier.
9 Q. So it's a fairly significant smoking history?
10 A. No. It's a rather minor smoking history.
11 Two packages per week, if we break that down on pack
12 years --
13 Q. Oh, I'm sorry, it's a week.
14 A. Yeah. It's not per day, it's per week. So
15 it's basically sixty-eight divided by seven times two.
16 Q. On your reading of the x-ray, there were no
17 pleural findings here on Mr. [REDACTED]; is that correct?
18 A. That's right.
19 Q. His pulmonary function tests you indicate
20 were within normal limits with the exception of some
21 small airway obstruction.
22 A. Right.
23 Q. Do you believe that has any relation to any
24 exposure to asbestos, or can you say one way or another?
25 A. Yes. I think asbestos is contributing to

Page 73

1 the small airway obstruction.
2 Q. Is there anything else that may have
3 contributed to that small airway obstruction?
4 A. His remote smoking history could have
5 contributed to it.
6 Q. What else might contribute to small airway
7 obstruction if you had an individual with this smoking
8 history but no asbestos exposure?
9 A. Asthma is a possibility.
10 Q. Anything else?
11 A. Well, not in a seventy-one year old. But
12 cystic fibrosis typically begins -- the first pulmonary
13 function abnormality in cystic fibrosis is a small
14 airway obstruction.
15 Q. Now, it did show on the quality, again, we
16 have a note of a 2 due to scapular overlay. What is
17 scapular overlay?
18 A. That means that the shoulder blades were --
19 the outline of the shoulder blades were in the lung
20 fields. What you try to do when you're taking an x-ray
21 is to have the patient lower his shoulders forward so
22 that the shoulder blades get out of the lung fields on
23 the x-ray. It doesn't always work, especially in
24 elderly people.
25 Q. What's the effect of that on the quality of

Page 74

1 the x-ray?
2 A. In terms of -- you mean in its suitability
3 for interpretation?
4 Q. Yes.
5 A. Absolutely nothing.
6 Q. There's also a note of a calcified granuloma
7 in the right lower lobe. You don't relate that to any
8 occupational exposure to asbestos, do you?
9 A. No.
10 Q. Mr. [REDACTED] do you believe that he has a
11 greater than fifty percent chance of developing
12 mesothelioma?
13 A. No.
14 Q. Do you believe he has a greater than fifty
15 percent chance of developing lung cancer?
16 A. Although his risk is increased, it doesn't
17 exceed fifty percent.
18 Q. And do you have an opinion as to -- do you
19 believe that he has a greater than fifty percent chance
20 of developing other cancers?
21 A. No.
22 Q. Another asbestos-related cancer, I'm sorry.
23 A. No.
24 MR. ALMQUIST: I'll pass Mr. [REDACTED].
25 MS. PAPANTONAKIS: Anyone else have questions

Page 75

1 about Mr. [REDACTED]? We'll move on to the next one,
2 [REDACTED]
3 ---
4 EXAMINATION
5 BY MR. ALMQUIST:
6 Q. Okay. Mr. [REDACTED], let's see, he's
7 fifty-six. You saw him January of 2002. What is his
8 smoking history?
9 A. A pack a day for the past forty years,
10 forty-pack years.
11 Q. And does he continue to smoke?
12 A. At the time that I saw him, he was still
13 smoking.
14 Q. Now, Mr. [REDACTED] has a pretty extensive
15 medical history for other problems, does he not?
16 A. Yes.
17 Q. And what are some of the other medical
18 problems that he's had?
19 A. Blood clots in the lower legs; a nerve
20 condition called peripheral neuropathy; chronic liver
21 disease; and COPD, chronic obstructive pulmonary
22 disease.
23 Q. What's the chronic liver disease?
24 A. Cirrhosis.
25 Q. Has he also been diagnosed with chronic

Page 76

1 obstructive pulmonary disease?
2 A. I just said that.
3 Q. Oh, I'm sorry. And what medications is he
4 taking for that?
5 A. Inhaled Serevent, Flovent and Albuterol, in
6 addition to his other nonpulmonary medications.
7 Q. Do you know whether he had taken any of those
8 before the pulmonary function tests were performed?
9 A. I don't know.
10 Q. And do you know what his smoking history was
11 before he took the pulmonary function tests, when his
12 last cigarette was?
13 A. I don't know that either.
14 Q. Was Mr. [REDACTED] able to complete the lung
15 volume test?
16 A. No.
17 Q. And what were the significant findings on
18 his pulmonary function tests?
19 A. Well, he had reduced forced vital capacity
20 and a reduced diffusion capacity, reduced DLCO value.
21 Q. Forced vital capacity is due to his chronic
22 obstructive pulmonary disease and emphysema?
23 A. Well, I didn't say that. I think that that's
24 likely to be the case, yes. But asbestosis could also
25 be contributing to that. It's hard to say for sure

Page 77

1 without getting a lung volume test, which in his case
2 would best be done with plethysmography.
3 Q. Okay. In Mr. [REDACTED] case, do you believe
4 he has a less than fifty percent chance of developing
5 mesothelioma in the future?
6 A. Although his risk is quite increased, it
7 doesn't reach fifty percent. It's far less than that.
8 Q. What about his risk of developing lung cancer
9 in the future?
10 A. His risk for developing lung cancer, for a
11 number of reasons, approaches fifty percent, but does
12 not exceed it.
13 Q. And his risk of developing some other
14 asbestos-related cancer?
15 A. That would be less than fifty percent.
16 MR. ALMQUIST: I believe that's all I've got
17 on Mr. [REDACTED].
18 MS. PAPANTONAKIS: Anyone else have any
19 questions about Mr. [REDACTED]? Then we'll move on to
20 Mr. [REDACTED].
21 ---
22 EXAMINATION
23 BY MR. ALMQUIST:
24 Q. Okay. Mr. [REDACTED] a fifty-four year old
25 welder. What's his smoking history?

Page 78

1 A. A half pack for twenty-two years, quitting
2 twelve years ago. Well, ten years ago, I guess, based
3 on the report that I did.
4 Q. He also has a history of some broken ribs on
5 the left side. What's the significance from a
6 pulmonary evaluation of the history of broken ribs?
7 A. Usually none. And in his particular case,
8 there was none. There are some times that it can be
9 significant.
10 Q. What kind of changes can you see in the lungs
11 as a result of broken ribs?
12 A. Well, there's just a few of them. The most
13 common change, if there is going to be one at all, is
14 some thickening of the lining of the lung right under
15 the broken ribs due to blood getting into the space
16 between the chest wall and the lung.
17 When their broken ribs are extensive, like,
18 say, most of one thorax, most of one side of the chest
19 then that can have a restrictive defect on the
20 expansion of that lung.
21 Q. It looks like in terms of complaints, let's
22 see, it talks about left-sided chest pain not related
23 to exertion. Is that of any significance to you?
24 A. Left-sided chest pain not related to
25 exertion. No. I would call that atypical chest pain.

Page 79

1 It doesn't have a lot of significance to it.
2 Q. And then he gets mild dyspep --
3 A. Dyspepsia? Dyspnea, shortness of breath.
4 Right.
5 Q. I should say shortness of breath rather than
6 trying to pronounce the word. So his main complaint is
7 it takes him longer to do his yard work now?
8 A. That's right.
9 Q. Again, on his chest x-ray there were no
10 pleural plaques, no pleural thickening, no pleural
11 calcifications that you found?
12 A. Right.
13 Q. The profusion level you found was 1/0 under
14 the ILO standards. Again, you considered the
15 alternative to your conclusion of slightly abnormal, 1,
16 as there to be a normal finding of zero; is that
17 correct?
18 A. That's right.
19 Q. Now, you indicated there's a borderline
20 defect with small airway obstruction.
21 A. The transcriptionist left out the word
22 restrictive. What that should read is borderline
23 restrictive defect with small airway obstruction, but
24 normal diffusion capacity.
25 Q. What could cause that borderline restrictive

Page 80

1 defect?
2 A. Well, it certainly could -- the most likely
3 cause is asbestosis.
4 Q. Anything else that might be a cause of that?
5 A. No.
6 Q. And with respect to Mr. [REDACTED] do you
7 believe that he has a less than fifty percent chance of
8 developing mesothelioma in the future?
9 A. Although his risk is increased, it's much
10 less than fifty percent.
11 Q. Similarly, he has a less than fifty percent
12 chance of developing lung cancer in the future?
13 A. Although his risk, again, is increased, it
14 doesn't exceed fifty percent.
15 Q. And with respect to other cancer, other
16 asbestos-related cancer, his chance of that in the
17 future is less than fifty percent as well?
18 A. Correct.
19 MR. ALMQUIST: And that's it on Mr. [REDACTED]
20 ---
21 EXAMINATION
22 BY MR. PETERS:
23 Q. Dr. Segarra, I just have a few questions.
24 A. All right.
25 Q. You indicate about middle of the history

Page 81

1 section that he has welded inside furnaces and boilers.
2 A. Right.
3 Q. Again, you didn't indicate how long he's been
4 doing that type of work; is that correct?
5 A. Yes.
6 Q. Did you ask if he welded at any other places
7 other than inside furnaces and boilers and generally in
8 chemical plants?
9 A. Well, he was a pipe welder. And the way I
10 read that is that intermittently he welded inside
11 furnaces and boilers, not the whole time.
12 Q. Okay. I understand that. Have you received
13 histories from other welders where they do not actually
14 weld out in the plant themselves, but maybe in a fab
15 shop?
16 A. Of course. Yes.
17 Q. And based on those histories, do they also
18 provide a history of being exposed to asbestos in, say,
19 a fab shop?
20 A. That would depend. The exposure in a fab
21 shop, if they were not insulating pipe themselves,
22 which would be unlikely in a fab shop, or removing
23 insulation from preexisting pipe to make repairs on --
24 make welding repairs, then they wouldn't have that kind
25 of pipe insulation exposure. The exposure in a fab

Page 82

1 shop is most frequently, in general now, not talking
2 about him in particular, but in general, would be from
3 the use of asbestos blankets and protective clothing
4 while welding inside the shop or structures within the
5 shop itself.
6 Q. Okay. And that's something you would
7 understand from the plaintiff himself --
8 A. That's correct.
9 Q. -- if he gave you that history?
10 A. Right.
11 Q. In the next line you say he worked with pipe
12 fitters and insulators frequently.
13 A. Right.
14 Q. Frequently is kind of vague. Does he give
15 you any other type of indication how frequent it was?
16 A. You know, the French medical literature,
17 which is very good -- the French are on the cutting
18 edge of medicine. The French medical literature, they
19 never used to quantify anything up until about ten
20 years ago. They used to use the words frequently and
21 commonly and unusually and most often and so on. And
22 nobody thought there was anything wrong with that until
23 recently. Now they've begun to use the same kind of
24 quantitative standards everybody else does.
25 No. I mean, when I say "frequently," that's

Page 83

1 the most -- that's as specific as he got.
2 Q. What do you mean by "frequently," or are you
3 just repeating what he said?
4 A. I'm just repeating what he said, yeah.
5 Q. In your assessment of exposure of an
6 individual, how do you use the term "frequently" in
7 making that assessment?
8 A. I never thought of it that way. I mean, by
9 "frequently," to me that means several times a month.
10 Q. Okay. Shortness of breath upon exertion.
11 I'm not going to try to say that term either. Do you
12 ask him what type of exertion that is? Is he trying to
13 jog three miles or is he walking two blocks? Or do you
14 have a general idea that you use, general idea that you
15 use what exertion is?
16 A. Well, there's mild exertion, there's moderate
17 exertion and there's heavy exertion. And some patients
18 are specific and expressive about that and others are
19 just vague. And I record to the extent to which they
20 were specific. And if they were vague, then my -- the
21 way I record their shortness of breath would be vague.
22 In his case, mild dyspnea upon exertion. The
23 only physical limitation on it was what I told you,
24 which was that it takes longer to do yard work.
25 Q. Exertion, is that your word or is that Mr.

Page 84

1 [REDACTED] word?
2 A. My word.
3 Q. You didn't use the term mild, moderate or --
4 A. No. That was an overall judgment from
5 saying, well, is shortness of breath a problem for you;
6 what kind of things make you short of breath; how much
7 of a problem is it; what kind of -- are there things
8 you can't do anymore that you used to be able to do.
9 It's the summary of a series of questions that sound
10 like that. And it's sort of a standard thing that all
11 pulmonologists ask patients when they take a history of
12 lung disease.
13 Q. Would you note that in your questionnaire,
14 which I have a fascination over?
15 A. You do have a fascination.
16 Q. I do. I have a great fascination.
17 A. Sometimes it's noted and sometimes not. As
18 I told you, there are things not -- although everything
19 pertinent in the questionnaire is in the report, at
20 least pertinent to me, there are some things that I may
21 ask at the time of the examination that will be in my
22 head and it will go into my dictation, but I won't have
23 actually physically written them down on the report
24 since only a short time has elapsed between the time
25 I'm looking at the questionnaire until the time I'm

Page 85

1 actually dictating the report.
2 Q. Have you ever failed to put something in your
3 report that was outlined in your questionnaire?
4 A. I don't know.
5 Q. Has anyone ever brought that to your
6 attention during a deposition or trial?
7 A. No. The only thing that might be in the
8 questionnaires that I -- and I say might; I mean, I
9 don't know for sure -- that I don't tend to put in my
10 report, as I told you, I tend not to use proper names,
11 like trade names for products or locations except in
12 uncommon circumstances.
13 Q. But sometimes that type of information is in
14 there?
15 A. It may or may not be.
16 Q. Right. It has been in the past?
17 A. In some cases.
18 Q. You indicated that Mr. [REDACTED] presented with
19 no rales; correct?
20 A. Yes.
21 Q. The new ATS guidelines that are overdue, as
22 you stated earlier, do you know what specific changes
23 they have made from the 1986 guidelines with regard to
24 diagnosis of asbestos-related diseases?
25 A. No. No, I don't. I've had two calls from

1 people working on that project with questions; one
2 about some data that I've already presented in the
3 past. And the other was about -- I was asked the
4 things that I thought were the most inaccurate parts of
5 the original statement. And it was just a factual
6 question.

7 But I think they were surveying lots of
8 people who do a lot of B-Reading to ask that question
9 to. And those are the only two things. But it doesn't
10 tell you what's going to be in it. It just tells you
11 the kind of things that they're thinking about in the
12 process of revising the report.

13 Q. Do you know if it's a practice for an
14 organization -- for the American Thoracic Society to
15 send out the revisions prior to actual implementation
16 of the provisions or doctors to review and comment
17 upon?

18 A. Sometimes. Not always.

19 Q. You don't know if that's going to happen
20 here?

21 A. I don't know, no.

22 Q. You indicate in the chest x-ray section that
23 you compared your film to an earlier film of May 29th
24 1998, and that the interstitial changes have worsened.
25 Was that a significant movement, in your opinion?

1 A. Well, it's only one minor category, but it
2 crosses the boundary between normal and abnormal, from
3 0/1 to 1/0. So that would be significant to me, yes.

4 Q. Okay. Is it significant enough for you to
5 consider that the process may be caused by other -- the
6 movement may be caused by another disease process other
7 than asbestosis?

8 A. No. I think that's unlikely.

9 Q. And you've testified in the past that a
10 movement, a major movement may make you wonder if it
11 was caused by another disease process as opposed to
12 asbestosis; isn't that correct?

13 A. Although I've said that, I think what I
14 meant and what I think I said is that a major movement
15 within a short period of time. A movement of one minor
16 category over a two-and-a-half-year period, I wouldn't
17 consider that to be -- that's entirely within the
18 bounds of the slow progression of asbestosis, or in
19 this case the appearance of asbestosis.

20 Q. Okay. Mr. [REDACTED] did he give you any
21 history about being exposed to any types of chemicals
22 on the job?

23 A. Although he didn't say specifically, I would
24 assume that he would have had to have been exposed to
25 chemicals. I don't generally write down specific

1 chemical exposure unless they identify specific
2 chemicals, in which case I do.

3 If they just say I was around toxic chemicals
4 or chemicals, that's not specifically useful, so I
5 don't usually include that, unless the person says I
6 was exposed to hydrogen sulfide, hydrofluoric acid,
7 phosgene and caustic soda, in which case I will put
8 that in there.

9 Q. Okay. If he was exposed to a specific
10 chemical which he didn't know that required him to be
11 hospitalized, is that something that's asked in your
12 questionnaire?

13 A. The answer is, yes, but that would sort
14 of -- that would run from the occupational history into
15 the medical history. That's something that would be --
16 should have been included in his list of
17 hospitalizations that he wrote down or responded to on
18 direct questioning. And if he did write it down or did
19 respond to it in direct questioning, it certainly would
20 have been in the report.

21 Q. So part of your questionnaire includes a list
22 of hospitalizations?

23 A. Sure.

24 Q. You don't have that list of hospitalizations
25 in your report?

1 A. Oh, it always is, yeah. It comes -- it comes
2 in the medical history section. I said, he himself has
3 a limited past medical history. Certainly if he had
4 been hospitalized for chemical pneumonia and I knew
5 that, I would definitely put that in my report.

6 Q. Does he specifically -- does your
7 questionnaire specifically ask what hospitals and what
8 doctors he may have seen, who is his family physician?

9 A. No, it doesn't. I've seen questionnaires
10 that do that. I don't do that. I just ask
11 hospitalizations and what were you hospitalized for and
12 when and so on.

13 Q. Is this your own prepared questionnaire? Do
14 you make this one up?

15 A. Yeah. Sure.

16 Q. You didn't receive it from, say, the Heard,
17 Robins law firm?

18 A. No. I've -- do you still have a copy of my
19 questionnaire? (Referring to the court reporter.) You
20 can have a copy of it.

21 Q. Oh, yeah, sure, I'll take a copy.

22 MR. JACOBS: I think there's a copy in the
23 transcript from last year.

24 BY MR. PETERS:

25 Q. Okay. Has it changed since August of 2002?

Page 90

1 A. I don't think so.
2 Q. Okay.
3 A. I don't believe it has. If there are any
4 minor changes, at the break I'll go out to my car and
5 see if I can dig one up.
6 Q. Okay. This gentleman was examined by you in
7 December of 2000. Since December of 2000, have there
8 been any revisions to that questionnaire, that you're
9 aware of?
10 A. The only revisions there would have been
11 would have been extremely minor, like the order of
12 asking questions may have and the review of systems may
13 have changed a little bit, but no significant changes.
14 Q. If between the time a B-Reading was done and
15 the time you examined the films as part of your
16 examination Mr. [REDACTED] had been -- had -- well, he had
17 an x-ray, a chest x-ray taken, is that something that
18 you would like to see, too, especially in this
19 instance because of the progression involved?
20 A. I think the question you're asking is, when
21 you say from the time of his B-Reading, you mean from
22 the time of his original B-Reading that was ever done
23 ever?
24 Q. Yeah.
25 A. See, I don't know when his original B-Reading

Page 91

1 was done, but --
2 Q. Let's focus on 5/29/98.
3 A. Which is the one I had to compare to?
4 Q. Yes.
5 A. The film I had to compare to. Are you asking
6 if there was a film done between 5/29/98 and 12/15/00,
7 would I like to see it?
8 Q. Yes.
9 A. Sure.
10 Q. You weren't provided any films, obviously,
11 between 5/29/98 and December 15th, 2000?
12 A. No. I would have notated that in the report
13 if I had.
14 MR. PETERS: Okay. I'll pass the witness.
15 MS. PAPANTONAKIS: Any other questions on Mr.
16 [REDACTED]? Then we'll move on to Mr. [REDACTED].
17 ---
18 EXAMINATION
19 BY MR. ALMQUIST:
20 Q. Okay. Mr. [REDACTED] a seventy-five year old
21 retired roofer, according to the occupational history.
22 Got that one, Doctor?
23 A. Yes.
24 Q. Now, he had a pretty significant asbestos
25 exposure early on, didn't he?

Page 92

1 A. Certainly.
2 Q. And what was that?
3 A. Well, he worked as a laborer in a shipyard
4 for two years in the 1940s, and that was prior to
5 working for forty-five years as a roofer.
6 Q. Would the two years of exposure to insulation
7 dust inside ships in a shipyard be sufficient to cause
8 the radiographic changes that you found in Mr. [REDACTED]?
9 A. You mean theoretically?
10 Q. Yes.
11 A. Yes.
12 Q. Now, you talk about exposures to
13 asbestos-containing roofing materials. What roofing
14 materials did he describe that he was claiming
15 exposures to?
16 A. He didn't say.
17 Q. Assume with me for a moment that if my
18 client, the Goodrich Corporation, said that the only
19 asbestos-containing material he was around was a
20 plastic cement that contained asbestos that he applied
21 off and on over a period of about three or four weeks,
22 would you believe that's enough of an exposure to
23 asbestos to cause the problems he's showing here or the
24 ones that you found on your exam?
25 MS. PAPANTONAKIS: Object to the form.

Page 93

1 A. Although that wouldn't be enough to cause
2 asbestosis, it certainly would be enough to cause the
3 small pleural plaques that he had, sure.
4 BY MR. ALMQUIST:
5 Q. How would he have been exposed to asbestos
6 in plastic cement to get to his lungs?
7 A. I'm assuming that the plastic cement would be
8 friable and dusty. If it was not, then it wouldn't.
9 Q. If he was applying a moist product out of a
10 can that contained asbestos during that time frame?
11 A. That would depend on how it dries and how
12 much exposure to the dried product he had, and those
13 are things that I don't know.
14 Q. If he applied it simply as a cement and put
15 something on top of it to stick to it and that was his
16 only exposure, would that be the type of exposure that
17 could cause the changes that you found?
18 A. I suppose it could, but it would be unlikely.
19 Q. Let's see. You found no interstitial -- or
20 your conclusion, based on the ILO standards, was normal
21 with respect to his interstitial findings?
22 A. Could you repeat the question?
23 Q. Yeah, let me repeat the question. In terms
24 of looking at parenchymal abnormalities, you --
25 A. His profusion was 0/1, which is below the

Page 94

1 threshold for interstitial pneumoconiosis.
2 Q. And his pulmonary function tests were in
3 normal limits?
4 A. Yes.
5 Q. And, again, with respect to Mr. [REDACTED] the
6 chance is less than fifty percent that he'll develop
7 mesothelioma?
8 A. Although his risk is increased, it's much
9 less than fifty percent.
10 Q. And what about his chances of developing lung
11 cancer, are those less than fifty percent as well?
12 A. Although his risk for developing lung cancer
13 is increased, it doesn't approach fifty percent.
14 Q. Okay. And with respect to his chances of
15 developing another cancer?
16 A. The answer to that would be the same.
17 MR. ALMQUIST: And that closes Mr. [REDACTED] for
18 me.
19 MS. PAPANTONAKIS: Anyone else have questions
20 about Mr. [REDACTED]? Then we'll move on to Mr.
21 [REDACTED].
22 ---
23 EXAMINATION
24 BY MR. ALMQUIST:
25 Q. Okay. You did not see Mr. [REDACTED] you

Page 95

1 merely looked at medical records; is that correct,
2 Doctor?
3 A. That's right.
4 Q. Okay. He was deceased. Where did you get
5 the history of Mr. [REDACTED] asbestos exposure?
6 A. That was sent by the law firm of Heard,
7 Robins and Cloud, Olivia Beard.
8 Q. And what in particular do you recall those
9 materials containing?
10 A. All I recall was that -- is what I have in my
11 report. I don't recall -- I mean, I don't recall
12 anything specifically. I just know what I said in my
13 report, which you can read, which is that he had
14 exposure to asbestos during his work within chemical
15 plants and oil refineries over a long period of time.
16 Q. Your ILO profusion level on the reading that
17 you did here is, again, 0/1?
18 A. That's right.
19 Q. So that was basically normal. You had no
20 pulmonary function tests to review; is that correct?
21 A. No.
22 Q. That's not correct?
23 A. I mean, that's correct.
24 Q. Okay. And the only findings that you made
25 were some pleural findings with respect to Mr.

Page 96

1 [REDACTED] x-rays?
2 A. He had definite well-defined asbestos-related
3 pleural disease.
4 Q. And where was that located?
5 A. In his lateral thoracic walls and -- in the
6 pleural surfaces of his lateral thoracic walls and in
7 the pleural surfaces over his diaphragms on both sides.
8 Q. Were any of those calcified?
9 A. Yes.
10 Q. Now, Mr. [REDACTED] evidently had a primary
11 adenocarcinoma of the stomach.
12 A. That's right.
13 Q. You're not an oncologist, are you, Doctor?
14 A. No.
15 Q. Do you know if that was the ultimate cause
16 of his death or not?
17 A. Yes, it was.
18 Q. And upon what epidemiology studies do you
19 rely upon for your opinion that asbestos was a
20 contributing factor to his stomach cancer?
21 A. Well, in the first place, gastrointestinal
22 cancer has been linked to asbestos exposure in several
23 textbooks over many years. But the studies that they
24 are based on are largely the Hammond, Seidman and
25 Selikoff studies of insulators, from shipyard

Page 97

1 insulators.
2 Q. Any other group other than the Hammond,
3 Selikoff group that's been studied for --
4 A. There are some smaller groups where that's
5 been found as well, but I don't recall the specific
6 studies in regards to stomach cancer.
7 Q. Have you reviewed any follow-up studies on
8 the Hammond, Selikoff group with respect to stomach
9 cancer in particular or gastrointestinal cancers in
10 general?
11 A. Yes. I think I've read various things about
12 that, yes.
13 Q. And those numbers are getting smaller with
14 respect to those gastrointestinal cancers; is that
15 correct?
16 A. I'm not sure exactly the question that you're
17 asking.
18 Q. Okay. The SMR for gastrointestinal stomach
19 cancer is decreasing as these studies are updated with
20 respect to Hammond, Selikoff; is that correct?
21 A. There have been -- I think the question
22 you're asking is, there have been papers published
23 which have attempted to redigest the Hammond and
24 Selikoff data in ways that the authors feel are more
25 appropriate. Whether or not I agree with all of that

Page 98

1 would depend on the individual paper and study. But
2 some of those papers have recalculated the SMRs and
3 found them to be lower than that originally indicated
4 by Hammond and Selikoff.
5 Q. Have there actually been some further
6 follow-up of that cohort over the years?
7 A. I believe so, yes.
8 Q. And do you know if as a result of the
9 continuing follow-up of the cohort, not just a
10 recalculation of the old numbers, that the SMRs are
11 likewise being reduced?
12 A. I'm not sure about that.
13 Q. Do you know what the SMR is for stomach
14 cancer in this group?
15 A. You mean in the group as a whole or --
16 Q. For the Selikoff group.
17 A. -- or in looking at all the various studies?
18 Q. No. Looking at the Selikoff group to start
19 out with.
20 A. I'd have to look up the table.
21 Q. What about the various studies, do you have
22 an opinion today as to what the SMR was on the studies
23 generally?
24 A. Some of the recalculated SMRs are between one
25 and two and some are over two. It would depend.

Page 99

1 Q. Which studies in particular have an SMR over
2 two?
3 A. I don't recall.
4 Q. Have you given testimony in trials before
5 attributing stomach cancer to asbestos exposure?
6 A. I don't think so. Not specifically.
7 Q. So you've never been qualified as an expert
8 on that issue in any trial testimony that you've given?
9 A. Well, I've given deposition testimony about
10 nonpulmonary cancers related to asbestos in general.
11 And I've given deposition testimony about colorectal
12 carcinoma and asbestos exposure. I don't recall
13 offhand a case of gastric carcinoma specifically and
14 asbestos exposure.
15 Q. What are the other risk factors for gastric
16 carcinoma?
17 A. Various ones. There's a condition known as
18 atrophic gastritis that is prominent in this factor for
19 gastric carcinoma. Certain ethnicities in geographic
20 areas of the world are prone to gastric carcinoma as
21 opposed to others. Smoking is a risk factor for
22 gastric carcinoma. And let me think. Severe mucosa
23 injuries, such as from lye ingestion, has been
24 associated with subsequent increased risk for gastric
25 carcinoma. There's probably others, but those are the

Page 100

1 ones I can remember.
2 Q. How do those rate in terms of what the most
3 significant risk factors are?
4 A. I think the most significant is the ethnic in
5 geographic preponderance of gastric carcinoma. For
6 instance, it's much more common in southeast Asia than
7 it is here.
8 MR. ALMQUIST: I believe that's all I've got
9 on Mr. [REDACTED]
10 MS. PAPANTONAKIS: Anyone else have any
11 questions about Mr. [REDACTED]? We'll move on to Mr.
12 [REDACTED].
13 ---
14 EXAMINATION
15 BY MR. ALMQUIST:
16 Q. All right. Mr. [REDACTED] let's see, you saw
17 him May 1st, 2001.
18 A. Yes.
19 Q. What is his smoking history?
20 A. One and a half packs a day for twenty-three
21 years and up through 1975 when he switched to cigars,
22 and he smoked those since then.
23 Q. Did switching to cigars help in terms of the
24 smoking issue?
25 A. Yeah. About halves the risk. The risk of

Page 101

1 everything from cigar smokers compared to cigarette
2 smokers is about half, but, of course, half is still a
3 lot.
4 Q. What are his other medical conditions,
5 nonpulmonary conditions with respect to Mr. [REDACTED]?
6 A. Heart disease and hypertension and
7 hyperlipidemia.
8 Q. He talks about getting short of breath
9 walking a hundred yards, getting palpitations and
10 cramps. Again, those would not be uncommon findings in
11 someone who was suffering merely from heart disease
12 without any asbestosis; is that correct?
13 A. That's true.
14 Q. There were no rales, wheezes or rhonchi on
15 your physical exam?
16 A. True.
17 Q. No pleural plaques, pleural thickening,
18 pleural calcifications were found?
19 A. Right.
20 Q. Did you find some interstitial changes?
21 A. Yes.
22 Q. What about the pulmonary function tests?
23 A. He had mild airflow obstruction with
24 hyperinflation, that means elevated lung volumes, and
25 moderately reduced diffusion capacity.

Page 102

1 Q. And is it the moderately reduced diffusion
2 capacity that you believe gave him physiological
3 correlation to the interstitial changes?
4 A. That's right.
5 Q. And we've talked about that before today with
6 respect to some of these other plaintiffs, that that
7 could be affected by his having smoked cigarettes
8 within -- or cigars within twelve hours of his testing?
9 A. Although I think that pulmonary asbestosis
10 is causing at least some of the reduction in diffusion
11 capacity, it's quite possible that his COPD and actual
12 cigar smoking could be contributing to it as well.
13 Q. As much as fifty percent?
14 A. No.
15 Q. What percentage would you assign to it if you
16 were going to assign a percentage?
17 A. I couldn't. It could be none or it could be
18 a small amount. At most, say, twenty to thirty
19 percent.
20 Q. Again, this is only a film quality 2 because
21 the costophrenic angles have been cut off as a result
22 of the scapular overlay; is that correct?
23 A. Yes.
24 Q. And with respect to Mr. [REDACTED] do you have
25 an opinion as to whether he's at a greater than fifty

Page 103

1 percent risk of developing mesothelioma in the future?
2 A. Although his risk is increased certainly,
3 it's much less than fifty percent.
4 Q. Do you have an opinion as to whether or not
5 he's at a greater than fifty percent risk of developing
6 lung cancer in the future?
7 A. Although his risk is quite increased, it
8 doesn't quite reach fifty percent.
9 Q. And with respect to -- is he at a greater
10 than fifty percent risk of developing another
11 asbestos-related cancer in the future?
12 A. No.
13 MR. ALMQUIST: I'll pass Mr. [REDACTED].
14 MS. PAPANTONAKIS: Anyone else have questions
15 about Mr. [REDACTED]?
16 MR. JACOBS: I have very few.
17 ---
18 EXAMINATION
19 BY MR. JACOBS:
20 Q. I'd like to talk to you about the pulmonary
21 function analysis.
22 A. Okay.
23 Q. On the first page at the comments section,
24 it says: Good patient effort for all PFTs; is that
25 right?

Page 104

1 A. Yes.
2 Q. If you'll turn to the next page, I note that
3 it appears that they ran four tests, 1, 2, 3 and 4.
4 A. That's right.
5 Q. And 3 and 4 appear to be shaded down. What
6 does that mean?
7 A. Shaded down. What do you mean?
8 Q. It seems to me that there's a different
9 shading there. Can you explain what that means in
10 terms of the numbers?
11 A. Oh, yeah. It means that the IVCs on those
12 were lower. The IVCs on the first two were the
13 highest, and, therefore, those are the ones that are
14 used -- those are the ones that were averaged to make
15 the DLCO determination. Can you see that?
16 Q. Yeah, I can see that. And the same thing is
17 true for the next page, the single breath DLCO; right?
18 A. They're the same page, really. And do you
19 know the reason for that? The IVC is the most -- is
20 one of the most important parts of the DLCO for
21 accuracy. It's how deep a breath you take when you
22 hold it for ten seconds to get that value.
23 And that -- the IVC should be approximately
24 equal or within, say, ten or fifteen percent of the
25 FVC. And that's why those first two were rejected in

Page 105

1 favor of the second two -- I mean, trials 3 and 4 were
2 rejected in favor of trials 1 and 2.
3 MR. JACOBS: Pass the witness.
4 MS. PAPANTONAKIS: Any other questions on Mr.
5 [REDACTED]? Then we'll move on to Mr. [REDACTED].
6 MR. JACOBS: Can I ask one follow-up
7 question?
8 BY MR. JACOBS:
9 Q. Doctor, I'd like to ask you one follow-up.
10 Do they discard numbers 3 and 4 there; is that right,
11 when doing the analysis?
12 A. They don't discard them. They just don't --
13 it didn't go into the value that was used for
14 interpretation. They're still there.
15 MR. JACOBS: Gotcha'.
16 ---
17 EXAMINATION
18 BY MR. ALMQUIST:
19 Q. All right. Mr. [REDACTED] a fifty-nine year
20 old pipe fitter. You saw him in Houston in February of
21 2002.
22 A. Right.
23 Q. He gives a history of heavy exposure to
24 asbestos materials during his work in chemical plants
25 from nineteen -- from 195 to 1979. Do you know when

Page 106

1 that first exposure would have been?
2 A. No. Unfortunately, there's a digit missing
3 there. Don't know. 1950 something. I would think --
4 I mean, I don't know for sure, but I would think it
5 would be 1959 because I start going up the line -- I
6 don't know. I shouldn't say. I don't know.
7 Q. '59, that makes him seventeen, so --
8 A. That makes sense.
9 Q. Would that kind of information not be on your
10 questionnaire?
11 A. It might be. And it would be in here, too,
12 if the transcriptionist didn't miss her number key on
13 that one, one strike.
14 Q. What's his smoking history?
15 A. Two packs a day for sixteen years, quitting
16 thirty years ago.
17 Q. Now, his testimony -- he has been deposed in
18 this case, and with respect to my client, the Dow
19 Chemical Company, he says that he was there for about
20 five months in '70 or '71, at most working about two
21 hundred feet away from insulators who were doing new
22 insulation and can't say whether that insulation
23 contained asbestos or not.
24 Would that be the heavy exposure that you're
25 reporting here, or would that have occurred at some

Page 107

1 other time and place?
2 A. I couldn't say.
3 Q. Do you have an opinion as to whether or not
4 working for five months in 1970 or '71, two hundred
5 feet away from insulators who were applying some form
6 of insulation, he's not sure what, could have been
7 sufficient to cause the disease you saw in Mr.
8 [REDACTED]?
9 A. Do you mean five months of shift work while
10 that was happening every day for five months? Yeah,
11 sure, that would be possible. It's sort of not the
12 issue, isn't it, because he had exposure over many
13 years.
14 MR. ALMQUIST: Object to the nonresponsive
15 portion.
16 BY MR. ALMQUIST:
17 Q. Your ILO rating on him is 1/0?
18 A. Yes.
19 Q. You noted diaphragmatic pleural plaque; is
20 that correct?
21 A. Yes.
22 Q. He's been treated for pleurisy in the past.
23 Can pleurisy cause pleural plaques?
24 A. Pleurisy itself can't. There are some
25 conditions that cause pleurisy that can cause pleural

Page 108

1 plaques.
2 Q. And what are those?
3 A. Well, trauma would be the most common and --
4 you mean other than asbestos. Asbestos would be the
5 most common.
6 Q. Other than asbestos.
7 A. But trauma would be the next most common. And
8 there are some rare conditions such as lupus that can
9 cause pleurisy and pleural plaques, or pulmonary
10 infarction can do that. Pulmonary infarction is when
11 the lung dies as a result of a blood clot, which is
12 also known as a pulmonary embolus. He doesn't have a
13 history of any of these things, however.
14 Q. On his pulmonary function tests, he had
15 normal lung volumes and diffusion capacity; is that
16 correct?
17 A. Yes.
18 Q. And you've noted a minimal obstructive
19 defect.
20 A. That's right.
21 Q. And is that consistent with his history of
22 bronchitis?
23 A. Well, the causes of his obstructive defect
24 are probably a combination of asbestosis and his
25 smoking, remote smoking history. He may -- the third

Page 109

1 possibility, and this is not known for sure, but it
2 seems like he has a history of chronic bronchitis and
3 possibly asthma, and that could also be contributing to
4 the airflow obstruction. Probably is, as a matter of
5 fact.
6 Q. I just was noting that you didn't put that
7 down as physiological evidence of pulmonary asbestosis
8 in your diagnosis/impression, as you do in several of
9 these other reports here today.
10 A. Well, I use the DLCO drop as I do that
11 since -- although airflow obstruction is a feature of
12 early asbestosis, I don't generally specifically state
13 that in the report, especially if the person had any
14 smoking in the past or has had any history that would
15 be suggestive of asthma, partly because it's somewhat
16 controversial, the linkage between obstructive lung
17 disease and asbestosis. I'm absolutely convinced
18 of it, but not everybody is.
19 Q. And there's certainly -- there's several
20 strong alternative explanations for that?
21 A. In his particular case.
22 MR. ALMQUIST: I believe that's all I've got
23 on Mr. [REDACTED].
24 MS. PAPANTONAKIS: Anyone else?
25 MR. PETERS: Yeah, I have a few.

Page 110

1 ---
2 EXAMINATION
3 BY MR. PETERS:
4 Q. Dr. Segarra, is a chronic productive cough
5 consistent with chronic bronchitis?
6 A. Sure.
7 Q. What else -- what other kind of conditions
8 can give you chronic productive cough?
9 A. Lots of things.
10 Q. Lots of things.
11 A. Chronic bronchitis is often a feature of COPD
12 or emphysema. All those can present as chronic
13 productive cough. Emphysema, pure emphysema
14 classically presents as a dry cough, but there's
15 variations. Asbestosis can present as a chronic
16 productive cough, lung cancer can, bronchiectasis,
17 cystic fibrosis, chronic bronchiolitis, rheumatoid lung
18 disease.
19 Q. Histoplasmosis?
20 A. No. Tuberculosis can, though.
21 Q. TB. How does histoplasmosis show up on an
22 x-ray, location, what it looks like?
23 A. Now we're going to have to do a side course,
24 a mini course in pulmonary fungal disease. There are
25 many ways it can show up. By far, the most common

Page 111

1 in that self-limited granuloma that I told you about
2 before that almost everybody in the southeast has from
3 the soil, from soil, fungus from bird droppings, the
4 stuff that you dig up when you hit golf balls. That's
5 the most common.
6 When histoplasmosis gets a little more
7 extensive, when it gets past that initial layer of
8 immunity, it creates multiple pock marks, if you will,
9 and infiltrates usually in the upper lobes. And in
10 compromised people, such as HIV patients or
11 cancer/chemotherapy patients, it can create a
12 disseminated condition where the entire lung is
13 affected with nodular densities and infiltrates and can
14 be fatal, but that's extremely rare.
15 Q. Are you saying they're rounded infiltrates?
16 A. Nodules with -- no. They're nodules and
17 with consolidation around them.
18 Q. And that would be different than a fibrosis
19 caused by asbestos?
20 A. Totally different.
21 Q. Totally different. Location and just
22 appearance?
23 A. Location and appearance.
24 Q. Okay. This film that you reviewed was grade
25 2 due to overexposure. What effect does overexposure

Page 112

1 have in the ability to review a film?
2 A. It makes the film darker and it makes the
3 abnormalities less apparent, so that unless you correct
4 for that, you tend to underestimate how abnormal the
5 film is. The film could be more abnormal than it
6 appears.
7 Q. Your films that you read of 2/08/02, you
8 gave an ILO scale of one over zero, and you compared
9 your films to the ones of March 18th, '98; is that
10 correct?
11 A. That's right.
12 Q. You noted that there had been no interval
13 change. I read that correctly; correct?
14 A. Yes.
15 Q. Does that mean that you read the 3/18/98
16 films as a one over zero also?
17 A. Although, I didn't do a formal B-Reading on
18 the '98 film, the appearance of the lung parenchyma
19 looked identical from -- it hadn't changed from '98 to
20 2002.
21 Q. And I think we've talked about this earlier,
22 but I'm not exactly sure. You're not provided with the
23 actual B-Read or ILO form that's done by the initial
24 screener, say, of the March 18th, '98 film?
25 A. Not generally, no.

Page 113

1 Q. Do you have that in your stack of stuff right
2 there? I think it's underneath the report there.
3 A. There's a report here from 3/18/98 in this
4 notebook.
5 Q. Right; in the notebook that you're reviewing
6 there. What does Dr. Fisher give as the ILO rating on
7 his review?
8 A. 1/2.
9 Q. You didn't see a 1/2?
10 A. No.
11 Q. Is he a bit liberal on his -- would you
12 consider that a bit liberal on his diagnosis of a 1/2?
13 A. I don't think the 3/18/98 film was 1/2.
14 Q. You don't think so. Obviously you disagree
15 with Dr. Fisher?
16 A. Yes.
17 Q. You note that Mr. [REDACTED] has a history of
18 prostate cancer. Have you attempted or are offering
19 any opinions today that his prostate cancer is in any
20 way associated with exposure to asbestos?
21 A. No.
22 MR. PETERS: Pass the witness.
23 MS. PAPANTONAKIS: No other questions on Mr.
24 [REDACTED]. Let's move on to Mr. [REDACTED].
25 THE WITNESS: I'd like to take a quick

Page 114

1 break.
2 ---
3 (Whereupon, a short break was taken.)
4 FURTHER EXAMINATION
5 BY MR. ALMQUIST:
6 Q. Okay. Briefly on Mr. [REDACTED], before we
7 leave him, do you believe that he has a greater than
8 fifty percent chance of developing mesothelioma?
9 A. Although his risk is increased, it's less
10 than fifty percent.
11 Q. Does he have a less than fifty percent chance
12 of developing lung cancer?
13 A. Again, although his risk is elevated, it
14 doesn't approach fifty percent.
15 Q. And does he have a greater than fifty percent
16 chance of developing the other form of asbestos-related
17 cancer?
18 A. No.
19 Q. Let's talk about Mr. [REDACTED].
20 MS. PAPANTONAKIS: Actually, Mr. [REDACTED] is
21 next.
22 MR. ALMQUIST: [REDACTED]. I'm sorry.
23 ---
24 EXAMINATION
25 BY MR. ALMQUIST:

Page 115

1 Q. Okay. Mr. [REDACTED], you saw Mr. [REDACTED]
2 February 7th, 2001.
3 A. Right.
4 Q. Do you know if you had gotten your Texas
5 license by that point?
6 A. Well, as I said, my license came through late
7 in the year, but I had a temporary license in the early
8 part of year. I don't know whether it came before then
9 or not.
10 Q. Pulmonary function tests on Mr. [REDACTED] were
11 normal; is that correct?
12 A. Yes.
13 Q. Which is, again, fairly consistent with the
14 fact that he has virtually no smoking history?
15 A. Right.
16 Q. On your exam, you found no rales, wheezes or
17 rhonchi; is that correct?
18 A. Yes.
19 Q. Appears to have had a cardiac dysrhythmia
20 maybe related to a cardiac aneurysm, as well as having
21 hypertension?
22 A. Yes.
23 Q. His stress-related substernal chest pain, is
24 that related to his heart condition?
25 A. His what?

Page 116

1 Q. He reported a stress-related substernal chest
2 pain lasting about thirty minutes once or twice a month
3 relieved by rest.
4 A. Probably.
5 Q. The palpitations, likewise?
6 A. Yes.
7 Q. And, again, his shortness of breath on
8 walking, again, is consistent with having heart
9 problems as well as other problems; is that correct?
10 A. Well, as you know, that's multifactorial. In
11 his particular case, it's probably due to his lung
12 disease and his heart disease.
13 Q. You give him an ILO rating of 1/0, which,
14 again, is the first abnormal level?
15 A. That's right.
16 Q. Did you have any prior films to compare?
17 Let's see. Yes, you did. No changes -- or minor
18 changes?
19 A. Right.
20 Q. Do you believe Mr. [REDACTED] has a greater than
21 fifty percent chance of developing mesothelioma?
22 A. Although his risk is elevated, it doesn't
23 exceed fifty percent.
24 Q. Do you believe he has a greater than fifty
25 percent chance of developing lung cancer?

Page 117

1 A. Again, although his risk is higher, it's
2 less than fifty percent.
3 Q. And do you have an opinion as to whether he
4 has a greater than fifty percent chance of developing
5 another asbestos-related cancer?
6 A. I do. And I don't think that he has a
7 greater than fifty percent chance of that.
8 MR. ALMQUIST: Pass Mr. [REDACTED] if somebody
9 else has got some questions.
10 ---
11 EXAMINATION
12 BY MR. GOLDEN:
13 Q. Dr. Segarra, my name is Shawn Golden. I
14 represent Alcoa. Before I get to Mr. [REDACTED], can I
15 just briefly ask you, in the study that you're working
16 on that's pending regarding the aluminum workers that
17 you mentioned earlier this morning --
18 A. Yeah. It's not really pending. It's been
19 published already, but there may be some follow-up
20 study to that.
21 Q. And did I understand you that you're trying
22 to prepare for the 2004 ATS -- was it a conference?
23 A. It's an annual meeting at which research
24 gets presented.
25 Q. Will you be presenting your research findings

1 or the data that you reviewed at that --
 2 A. As I said, I haven't -- we haven't decided
 3 to do that yet. It's just a possibility. It's
 4 something that's on -- right now is on the back burner.
 5 It may get presented next year; it may not.
 6 Q. And you said that your findings have already
 7 been published?
 8 A. The initial findings of the study have been
 9 published in the European Journal of Oncology in 2001.
 10 Q. Now, going and looking at Mr. [REDACTED] in the
 11 occupational history section at the top of your report.
 12 When a patient or an individual comes to see you and
 13 tells you that he's a millwright, do you have an
 14 understanding either independently or from the
 15 gentleman that comes to see you as to what a millwright
 16 does?
 17 A. Yes.
 18 Q. And is that just an independent
 19 understanding, or is that something that you ask the
 20 patient that you're visiting with?
 21 A. Both.
 22 Q. And what is your understanding as to what a
 23 millwright does?
 24 A. In general, a millwright is a
 25 jack-of-all-maintenance trades in an industrial plant,

1 so that he does carpentry. In some cases -- some
 2 plants it varies a little bit with the particular
 3 union, particular region and particular plant, but he
 4 may do electrical work, pipe fitting, insulation,
 5 rigging, carpentry, as I said. What else. Iron work,
 6 machine maintenance and overhauling. I may be leaving
 7 out some, but all those trades. Multicraft mechanic is
 8 a word that in modern times has sort of replaced
 9 millwright as a term.
 10 Q. Synonym.
 11 A. A synonym for that; right.
 12 Q. And do you have an understanding as to Mr.
 13 [REDACTED] craft as a millwright? Did he do one specific
 14 craft, or do you have an understanding that he was a
 15 multicraft maintenance person?
 16 A. That's my understanding. I mean, at least
 17 for those six years.
 18 Q. Sure. Yes, sir. I understand. As to the
 19 comment that he rebuilt magnesium pots and worked with
 20 aluminum pots, that's a pretty broad statement. Is
 21 there any way for you to elaborate on those, as to what
 22 Mr. [REDACTED] did when he was rebuilding magnesium pots or
 23 aluminum pots, what he may have worked with?
 24 A. No. But in many cases -- although I can't
 25 in this particular case, in other interviews of people

1 who have worked in metal foundries or aluminum plants,
 2 if you are rebuilding pots that contain molten
 3 aluminum, in some cases the lining contained asbestos.
 4 In other cases you would have to put boards or sheets
 5 of asbestos across the work area as you worked on them
 6 and then remove them, and that would expose the pot
 7 worker, so to speak, to dust from that particular
 8 source of asbestos as well as possibly some others.
 9 Q. Okay. Now, there are many -- interstitial
 10 markings are caused by numerous things, are they not?
 11 A. Although there are many different causes of
 12 interstitial lung disease, there are only a few common
 13 causes.
 14 Q. Would welding and grinding bare metal, would
 15 that result in interstitial markings?
 16 A. Not likely in of itself. It's possible, but
 17 it's not likely.
 18 Q. Further down, Mr. [REDACTED] commented that from
 19 1963 to 1984 he did air conditioning work. Is there
 20 any way for you to now tell what he meant by saying air
 21 conditioning work?
 22 A. I assume that means installation and
 23 maintenance of heating and air conditioning units on
 24 ships. And specifically he said that he insulated
 25 ducts and sealed joints with asbestos.

1 Q. On ships?
 2 A. Well, in terms of doing air conditioning
 3 work. Whether it was all on ships or not, I don't
 4 think it was. I think some of it was, though.
 5 Q. Did he comment to you or do you recall if
 6 you asked him if he worked with refrigerants such as
 7 Freon or other type refrigerant chemicals?
 8 A. I don't recall. I would assume that he
 9 would have, but I don't know for sure.
 10 Q. Refrigerant chemicals or gases such as Freon,
 11 can those result in interstitial markings?
 12 A. No, generally not.
 13 Q. Of the different diagnosing criteria, be
 14 it -- it's my understanding that pathological
 15 evaluation and assessment is the preferred method for
 16 the findings of asbestosis. But if you can't have a --
 17 you can't take the pathology of it, you go to a
 18 clinical evaluation or a clinical assessment with the
 19 chest x-ray, worker history, physical examination. Is
 20 my understanding correct?
 21 A. That's the most common way to diagnose
 22 asbestosis is clinical; right. Most patients won't let
 23 you chop their lungs up to look pathologically.
 24 Q. Sure. Can you explain in layman terms how
 25 it is that someone or why someone would have a

Page 122

1 pulmonary function test within normal limits and yet an
2 abnormal chest x-ray?
3 A. Oh, sure. It's entirely possible to have
4 asbestosis; in other words, interstitial scarring in
5 the lungs caused by asbestos dust exposure without
6 having any measurable impairment on pulmonary function
7 testing. That's quite possible and quite common. In
8 some, but not all cases, as the disease progresses, the
9 pulmonary function tests may or may not become abnormal
10 later.
11 Q. In a man seventy-three years of age like Mr.
12 [REDACTED], when would you expect his pulmonary function
13 results to start worsening?
14 A. Well, if they do worsen, they will worsen
15 slowly over the years.
16 Q. Mr. [REDACTED] apparently has worked out at
17 Alcoa in Point Comfort, Texas, for seven months, or a
18 total of seven months, sometime in 1952 as a
19 millwright. That exposure alone for seven months, is
20 that enough by itself, standing alone, to result in Mr.
21 [REDACTED] diagnosis of mild pulmonary asbestosis?
22 A. Although, yes, I think that's possible, I
23 think that his actual disease is caused by his
24 cumulative exposure, of which the exposure you
25 mentioned is one component.

Page 123

1 Q. And you do think it's possible that exposure
2 alone?
3 A. Yeah. Heavy asbestos exposure over seven
4 months, it's possible to get asbestosis from that. It
5 doesn't mean that everybody with that exposure will get
6 asbestosis, but it's a sufficient exposure history for
7 asbestosis.
8 Q. For the individual work history, when you
9 review that with a patient, for instance, and they tell
10 you that they worked as a millwright in chemical
11 plants, aluminum plants and foundries from '50 to '56,
12 do you individualize or break down that information as
13 to what dose of asbestos they received at a particular
14 job site, year or given period?
15 A. No. I answered that -- well, I didn't answer
16 that exact question, but I answered a similar question
17 before. I don't break it down with job sites. If they
18 tell me that that's the kind of work they did during
19 that work period and they worked in a hundred different
20 plants, I don't try to break it down into each
21 individual plant and how much exposure they got at each
22 one. I would just summarize it for that period.
23 Q. And you just look at it as a cumulative
24 effect over their entire work history?
25 A. Over that -- over the period that I

Page 124

1 mentioned. In this case, six years. Yes.
2 Q. And then that six years would be then
3 evaluated cumulatively --
4 A. As a whole.
5 Q. -- as a whole from whatever his stated work
6 history or exposure period would be?
7 A. That's what I've done in this case, yes.
8 Q. Do you believe that a seven-month exposure
9 is sufficient in 1952, a seven-month exposure in 1952
10 is sufficient to satisfy the ATS requirements as to
11 duration and intensity?
12 A. I don't know that there are any specific ATS
13 requirements for duration and intensity. I think that
14 the question you asked me, which is an artificial
15 question, which is that -- I mean, this man has been
16 exposed to asbestos from 1950 to 1956 and then 1963 to
17 1984, so it's a moot point. Certainly he's had plenty
18 enough exposure to have asbestosis.
19 But you're asking me a theoretical question,
20 could just those seven months have caused asbestosis,
21 and the answer is yes. Now, are those seven months of
22 exposure in isolation, and as a hypothetical question
23 are they likely to cause asbestosis, no, but it's
24 possible.
25 Q. Okay. And I know earlier they touched on the

Page 125

1 questions about who administered the pulmonary function
2 tests, and you basically directed us as to specific
3 information as to calibration of the machines, the
4 individual's names we should visit with Holland, Bieber
5 & Associates.
6 A. Well, no. I can answer questions about which
7 technician did it, and I know in general how the
8 machine -- the calibration protocols for the machines.
9 But the particular technical specs, you would have to
10 get that from Holland, Bieber.
11 Q. For instance, the temperature of the room
12 when Mr. [REDACTED] sat for his PFT, you wouldn't have that
13 information?
14 A. Well, it's between seventy and eighty.
15 Q. And how do you know that?
16 A. Because it's always between seventy and
17 eighty.
18 Q. But that's not evidenced on this report?
19 A. No.
20 Q. Does the room temperature matter as to the
21 results of the PFT?
22 A. Not much. Except on top of Mount Everest.
23 They did PFTs on top of Mount Everest recently.
24 Fascinating.
25 MR. GOLDEN: Given what I have, I think I'll

1 pass the witness. Thank you for your time, sir.
 2 THE WITNESS: Sure.
 3 ---
 4 EXAMINATION
 5 BY MR. SPRAGUE:
 6 Q. One quick follow-up. Wes Sprague, Doctor.
 7 With respect to duration, would duration of exposure of
 8 approximately two weeks be sufficient to cause
 9 asbestosis?
 10 A. No.
 11 Q. That doesn't matter in your mind whether it's
 12 a light, moderate or heavy exposure?
 13 A. No. Massive exposure over two weeks has
 14 been -- there are case reports of that causing pleural
 15 plaques. But I don't know of any case of asbestosis
 16 caused by a heavy two-week exposure.

17 MR. SPRAGUE: Thank you, Doctor.

18 MS. PAPANTONAKIS: Any other questions on Mr.

19 [REDACTED]? Now we'll do Mr. [REDACTED].

20 ---
21 EXAMINATION

22 BY MR. ALMQUIST:

23 Q. Okay. Mr. [REDACTED], sixty-five year old
 24 bricklayer. Looks like you saw him February 5th of
 25 2002. He was complaining of increasing shortness of

1 Q. With respect to the chronic bronchitis, that
 2 could explain the slight obstructive defect which was
 3 found on the pulmonary function tests?
 4 A. It could explain that, yes.
 5 Q. And on the diffusion capacity, again, I'm
 6 trying to recall what you told me earlier, was it
 7 eighty percent or seventy percent?
 8 A. I said mild is between sixty and seventy-nine
 9 percent of predicted, so his is sixty-seven percent.
 10 And he hasn't smoked in a long time.
 11 Q. And you also have about a four year -- almost
 12 a four-year interval between films. Was there any
 13 indication of any progression of his disease in that
 14 time?
 15 A. I didn't see any.
 16 Q. Do you have an opinion as to whether Mr.
 17 [REDACTED] has a greater than fifty percent chance of
 18 developing mesothelioma in the future?
 19 A. Again, although his risk is increased, it
 20 does not reach fifty percent.
 21 Q. Do you have an opinion as to whether or not
 22 he may be at a greater than fifty percent risk of
 23 developing lung cancer in the future?
 24 A. Similarly, although his risk is elevated,
 25 it's less than fifty percent.

1 breath on exertion, making climbing scaffolds and
 2 playing golf difficult. Did you determine whether he
 3 uses a cart, or does he walk the course?
 4 A. I don't know.
 5 Q. And it appears from that fact that he's
 6 still climbing scaffolds at the age of sixty-five, he's
 7 still working?
 8 A. Yes. Yes, he is, or was.
 9 Q. At the time you saw him in February of 2002,
 10 did he give you any further history of having to take
 11 time off or having anybody carry him on the job, so to
 12 speak, during his work?
 13 A. I don't think so.
 14 Q. He also gave you a history of frequent upper
 15 respiratory infections, pleurisy, pneumonia. In fact,
 16 he says he's had pneumonia twelve times, and diagnosed
 17 with chronic bronchitis; is that correct?
 18 A. Yes.
 19 Q. Of course the diagnosis of chronic bronchitis
 20 is significant with respect to the pulmonary function
 21 tests, which show a slight obstructive defect with
 22 normal lung volumes and mildly reduced diffusion
 23 capacity; is that correct?
 24 A. Those are two different questions. But, yes,
 25 that's what the pulmonary function tests show, yes.

1 Q. And finally, do you have an opinion as to
 2 whether he may have a greater than fifty percent chance
 3 of developing another asbestos-related cancer in the
 4 future?
 5 A. That would also be less than fifty percent.
 6 MR. ALMQUIST: I believe that's all I've got
 7 on Mr. [REDACTED].
 8 MS. PAPANTONAKIS: Anyone else have any
 9 questions on Mr. [REDACTED]?
 10 MR. JACOBS: I have very few questions.
 11 ---
 12 EXAMINATION
 13 BY MR. JACOBS:
 14 Q. Doctor, if you'll look at the PFT
 15 information.
 16 A. Okay.
 17 Q. There's a notation for the technician of LE,
 18 and I know you've answered a lot of questions, but I
 19 don't think we've seen LE before today. Do you know
 20 who LE is?
 21 A. Yeah. You've asked me that three times,
 22 actually.
 23 Q. Really?
 24 A. If not you, then some of the other people.
 25 LE is Zeke. Zeke is LE. And the LE stands for -- the

Page 130

1 man is from Madras, India, and he has a long name. But
2 to me, he's Zeke.
3 Q. Okay. For some reason I had not written
4 that one down and I apologize.
5 A. It's okay. No, no problem. I'm just letting
6 you know.
7 MR. JACOBS: That's all I've got.
8 MS. PAPANTONAKIS: Okay. That is the end of
9 the [REDACTED] plaintiffs that were identified in the
10 notices. But because I am such a nice person and
11 so is Dr. Segarra, we are going to talk about Mr.
12 [REDACTED] just for you, Art.
13 ---
14 EXAMINATION
15 BY MR. ALMQUIST:
16 Q. Okay. Mr. [REDACTED] a fifty-six year old pipe
17 fitter/welder. In his history, he indicates that for
18 the past two years he's been a field planner in the
19 maintenance department of a chemical plant. Would you
20 be interested in seeing his, if the plant he works for
21 has periodic or annual physical exams, the results of
22 those exams over the last few years?
23 A. They may not -- may or may not be germane to
24 the issue, but, sure.
25 Q. And you didn't have a chance to see those

Page 131

1 before?
2 A. No.
3 Q. Mr. [REDACTED] does not have any real history of
4 shortness of breath on exertion, cough, chronic cough?
5 A. That's true.
6 Q. And you're going to have to help me on --
7 A. Hemoptysis.
8 Q. Hemoptysis.
9 A. Coughing up blood.
10 Q. Okay. On your x-ray, again, no pleural
11 plaques, pleural thickening or pleural calcifications?
12 A. That's right.
13 Q. And you did find some interstitial changes?
14 A. Yes.
15 Q. Pulmonary function tests, you indicate a
16 borderline restrictive defect. What is the finding
17 that you base that -- or which reading do you base that
18 borderline restrictive defect on?
19 A. Well, the TLC is just one percent below
20 eighty-one percent, which is -- and it's eighty
21 percent. So if you look at the secondary lung volumes,
22 the FRC is low, which suggests restriction. However,
23 his FVC and his slow vital capacity are eighty-two
24 percent predicted, which are just on the other side of
25 the normal range.

Page 132

1 So when the TLC and the FRC are within one or
2 two percent of each other but they hover around the
3 border between normal and abnormal, that's what I call
4 borderline restrictive defect. That makes sense,
5 doesn't it?
6 Q. Yeah. Some of them are slightly above what
7 you would call normal, some are -- at least there's one
8 that's slightly below?
9 A. It's hovering over the line between normal
10 and abnormal.
11 Q. Okay. Do you have an opinion as to whether
12 or not Mr. [REDACTED] may be at a greater than fifty percent
13 risk of developing mesothelioma in the future?
14 A. Although his risk is increased, I don't
15 think it exceeds fifty percent.
16 Q. Do you have an opinion as to whether he may
17 be at a greater than fifty percent chance of developing
18 lung cancer in the future?
19 A. Again, although his risk is increased, it's
20 less than fifty percent.
21 Q. And the same question for other asbestos
22 cancers?
23 A. And that would be my same answer for that
24 one.
25 MR. ALMQUIST: That's all I've got on Mr.

Page 133

1 [REDACTED]
2 MS. PAPANTONAKIS: Anyone else have questions
3 on Mr. [REDACTED]? Now we're going to Mr. [REDACTED]
4 ---
5 EXAMINATION
6 BY MR. ALMQUIST:
7 Q. Okay. Mr. [REDACTED] you saw in October of 2001
8 in Houston, fifty-eight years old. Again, your ILO
9 reading is 1/0, the first abnormal level. And there's
10 no evidence of pleural plaques, pleural thickening or
11 pleural calcifications; is that correct?
12 A. That's right.
13 Q. Fairly normal spirometry is your indication,
14 but lung volumes have a very slight isolated reduction.
15 A. In diffusion capacity, not lung volumes.
16 Q. Is that kind of an itchy-bitsy, teeny-weeny
17 kind of very slight isolated reduction?
18 A. Well, it's -- his race correction for his
19 DLCO puts him at seventy-four percent of its predicted
20 value, which is five percent less than normal. So
21 there's a slight reduction in diffusion capacity.
22 Q. No other abnormalities on his PFTs?
23 A. On his PFTs, no. Just the diffusion.
24 Q. It also looks like he has diabetes,
25 hypertension, overweight, obstructive sleep apnea.

Page 134

1 Could those be involved in some of his shortness of
2 breath that he has?
3 A. Yes.
4 Q. Is he at a greater than fifty percent risk
5 of developing mesothelioma?
6 A. Although his risk is elevated, it's less than
7 fifty percent.
8 Q. Is he at a greater than fifty percent risk
9 of developing lung cancer?
10 A. Again, although his risk is much higher than
11 average, it's less than fifty percent.
12 Q. And is he at a greater than fifty percent
13 risk of developing another asbestos-related cancer?
14 A. No.
15 MR. ALMQUIST: Okay.
16 MS. PAPANTONAKIS: If that's it for Mr.
17 [REDACTED] now Mr. [REDACTED].
18 ---
19 EXAMINATION
20 BY MR. ALMQUIST:
21 Q. Okay. Mr. [REDACTED] is a sixty-four year old
22 retired pipe fitter. It looks like he smoked two packs
23 a day for twenty-six years, quitting in '79; is that
24 correct?
25 A. Yes.

Page 135

1 Q. He, likewise, suffers from hypertension,
2 diabetes, heart disease and has had prior bypass
3 surgery; is that correct?
4 A. Yes.
5 Q. Again, the shortness of breath is something
6 that one might expect following bypass surgery?
7 A. No, not necessarily. In fact, many people
8 have bypass surgery. It cures their shortness of
9 breath if it was heart related.
10 Q. On your physical exam, no rales, wheezes or
11 rhonchi was heard?
12 A. Right.
13 Q. Again, profusion level was only 1/0?
14 A. That's right. He had pleural changes.
15 Q. And some pleural changes. No calcification
16 of any of those changes?
17 A. No.
18 Q. And you have a reduced diffusion capacity was
19 the only abnormality in the PFT?
20 A. Yes.
21 Q. Is it possible that the coronary bypass
22 surgery could have caused some lung markings that you
23 saw on your x-rays?
24 A. No. Well, wait, wait. Hold on. No. In
25 his case, not. I was checking to see if he had blunted

Page 136

1 costophrenic angles because that is a frequent result
2 of bypass surgery, but he didn't have that.
3 Q. Does Mr. [REDACTED] have a greater than fifty
4 percent chance of developing mesothelioma?
5 A. Although his risk is increased, it's less
6 than fifty percent.
7 Q. And does he have a greater than fifty
8 percent chance of developing lung cancer?
9 A. My same answer would apply to that one, too.
10 Q. And does he have a greater than fifty percent
11 chance of developing another asbestos-related cancer?
12 A. No.
13 MR. ALMQUIST: I believe that's all for him.
14 MS. PAPANTONAKIS: If no more for Mr. [REDACTED],
15 then we'll move on to Mr. [REDACTED].
16 ---
17 EXAMINATION
18 BY MR. ALMQUIST:
19 Q. Okay. Mr. [REDACTED] you saw back in October of
20 2000. It looks like Mr. [REDACTED] was an insulator. Do
21 you know if he was a member of the insulators union?
22 A. Unless I put it in there, I don't know.
23 Q. If he was a member of the insulators union
24 and started working for them in '68, was that after Dr.
25 Selikoff had started publishing the results of his work

Page 137

1 to the insulators union?
2 A. Yes.
3 Q. Has your experience in the past in dealing
4 with insulators been that most of them were familiar
5 with the work of Dr. Selikoff?
6 A. That's a really broad-based question. I
7 would say the majority of them are familiar with his
8 work. As far as the point at which they became
9 familiar, that varies widely. Some not becoming aware
10 of it until the 1980s.
11 Q. However, as early as '68, there were green
12 sheets in the union publications reporting on health
13 issues and asbestos; is that correct?
14 A. I'm not sure about that.
15 Q. Now, Mr. [REDACTED] suffered a cerebral
16 hemorrhage from a brain aneurysm and that has disabled
17 him; is that correct?
18 A. Yes.
19 Q. And it appears that he didn't have surgery
20 for that condition?
21 A. Right.
22 Q. You probably wouldn't recommend heavy
23 exertion for an individual with that condition in any
24 event, would you?
25 A. Well, not in the immediate aftermath. At

Page 138

1 this point, depending on what his general physical
2 condition is, it wouldn't matter.
3 Q. He also is obese as well, which would account
4 for some of his shortness of breath on exertion and
5 activity; is that correct?
6 A. Possibly.
7 Q. His pulmonary function tests showed normal
8 lung volumes and normal diffusion capacity. The only
9 defect you saw was a mild obstructive defect with small
10 airway obstruction; is that correct?
11 A. Yes.
12 Q. Now, in your diagnosis and impression you
13 haven't attributed that to -- or mention that finding
14 as having any relation to your diagnosis of pulmonary
15 asbestosis. Does it have some relation to that?
16 A. Well, the way you asked that question implies
17 that we think that any pulmonary function abnormality
18 has something to do with the diagnosis of asbestosis.
19 And if I've given you that impression, I need to
20 correct that at once.
21 Pulmonary function tests have nothing to do
22 with the diagnosis of asbestosis. They're simply a
23 measure of impairment. So if you're asking me do I
24 think that pulmonary asbestosis caused or was a
25 contributing factor to his airflow obstruction, I would

Page 139

1 say yes. I generally -- as I told you before, I
2 generally don't put that on the reports, not because I
3 don't believe that it's a factor, but because it's
4 somewhat controversial and not all pulmonologists
5 believe that.
6 Q. Is Mr. [REDACTED] at a greater than fifty percent
7 chance of developing mesothelioma in the future?
8 A. Although his risk is elevated, it doesn't
9 exceed fifty percent.
10 Q. And is Mr. [REDACTED] at a greater than fifty
11 percent chance of developing lung cancer in the future?
12 A. Although his risk is quite elevated, it
13 doesn't -- it's less than fifty percent.
14 Q. And is he at a greater than fifty percent
15 chance of developing another asbestos-related cancer?
16 A. No.
17
18 EXAMINATION
19 BY MR. ALMQUIST:
20 Q. Last is Mr. [REDACTED]. And I'll tell you
21 what, if you'll take Mr. [REDACTED] -- I'm going to read
22 some of his testimony. If you'll take him at his word,
23 then I may not have any questions for you about Mr.
24 [REDACTED]
25 A. Okay.

Page 140

1 Q. Because he was asked: Do you remember --
2 asked about, did you ever work at Dow, in any of the
3 Dow Chemical facilities? His answer: In Plant A at
4 Freeport for a short time. Question: How long?
5 Answer: About three or four months at the longest.
6 Question: And what did you do? Answer: I was a pipe
7 fitter there. Do you remember what year you worked
8 there? Answer: No, I really don't. It's been a long,
9 long time. Question: Was that before '79 or '80?
10 Answer: Yes, it was.
11 And then he says -- and the question was:
12 And do you believe you were exposed to any
13 asbestos-containing products while you were there?
14 Answer: I really couldn't say. I don't know. I was
15 only there a short time. Question: Did you? Answer:
16 And I didn't work around any, I'm going to put it like
17 that. I will just say no.
18 So would you say, given that history, that
19 that has anything to do with the asbestosis that you
20 diagnosed in Mr. [REDACTED]?
21 MS. PAPANTONAKIS: Object to form.
22 A. Evidently not.
23 MR. ALMQUIST: That's all the questions I
24 have on Mr. [REDACTED].
25 ---

Page 141

1 EXAMINATION
2 BY MR. SPRAGUE:
3 Q. Doctor, Wes Sprague again. With respect to
4 Mr. [REDACTED], does his pulmonary function test results
5 show any impairment that you associate with asbestos
6 exposure?
7 A. No.
8 Q. Would the impairment that is noted in the
9 pulmonary function testing be due to his chronic
10 obstructive pulmonary disease?
11 A. That's right. Or at least predominantly due
12 to chronic obstructive pulmonary disease. Margaret
13 Becklake studies suggest that people with
14 asbestos-related pleural disease have measurable
15 airflow obstruction, but that's true in her population
16 group, comparing asbestos-exposed individuals with
17 pleural disease as opposed to asbestos-exposed
18 individuals without disease. The people with the
19 pleural disease have more airflow obstruction than
20 those without. But on an individual basis, the effect
21 is small. And so I think in his case, predominantly
22 it's due to his smoking.
23 Q. With respect to your diagnosis of
24 asbestos-related pleural disease, is that based upon
25 the chest x-ray reading?

1 A. And the exposure history; right. Both.
2 MR. SPRAGUE: That's it, Doctor. Thank you.
3 MS. PAPANTONAKIS: Any other questions on any
4 other plaintiffs in the [redacted] group?

5 MR. ALMQUIST: Any other general questions on
6 [redacted], I guess.

7 MS. PAPANTONAKIS: Yeah, in the [redacted] group.
8 ---

9 (Whereupon, there was an off-the-record discussion.)

10 MS. PAPANTONAKIS: If no one else has any
11 questions for Dr. Segarra in the [redacted] group, then
12 we'll go ahead and take a quick thirty-minute
13 break for lunch and come back and do some more
14 cases.
15 ---

16 (Whereupon, a lunch break was taken.)

17 MS. PAPANTONAKIS: For the record, Dr.
18 Segarra has already been produced in the [redacted]
19 case, so we are not here on the [redacted] case
20 again. That case has been completed as far as Dr.
21 Segarra testifying on it, so we're going to move
22 now to the [redacted] case. I believe there's only
23 one plaintiff in the [redacted] case.

24 MR. JACOBS: Let me ask one question. Was
25 the [redacted] notice quashed?

1 MS. PAPANTONAKIS: I do not know. I just
2 know that he's already been produced in the [redacted]
3 case.

4 MR. RULON: Caryn, I don't want to interrupt
5 the proceedings, but I would object to the [redacted]
6 notices being disregarded. I just want that
7 objection noted for the record.

8 MS. PAPANTONAKIS: It was my understanding
9 that he shouldn't have been reoffered for [redacted]
10 because of the fact that he had already been
11 deposed on the [redacted] case.

12 MR. RULON: My only point is, I don't know
13 that, so I just wanted to reserve the objection.

14 MR. PETERS: I'll join in that because I know
15 he was produced, but the particular plaintiff that
16 I noticed him for hasn't been talked about
17 previously.

18 MS. PAPANTONAKIS: Okay. Let's move on to
19 [redacted] then.
20 ---

21 EXAMINATION

22 BY MR. PETERS:

23 Q. Okay. Dr. Segarra, Norm Peters again. If
24 you can look at Mr. [redacted] in the [redacted] case.
25 A. Sure. [redacted] got it.

1 Q. This gentleman, you have indicated that he
2 was a pipe fitter/welder from about 1954 to 1983. Am I
3 reading that correctly?

4 A. Yes.

5 Q. Did he indicate to you -- well, you note in
6 your report that he worked with insulators. Did he
7 indicate to you what type of work that entailed?

8 A. Well, he told me he was a pipe fitter/welder
9 and that he worked with insulators who were insulating
10 while he was doing his pipe fitting and welding duties,
11 so I assume that's what he meant when he said that.

12 Q. Did he indicate to you how that worked, how a
13 pipe fitter/welder would work with an insulator, what
14 type of process that was or how close they were or --

15 A. I don't recall specifically whether he
16 himself said that or not. But what I hear frequently
17 from pipe fitters and welders is that insulators
18 removed insulation prior to them welding or pipe
19 fitting; or conversely, they would be coming behind
20 them insulating pipe that they had been laying down or
21 repairing. That's what I hear most frequently.

22 Q. Okay. What's this gentleman's smoking
23 history, if you would?

24 A. He smoked a pack a day for just four years,
25 quitting in 1954. I would consider that -- well, that

1 is statistically an insignificant smoking history.

2 Q. Okay. And this gentleman suffers from
3 chronic low back pain, type II diabetes, hypertension
4 and diverticulitis.

5 A. Diverticulitis, yes.

6 Q. Which is?

7 A. Inflammation of little out pocketings of the
8 colon, which occasionally can cause pain and
9 discomfort, but is not considered a life threatening
10 problem.

11 Q. In fact, problems have continued since 1983,
12 is what you state in here; is that correct?

13 A. That's right.

14 Q. You also note in here that he stopped taking
15 his blood pressure medicine two weeks ago. It's not
16 noted in here why, but do you have any recollection or
17 do you see any reason why?

18 A. I don't recall, no. I mean, I could tell you
19 the reasons why patients typically stop taking blood
20 pressure medicine, but there's a short list.

21 Q. But you don't know?

22 A. I don't recall why he himself stopped taking
23 it, no.

24 Q. You note that he has dyspnea upon moderate
25 exertion. Do you attribute that to -- or can you

Page 146

1 attribute that to his hypertension?
2 A. No, not necessarily. No.
3 Q. How about his obesity?
4 A. That could be a component, certainly.
5 Q. What about diabetes?
6 A. That doesn't cause shortness of breath in of
7 itself.
8 Q. You indicate -- well, you indicated, I
9 suppose he indicated to you, also, that he can no
10 longer run. I haven't seen that before in any of your
11 reports. What do you mean by that other than just the
12 obvious?
13 A. I'm just reporting what he said.
14 Q. You don't know if he was running two miles
15 before he started having shortness of breath or --
16 A. No. No. Sometimes I get patients who are
17 runners and they say that they used to run three miles
18 a day and now they run just a half a mile and can
19 barely make that, but that's not the case here.
20 Q. You wouldn't expect a sixty-eight year old
21 gentleman with chronic back pain and is overweight to
22 be a runner, would you?
23 A. No.
24 Q. You indicate that he had no rales when you
25 listened to his chest.

Page 147

1 A. That's right.
2 Q. All right. You note that he had a 1/1 ILO
3 rating in the mid and lower lung zones; is that correct?
4 A. Correct.
5 Q. You also noted pleural surfaces revealed
6 circumscribed pleural thickening, in profile. What
7 does "in profile" mean?
8 A. On edge.
9 Q. On the edge of?
10 A. It means that the plaque, which is a flat
11 lesion, is oriented on edge or anterior posterior so
12 that it shows up as like a disc on edge, if you're
13 looking at it like this. When it's en face, it means
14 that it's turned like this so you're looking through
15 the thin portion of it.
16 Q. The thickening wasn't calcified, though, was
17 it?
18 A. No.
19 Q. And you noted no change in the films from
20 March 7th, '98 until you read films on February 6th,
21 2001?
22 A. That's right.
23 Q. He had a normal pulmonary function test;
24 correct?
25 A. He did.

Page 148

1 Q. In your diagnosis/impression section you
2 indicate pulmonary asbestosis based on pleural and
3 parenchymal x-ray. Is that meant to read that you're
4 basing his asbestosis on the pleural findings, or is
5 that just --
6 A. No. It's that he's got parenchymal x-ray
7 changes and exposure history. And all the pleural
8 findings are related to asbestos, and when they are
9 present, they increase the specificity of the
10 parenchymal findings for asbestosis.
11 Q. Okay. The same set of questions you've been
12 asked over and over again. Do you believe or is there
13 a greater than fifty percent chance that Mr. [REDACTED] is
14 going to develop a lung cancer as a result of his
15 asbestos exposure?
16 A. Although his risk is increased, it's not --
17 it's less likely than not that he will get lung cancer.
18 Q. How about mesothelioma? Same question.
19 A. Again, although his risk is greatly increased
20 compared to nonasbestos-exposed individuals, that risk
21 does not reach the point where it becomes likely that
22 he will get that disease.
23 Q. How about any other asbestos-related
24 associated malignancy?
25 A. His risk for some of those cancers, although

Page 149

1 increased, is less than fifty percent.
2 MR. PETERS: I'll pass the witness.
3 MS. PAPANTONAKIS: Anyone else with any
4 questions about Mr. [REDACTED]? Anyone else with any
5 questions about any of the plaintiffs in the
6 [REDACTED] case?
7 MR. JACOBS: I have one question on Mr.
8 [REDACTED].
9 ---
10 EXAMINATION
11 BY MR. JACOBS:
12 Q. At the end you note in your prognosis and
13 recommendation, you note that Mr. [REDACTED] voiced his
14 intention to see his regular physician within the next
15 four hours.
16 A. Yes.
17 Q. What was his reason for that, if you remember
18 or if you can tell from your report?
19 A. Yeah. I strongly encouraged him to.
20 Q. Could it have something to do with his blood
21 pressure having been two thirty over one twenty-five?
22 A. That was the whole reason for it.
23 MR. JACOBS: Pass the witness.
24 MS. PAPANTONAKIS: Anyone else with any
25 questions about any of the plaintiffs in the

Page 150

1 [REDACTED] case? Then that's it. Now we'll move on
2 to the [REDACTED] case.
3 MR. SIAHATGAR: Excellent. All right. I've
4 got eight in the [REDACTED] case in alphabetical
5 order; [REDACTED]
6 [REDACTED]
7 MS. PAPANTONAKIS: That's all of them that
8 have been noticed.
9 MR. SIAHATGAR: That's what I thought.
10 - - -
11 EXAMINATION
12 BY MR. SIAHATGAR:
13 Q. Dr. Segarra, my name is Bijan Siahatgar.
14 You and I have actually met once before. I think it
15 was last fall. I'm sure you don't remember me, but
16 that's okay. And I would like to ask you some
17 questions about a variety of these guys.
18 A. Sure.
19 Q. Let's start with [REDACTED] All right. It
20 looks to me, and you may need to flip through your
21 history a little bit, that this gentleman smoked a half
22 pack of cigarettes for about thirteen years; correct?
23 A. Right. Yes.
24 Q. And it appears the man died in 1968?
25 A. No.

Page 151

1 Q. I mean, ceased smoking in 1968, excuse me.
2 A. To some that would be considered a death.
3 Q. If he had died in 1968, it would be a much
4 shorter deposition. But he ceased smoking in 1968.
5 A. Some people have described their life as
6 ending when they stop smoking. But, no, not him.
7 Q. All right. I would like to make sure that
8 his medical history, in your opinion, is unrelated to
9 asbestos exposure, notably liver cirrhosis?
10 A. No.
11 Q. Unrelated; correct?
12 A. Unrelated.
13 Q. All right. Glaucoma?
14 A. No.
15 Q. Unrelated?
16 A. Unrelated.
17 Q. All right. Rather than saying no, how about
18 saying unrelated.
19 A. Okay.
20 Q. All right.
21 A. How about if I say that his liver cirrhosis,
22 glaucoma, acid reflux and hypertension are all
23 unrelated.
24 Q. To asbestos exposure?
25 A. To asbestos exposure.

Page 152

1 Q. Beautiful. And then you mention the fact
2 that he was diagnosed with a lung cancer two years
3 prior?
4 A. Right.
5 Q. Back in either late 1999 or 2000; correct?
6 A. Yes.
7 Q. The lung cancer was excised. He underwent
8 various treatment for it. And as far as you are
9 concerned, at the time he saw you in January of 2002
10 his lung cancer had not recurred; correct?
11 A. Yes.
12 Q. Do you have any idea whether his lung cancer
13 has recurred in the year and a half since you saw him
14 on January 23, 2002?
15 A. At this time I don't know.
16 Q. When is the last time you saw this
17 gentleman, [REDACTED]?
18 A. The date on my report, January 23rd.
19 Q. You have not seen him since then; right?
20 A. Have not.
21 Q. You actually have no independent recollection
22 of this gentleman; right?
23 A. I don't have much independent recollection.
24 The fact that he had a lung cancer is -- I remember a
25 few things, but not much.

Page 153

1 Q. Essentially when you testify at trial, you're
2 going to testify based on your report and the medical
3 records?
4 A. Of course.
5 Q. Solely?
6 A. Yes.
7 Q. All right. Do you have any plans to see
8 this gentleman again, [REDACTED]?
9 A. I have not been asked to see him again.
10 Q. So sitting here today, you have no future
11 plans to see this gentleman?
12 A. Right.
13 Q. Is it fair to say that all your opinions
14 relating to this gentleman are set forth in this
15 report, your January 23, 2002 report?
16 A. All my opinions for questions that have been
17 framed so far. You could certainly ask me questions
18 that might generate additional opinions. But basically
19 if you ask me questions limited to the questions that
20 are framed as part of my report, these are all the
21 opinions I'll be giving you.
22 Q. Good. His current medications, Xalatan,
23 Protonix and Procardia, any of those for purposes of
24 either his lung cancer or an asbestos-related disease?
25 A. No.

Page 154

1 Q. You talk about frequent heartburn and mild
2 nocturia. Neither one of those are related to asbestos
3 exposure; correct?
4 A. No.
5 Q. Not correct, or it is correct?
6 A. Neither of them are related to asbestos
7 exposure.
8 Q. Same thing as to his chronic cough or
9 hemoptysis, neither one of them are related to asbestos
10 exposure; correct?
11 A. Well, he doesn't have those.
12 Q. There is no chronic cough.
13 A. Right.
14 Q. So, therefore, obviously it's not related to
15 asbestos exposure.
16 A. Right.
17 Q. Right. His wheezing is not related to
18 asbestos exposure; correct?
19 A. Probably not.
20 Q. His dyspnea also is unrelated to asbestos
21 exposure?
22 A. Some of it could be related to asbestos
23 exposure. But, I mean, the man has lung cancer and
24 that could account for a lot of his shortness of
25 breath.

Page 155

1 Q. Right. But my question was, is there any way
2 that you can say with any type of reasonable certainty
3 that his dyspnea is related to any type of asbestos
4 exposure he may have had?
5 A. Well, I think that it probably is. He's had
6 this for two or three years. And I don't think his
7 lung cancer has been growing for three years. More
8 likely it's been growing for about a year or so.
9 Q. You note in the last line of your history
10 that this man has not been diagnosed with a primary
11 pulmonary disease until recently. Why do you mention
12 that in your report?
13 A. Well, only because when I took his pulmonary
14 history, he had never been told that he had emphysema
15 or any other condition prior to this time. That's all.
16 Q. The physical exam appears normal; correct?
17 A. Yes.
18 Q. In other words, from a pure physical exam,
19 this man was no different than any other sixty-four
20 year old man or a healthy individual?
21 A. Well, other than the fact that he had a
22 catheter for chemotherapy in his arm. Other than that,
23 he was --
24 Q. Completely normal?
25 A. -- he looked the same as anybody else.

Page 156

1 Q. All right. Let's turn to the chest x-ray
2 section.
3 A. Okay.
4 Q. You note a mild diffuse interstitial pattern?
5 A. Right.
6 Q. And the profusion was 1/0?
7 A. That's right.
8 Q. And, again, as you've been asked a dozen
9 times today already, the 1/0 diffusion is the minimal
10 level of diffusion -- of profusion to qualify for an
11 asbestos-related disease?
12 A. No. Qualify for asbestosis.
13 Q. Asbestosis.
14 A. Asbestos-related disease is a more
15 encompassing term. And that would include pleural
16 findings.
17 Q. Right. Pulmonary function tests, there was
18 a slight restrictive defect; correct?
19 A. Yes.
20 Q. What do you attribute his restrictive defect
21 to?
22 A. In his particular case, I think his
23 restrictive defect is due to his lung cancer.
24 Q. Let's talk about his lung cancer for a
25 second. Mr. [REDACTED] lung cancer, you opine, was

Page 157

1 related at least in part to his previous asbestos
2 exposure; right?
3 A. Sure.
4 Q. And, again, the knowledge you have of
5 whatever asbestos exposure he may have had is based
6 purely upon what he may have told you when you visited
7 with him or whatever he filled out in his form?
8 A. That's right.
9 Q. You'll agree that his lung cancer could be
10 caused or attributed -- could be caused by his
11 cigarette smoking history?
12 A. In part, it certainly is caused by the
13 cigarette smoking.
14 Q. Isn't it true that ninety percent of all
15 lung cancers, and I believe this man had
16 adenocarcinoma, are caused by cigarette smoking?
17 A. Worldwide, certainly.
18 Q. Is it possible that this man's lung cancer
19 was caused exclusively by his cigarette smoking?
20 A. I find that a difficult question because he
21 was exposed to two major sources of carcinogens, that
22 is, asbestos and cigarette smoking, and synergistically
23 they caused his lung cancer. I have trouble separating
24 the two of them, though.
25 Q. Do you have an opinion whether or not he

Page 158

1 would have developed lung cancer regardless whether he
2 had been exposed to asbestos or not?
3 A. I think his chances of developing lung cancer
4 would have been much less if he had not been exposed to
5 asbestos.
6 Q. That really wasn't my question. My question
7 was, can you sit here and tell the jury that the man
8 would not have been diagnosed with lung cancer even if
9 he had not been exposed to asbestos?
10 A. Although I can't say for sure, I can say that
11 he probably would not have developed lung cancer if he
12 hadn't been exposed to asbestos.
13 Q. Okay. The pulmonary asbestosis that you have
14 diagnosed this man with, it doesn't cause him any pain,
15 does it, as far as you're concerned?
16 A. In this case, probably not.
17 Q. Does his pulmonary asbestosis restrict his
18 ability to function, do, I guess, his everyday
19 activities?
20 A. Well, in his case it's hard to say because he
21 has lung cancer which was stage four when diagnosed,
22 which means that it's not really curable. And so his
23 activities are restricted as a result of -- for a
24 number of reasons, and likely that will get worse.
25 Q. Is there anything in your report that

Page 159

1 indicates that this man has a restricted ability to do
2 his everyday activities of daily living?
3 A. Sure. He has -- he gets short of breath
4 after walking a hundred and fifty feet on level ground,
5 weeding the garden, taking out the trash, going to the
6 mailbox. Now, his basic activities of daily living,
7 such as getting dressed and taking a shower, those have
8 not been associated with shortness of breath, but these
9 other things have been.
10 Q. You'll agree with me that some of his
11 shortness of breath could be attributable to his
12 smoking history as well, could it not?
13 A. Well, let's see. Only if he had COPD, but I
14 don't think he had that. Let's look at his pulmonary
15 function tests. Hold on. Yeah, he has no airflow
16 obstruction at all, so that there is -- there is no
17 evidence that his smoking has anything to do with his
18 activity restriction.
19 Q. So your opinions relative to this man in
20 general right now is that based on his reported work
21 history, it is your opinion that his lung cancer is
22 attributable to, at least in part, to his asbestos
23 exposure and also that his pulmonary asbestosis is
24 related to the same?
25 A. Yes.

Page 160

1 MR. SIAHATGAR: All right. That's all I have
2 for this guy. Anybody else?
3 MR. PETERS: Yeah, I've got some.
4 ---
5 EXAMINATION
6 BY MR. PETERS:
7 Q. Dr. Segarra, you noted in your chest x-ray
8 portion of your report that there is a mass in the left
9 A-P which corresponds to the location of the original
10 lung cancer. Do you see that? Second from the bottom
11 in chest x-ray section.
12 A. Left A-P window is what it should read.
13 Yes.
14 Q. It is much smaller than five centimeters,
15 however. Are you saying there that there's a potential
16 lung cancer process or malignancy?
17 A. It's not potential. He has lung cancer.
18 Q. Are you saying that he did have it?
19 A. Yeah. That's what I'm trying to tell you
20 guys. This guy doesn't have a lung cancer that's been
21 cured. This guy has stage four lung cancer which has
22 metastasized to a rib.
23 And I don't have -- at the time I did his
24 report, I didn't have his medical records, but that's
25 what was known about him at the time. And that is

Page 161

1 something that chemotherapy very rarely cures. That's
2 something that is slowed down by chemotherapy, but that
3 tends to eventually progress at some point.
4 Q. Okay. I was mistaken. I had thought you had
5 said earlier that it was excised out and that --
6 A. No, no, I didn't say that. I never said
7 that.
8 Q. And some of his restriction is caused by the
9 lung cancer, as you previously stated?
10 A. Yes.
11 Q. Okay. Under your diagnosis/impression on
12 number two, you have S, slash, P. What does that stand
13 for?
14 A. S, slash, T?
15 Q. S, slash, P chemotherapy.
16 A. Oh. Status post chemotherapy. It means
17 that he just underwent chemotherapy.
18 Q. I gotcha'.
19 MR. PETERS: I pass the witness.
20 MS. PAPANTONAKIS: If there are no other
21 questions, then we will move on to Mr. [REDACTED].
22 ---
23 EXAMINATION
24 BY MR. SIAHATGAR:
25 Q. [REDACTED]

Page 162

1 A. Okay. Got it.
2 Q. All right. This is a gentleman who has --
3 let me back up.
4 Dr. Segarra, do you have any independent
5 recollection of this gentleman, [REDACTED]?
6 A. No.
7 Q. Your testimony is and will be based solely
8 upon your report today and as well at the time of
9 trial?
10 A. Yes.
11 Q. And do you have any plans to see this
12 gentleman again?
13 A. None at this time.
14 Q. You have not seen him since January 22, 2002?
15 A. No.
16 Q. This gentleman smoked one pack of cigarettes
17 a year for twenty years?
18 A. One pack per day for twenty years.
19 Q. One pack per day. What did I say, a pack per
20 year?
21 A. Yes.
22 Q. One pack per day, daily for twenty years.
23 A. Right.
24 Q. His atypical chest pain is not related to
25 asbestos exposure; correct?

Page 163

1 A. That's unclear to me at this time.
2 Q. All right. Occasional palpitations and gets
3 leg cramps after walking two or three blocks. You
4 certainly don't attribute that to asbestos exposure?
5 A. No.
6 Q. Correct?
7 A. Correct.
8 Q. All right. He has mild ortho --
9 A. Orthopnea.
10 Q. Orthopnea and heartburn. Again, you don't
11 attribute either one of those to asbestos exposure?
12 A. No.
13 Q. Correct?
14 A. Right.
15 Q. The early morning productive cough, you don't
16 attribute that to asbestos exposure; correct?
17 A. Oh, in part that is probably related to
18 asbestos exposure, yes.
19 Q. And also where he says that he's been short
20 of breath with moderate to heavy exertion, can you tell
21 us with any reasonable certainty that that's related to
22 asbestos exposure?
23 A. Yes, it probably is.
24 Q. You note pleurisy in the past. Why is
25 pleurisy significant to you?

Page 164

1 A. I just note it when the patients say it.
2 Q. Certainly pleurisy is not related to
3 asbestos exposure?
4 A. Not unless there's an asbestos-related
5 pleural effusion, which is rare. And I don't think
6 there was in this case.
7 Q. That was my next question, but we'll move
8 on.
9 Physical exam. It looks like his physical
10 exam was normal?
11 A. Yes.
12 Q. Let's move on to the chest x-ray. What's
13 significant to me is that there was unilateral diffuse
14 pleural thickening on the left. Do you see that?
15 A. Yes.
16 Q. Is that usually indicative of asbestos
17 exposure if it's unilateral?
18 A. No. Unilateral diffuse pleural thickening is
19 most often the result of -- well, it can be the result
20 of an asbestos-related pleural effusion. But in terms
21 of likelihood, it's most likely related to a previous
22 pneumonia, where the infection has gone from the lung
23 into the pleural space.
24 Q. Now, pneumonia can also cause interstitial
25 markings, could it not?

Page 165

1 A. Not really, no. It can cause focal scars in
2 one area of the lung, but it will not cause diffuse
3 interstitial markings.
4 Q. And that's what we're talking about here,
5 that whatever you've seen here could be related to a
6 prior pneumonia?
7 A. No. His parenchymal lung disease could not
8 be related to a prior pneumonia. The unilateral
9 diffuse pleural thickening on the left side could be.
10 Q. All right. I believe you had an earlier
11 film dated back in June of 2001, and whatever your view
12 of that film was, was no different than your view of
13 his film as of January of 2002?
14 A. Right.
15 Q. In other words, his condition hadn't
16 worsened?
17 A. Correct.
18 Q. His pulmonary function test was normal?
19 A. Yes.
20 Q. In other words, this man's lungs function
21 like a normal sixty-five year old man?
22 A. Within the limits of that, yes.
23 Q. All right. Moving on to your
24 diagnosis/impression, you diagnosed pulmonary
25 asbestosis based on the interstitial changes on the

Page 166

1 chest x-rays and his exposure history; is that right?
2 A. That's right.
3 Q. If he had not taken a chest x-ray and done
4 all these other tests, is there any way to determine
5 whether or not this man had an asbestos-related
6 disease?
7 A. Well, you can't tell if anyone's had an
8 asbestos-related disease without doing a chest x-ray.
9 Q. Right. And that's what I'm trying to say
10 right now. If you had just done a physical exam of
11 this individual and you had done a lung volume test or
12 a pulmonary function test, the man would be no
13 different than any other sixty-five year old man;
14 correct?
15 A. Well, although that's true, even if the
16 pulmonary function test was abnormal, you can't
17 diagnose asbestosis from a pulmonary function test and
18 a physical exam. You need radiographic findings.
19 Q. I understand. But my question really was, if
20 you just do a physical exam of this gentleman and you
21 do the pulmonary function test, there's just no way to
22 diagnose this guy with any kind of asbestos disease?
23 A. Okay. True.
24 Q. True. Based on your report -- let me back
25 up. Does this guy's pulmonary asbestosis cause him any

Page 167

1 pain?
2 A. Well, he has atypical chest pain when he gets
3 up in the morning that lasts ten to fifteen minutes.
4 That could be related to asbestosis. But that's the
5 only area -- the only symptom that would be related to
6 pain in him.
7 Q. All right. Does his pulmonary asbestosis
8 restrict his ability to conduct his everyday activities
9 of living?
10 A. He has shortness of breath with moderate to
11 heavy exertion, but that generally does not include
12 basic activities of daily living, so, no.
13 Q. All right. And as with all these other
14 people that we've spoken about, whatever the pulmonary
15 asbestosis is that you've diagnosed this man with, the
16 odds of him developing any kind of more severe or
17 serious asbestos-related disease is less than fifty
18 percent; correct?
19 A. No. That's a bit of a leap. His risk for
20 developing mesothelioma and lung cancer are much higher
21 than the average person, but they're not so high that
22 they exceed fifty percent.
23 Q. I don't think that was my question. My
24 question simply was, does this man's pulmonary
25 asbestosis mean that he has any -- strike that. Isn't

Page 168

1 it true that his -- despite the pulmonary asbestosis
2 that you've diagnosed this man with, his odds of
3 developing any more serious asbestos-related disease is
4 less than fifty percent?
5 A. Oh, no, that's not true. See, that's a
6 separate question. He has a greater than fifty percent
7 chance of progression of his asbestosis itself. But he
8 has less than a fifty/fifty chance of actually
9 developing cancer, per se.
10 Q. Okay. So if we're talking about a separate
11 disease, you will agree there's a less than fifty
12 percent chance that his disease will progress into a
13 different disease?
14 A. Yes. Particularly a malignancy.
15 MR. SIAHATGAR: That's all I have for this
16 guy.
17 MR. PETERS: Not me.
18 MS. PAPANTONAKIS: No other questions, then
19 we'll move on to Mr. [REDACTED]
20 ---
21 EXAMINATION
22 BY MR. SIAHATGAR:
23 Q. [REDACTED] You saw Mr. [REDACTED] on
24 January 22, 2002?
25 A. Yes.

Page 169

1 Q. Do you have any independent recollection of
2 this gentleman?
3 A. No.
4 Q. Your testimony today as well as at trial will
5 be based solely upon your report and your records on
6 this individual; correct?
7 A. Yes.
8 Q. Do you have any plans to see this man again?
9 A. Not at this time.
10 Q. And it's true you have not seen this
11 gentleman since January 22, 2002?
12 A. No.
13 Q. Correct?
14 A. It's true.
15 Q. All right. You have listed in your report
16 the fact that he has a past medical history of benign
17 prostate disease, hypertension and peripheral edema.
18 You'll agree with me all three of those are unrelated
19 to asbestos exposure; correct?
20 A. In his case, yes.
21 Q. His current medications are -- is Norvasc?
22 A. Right.
23 Q. Again, that has nothing to do with any kind
24 of asbestos disease; correct?
25 A. No.

Page 170

1 Q. No, or yes, that's correct?
2 A. No. I said no.
3 Q. "No" meaning it does? I think we need to
4 clarify here.
5 A. No. "No" meaning that it has nothing to do
6 with any asbestos-related disease.
7 Q. Thank you. Occasional heartburn, moderately
8 frequent nocturia. Both of those are unrelated to
9 asbestos exposure; correct?
10 A. True.
11 Q. Much better. Again, we talk about the
12 dyspnea upon heavy exertion. Again, in this guy's
13 case, that's unrelated to any kind of asbestos
14 exposure; correct?
15 A. Incorrect.
16 Q. You believe his dyspnea is related to his
17 asbestos -- potential asbestos exposure?
18 A. I think that his shortness of breath on heavy
19 exertion is related to his asbestos exposure, yes.
20 Q. However, you accurately note right afterwards
21 that this dyspnea upon heavy exertion does not
22 interfere with his daily routine; correct?
23 A. Although that's true, how do you know that
24 that's accurate?
25 Q. Well, I presume that it's accurate since you

Page 171

1 list it there. Are you telling us that this is
2 inaccurate in your report?
3 A. I believe it's accurate, but that's the first
4 time you've given me credit for an accurate statement.
5 Q. Make a note of it. All right. Physical
6 exam for this individual is completely normal?
7 A. Yes.
8 Q. In other words, this man, this sixty-eight
9 year old man had a normal physical exam for similar
10 age, similar condition?
11 A. That's right.
12 Q. All right. The chest x-ray, let's move on to
13 that section. There is a -- the x-ray revealed diffuse
14 interstitial pattern consisting of small, irregular
15 linear opacities?
16 A. Yes.
17 Q. Do you attribute that -- could that be caused
18 by a prior pneumonia or some other type of disease?
19 A. No.
20 Q. You believe that that is related exclusively
21 to prior asbestos exposure?
22 A. Yes.
23 Q. Other than these small, irregular linear
24 opacities visible on his x-ray, is his x-ray otherwise
25 normal?

Page 172

1 A. Yes.
2 Q. Let's move on to the pulmonary function test.
3 I believe that was normal as well?
4 A. Yes.
5 Q. In other words, this gentleman's lungs
6 functioned like the lungs of a normal sixty-eight year
7 old man?
8 A. Yes.
9 Q. The diagnosis, again, is based purely on the
10 x-ray; correct? In other words, if you had just done a
11 physical exam and done a pulmonary function test,
12 there's no way that you could have determined whether
13 or not this gentleman had an asbestos-related disease
14 or not; correct?
15 A. The answer to the first part of your
16 question is, yes, it's based on the x-ray and the
17 exposure history. I object to the wording of the
18 second part of the question because I can't diagnose
19 any asbestos-related disease based on the physical exam
20 and the pulmonary function test.
21 But if you're asking me, does he have a
22 normal physical exam and a normal pulmonary function
23 test, that's true, he does.
24 Q. All right. Good. And, again, with all
25 these other individuals, the fact that you have

Page 173

1 diagnosed him with mild pulmonary asbestosis, his
2 chances of developing mesothelioma, lung cancer or some
3 other separate disease is less than fifty percent;
4 correct?
5 A. Although his risk for developing those
6 diseases is elevated, it's less than fifty percent,
7 yes.
8 MR. SIAHATGAR: Let me object to everything
9 before your statement that it's less than fifty
10 percent as nonresponsive.
11 BY MR. SIAHATGAR:
12 Q. Does his mild pulmonary asbestosis cause
13 this individual any pain?
14 A. Apparently not.
15 Q. And does his mild pulmonary asbestosis
16 restrict this man's abilities to do his everyday
17 functions, everyday living functions?
18 A. No.
19 Q. It does not?
20 A. Does not.
21 Q. Can this man generally function like a
22 normal human being, as far as you're concerned?
23 A. In terms of his exercise tolerance, it's only
24 mildly impaired.
25 MR. SIAHATGAR: That's not really responsive

1 to my question, so I'm going to have to object.
 2 BY MR. SIAHATGAR:
 3 Q. Can this man generally function like a
 4 normal human being?
 5 A. Well, I don't know exactly what you mean.
 6 He has no objective impairment on pulmonary function
 7 test. And he has mild subjective impairment based on
 8 shortness of breath with exertion.
 9 Now, if he were completely normal, he would
 10 have no respiratory symptoms and he would have normal
 11 pulmonary function tests. So I can't really go beyond
 12 that.
 13 Q. All right. Let me ask you this: Do you
 14 have any independent recollection of this gentleman
 15 telling you that whatever his condition was impedes his
 16 ability to conduct his everyday living activities and
 17 do whatever he generally wants to do?
 18 MS. PAPANTONAKIS: Object to form.
 19 A. No, I have none.
 20 MR. SIAHATGAR: Pass on this witness.
 21 MS. PAPANTONAKIS: If no one else has any
 22 questions, then we'll move on to Mr. [REDACTED].
 23 MR. JACOBS: Can I ask one question going
 24 back to Mr. [REDACTED]? I'm sorry.
 25 ---

1 they're not activities of daily living.
 2 And grade three is when you're short of
 3 breath with any exertion, even basic activities of
 4 daily living, such as getting dressed or taking a
 5 shower. And grade four is shortness of breath at rest,
 6 or class four, same thing; one, two, three, four.
 7 Q. And is it true that one of the ways to
 8 quantify that is they call them exercise tolerance
 9 tests?
 10 A. No. That's apples and oranges. You quantify
 11 that in just the way that I told you. It's a
 12 historical phenomena. It's not something that you
 13 measure with a machine.
 14 And exercise-- a pulmonary exercise tolerance
 15 test is designed to measure a number of parameters,
 16 including oxygen consumption, heart rate, respiratory
 17 rate and so on. And in so doing, the test is designed
 18 to determine, among other things, whether a person's
 19 shortness of breath is more related to their heart
 20 disease or to their lung disease when that's a
 21 clinically important question.
 22 MR. JACOBS: Okay. That's all.
 23 MS. PAPANTONAKIS: Now if there's no more
 24 questions on that plaintiff, we'll move on to Mr.
 25 [REDACTED].

1 EXAMINATION
 2 BY MR. JACOBS:
 3 Q. You refer to heavy exertion here, and I know
 4 that there was some discussion about mild, moderate.
 5 I'm just asking, can you give me some examples of what
 6 you mean by heavy exertion here?
 7 A. Digging in the garden, lifting heavy objects,
 8 moving furniture, that kind of thing.
 9 Q. Heavy objects, like fifty pounds, sixty
 10 pounds?
 11 A. Well, it depends on the individual. But
 12 something that strains, a strain that is outside the
 13 normal scope of their activity. And, really, that's
 14 the way the American Heart Association grades shortness
 15 of breath with exertion.
 16 Grade one is where you are -- none is where
 17 you're not short of breath at all except with exertion
 18 that is at the limit of your exercise tolerance, which
 19 everybody has. You can't not have it. But grade one
 20 is when you're short of breath only with activities
 21 that you would not normally do unless requested to or
 22 except in an emergency.
 23 Grade two is shortness of breath with
 24 activity -- the heavier of activities that you would do
 25 as a normal part of your weekly routine even though

1 ---
 2 EXAMINATION
 3 BY MR. SIAHATGAR:
 4 Q. All right. Dr. Segarra, I'd like to ask you
 5 some questions about [REDACTED].
 6 A. Okay.
 7 Q. [REDACTED], even though he says he's a
 8 lifelong nonsmoker, will you agree that he was probably
 9 exposed at least to some secondhand smoke while he was
 10 growing up, based on the fact that his mother died of
 11 emphysema?
 12 A. I certainly think that that's possible, but
 13 I don't know that for sure.
 14 Q. His atypical chest pain, do you agree that
 15 is unrelated to any type of asbestos exposure?
 16 A. It may or may not be. I can't say for sure.
 17 Q. All right. He has occasional sweats,
 18 nausea, palpitations and leg cramps. All of those are
 19 unrelated to asbestos exposure; correct?
 20 A. Correct.
 21 Q. Frequent heartburn, also unrelated to
 22 asbestos exposure; correct?
 23 A. Correct.
 24 Q. Chronic nonproductive cough, occasionally
 25 associated with wheezing, also unrelated to asbestos

1 exposure; correct?
 2 A. No. That probably is related at least in
 3 part to asbestos exposure.
 4 Q. Which one, the cough or the wheezing?
 5 A. Not the wheezing, but the cough.
 6 Q. So you believe that the nonproductive cough
 7 may be related to asbestos exposure?
 8 A. That's true.
 9 Q. The wheezing, however, is not?
 10 A. Well, the wheezing probably isn't because --
 11 but that would depend on whether or not he has asthma.
 12 He has no evidence of asthma, so I basically -- let me
 13 back up. Some people with asbestosis wheeze, but
 14 generally that's people with more advanced forms of
 15 asbestosis.
 16 Q. Unlike this gentleman?
 17 A. Unlike this gentleman; right.
 18 Q. All right. He notes the fact that he has
 19 been increasingly short of breath with exertion. Can
 20 you attribute that to the asbestos, any potential
 21 asbestos exposure or something else?
 22 A. Oh, yeah, sure. That could well be related
 23 to asbestos exposure, at least in part.
 24 Q. Can it also be in part as a result of a lung
 25 condition, as a result of either smoking or having

1 Q. Do you have -- let me back up. The film
 2 dated 6/8/01 compared to the film you took six months
 3 later or the one you viewed, there had been no changes;
 4 right?
 5 A. Yes, that's right.
 6 Q. In other words, there had been, again, no
 7 progression of the disease --
 8 A. Correct.
 9 Q. -- as far as you could tell by viewing the
 10 films?
 11 A. Yeah. It was only six months difference,
 12 but, yes.
 13 Q. All right. The pulmonary function test was
 14 completely normal?
 15 A. Yes.
 16 Q. In other words, this fifty-six year old
 17 man's lungs functioned like any other fifty-six year
 18 old man's lungs or any normal fifty-six year old man's
 19 lungs?
 20 A. Within the acceptable normal range for that,
 21 yes. Actually, his mid flows were slightly reduced at
 22 fifty-six percent of predicted. Sixty percent is the
 23 cutoff for mid flows, but that --
 24 Q. Your opinion at the time was that these
 25 pulmonary function tests --

1 inhaled secondhand smoke?
 2 A. No.
 3 Q. And then you also talk about the fact that
 4 this man gets short of breath after climbing two
 5 flights of stairs; correct?
 6 A. Yes.
 7 Q. And then you also note that he had pneumonia
 8 once as a teenager?
 9 A. That's right.
 10 Q. The physical exam, again, on this gentleman
 11 was completely normal?
 12 A. Yes, it was.
 13 Q. And with regard to the chest x-ray, can you
 14 tell the jury why the pneumonia may be relevant?
 15 A. Actually, I don't think the pneumonia is
 16 relevant at all.
 17 Q. You talk about a benign granuloma in the
 18 chest x-ray. Certainly that's not related to asbestos
 19 exposure; correct?
 20 A. It's not. But it's also not related to
 21 pneumonia either.
 22 Q. That wasn't my question.
 23 A. I'm sorry.
 24 Q. That's fine. The profusion was 1/0; correct?
 25 A. Yes, that's right.

1 A. Yeah, they're within normal limits anyway.
 2 His most important parameters were in the normal range.
 3 Q. Based on his physical exam and his pulmonary
 4 function tests, there's no way for you to be able to
 5 tell this jury that this guy had any kind of
 6 asbestos-related disease; correct?
 7 A. Based on --
 8 Q. Solely his physical exam and his pulmonary
 9 function tests.
 10 A. From that, I would not make any of those
 11 conclusions; right.
 12 Q. You needed the x-ray to be able to determine
 13 whether this man had any kind of disease?
 14 A. And his exposure history.
 15 Q. Right.
 16 A. Right.
 17 Q. Okay. And, again, as we've talked about
 18 with all these other individuals, based on the
 19 pulmonary asbestosis that you've diagnosed this
 20 gentleman with, his odds of developing any kind of lung
 21 cancer, mesothelioma or other different
 22 asbestos-related disease is less than fifty percent;
 23 correct?
 24 A. Although the risk is elevated, it is less
 25 than fifty percent, yes.

Page 182

1 MR. SIAHATGAR: Let me object to the
2 nonresponsive portion of your answer.
3 BY MR. SIAHATGAR:
4 Q. Does this guy's pulmonary asbestosis cause
5 him any pain?
6 A. It's possible that his asbestosis is
7 contributing to his atypical chest pain.
8 Q. But you don't know that for sure?
9 A. I don't know that for sure; right.
10 Q. Other than that, can you tell the jury that
11 his pulmonary asbestosis that you diagnosed him with
12 causes him any pain?
13 A. No.
14 Q. Does his pulmonary asbestosis, again per your
15 diagnosis, restrict his ability to conduct his everyday
16 activities of daily living?
17 A. Not his basic activities of daily living, no.
18 Q. Does it restrict his ability to function as a
19 human being?
20 A. Well, he has trouble climbing stairs.
21 Q. Is that the extent of your answer?
22 A. To that extent, the answer would be yes.
23 MR. SIAHATGAR: That's all I have for this
24 guy. Anybody else?
25 MS. PAPANTONAKIS: We'll move on to Mr.

Page 183

1 [REDACTED]
2 [REDACTED]
3 EXAMINATION
4 BY MR. SIAHATGAR:
5 Q. Dr. Segarra, I'd like to ask you some
6 questions about [REDACTED].
7 A. Okay.
8 Q. You have no independent recollection of this
9 gentleman?
10 A. No.
11 Q. Is that correct?
12 A. That's right.
13 Q. And your testimony today as well as at trial
14 will be based solely upon your report and the medical
15 records?
16 A. That's right.
17 Q. And you have not seen this gentleman since
18 January 23, 2002; right?
19 A. Correct.
20 Q. And you have no plans of seeing him again?
21 A. Not at this time.
22 Q. This man has smoked a pack of cigarettes a
23 day for forty-five years.
24 A. He has.
25 Q. That's a heavy smoking history?

Page 184

1 A. It is.
2 Q. In his report, you list in his report a
3 variety of past medical history events, none of which
4 are related to asbestos exposure? And specifically I'm
5 referring to the pulmonary embolism, the phlebitis in
6 the right leg, the atherosclerotic heart disease, the
7 pneumonia, the hypertension, the hyperlipidemia, those.
8 A. None of those are related to asbestos.
9 Q. All right. Going on in your report, the guy
10 has suffered or suffered a heart attack two years ago
11 and underwent an angioplasty; right?
12 A. Yes.
13 Q. You don't attribute that to any type of
14 potential asbestos exposure?
15 A. No.
16 Q. Correct?
17 A. Correct.
18 Q. The deep venous thrombophlebitis that he
19 developed after one of his hip surgeries certainly is
20 unrelated to asbestos exposure?
21 A. It's unrelated.
22 Q. The pulmonary embolism is also unrelated;
23 correct?
24 A. Correct.
25 Q. The medications he's taking, Lipitor, I'm

Page 185

1 not going to pronounce all of those, all of those are
2 unrelated to any type of asbestos exposure in the past;
3 correct?
4 A. Correct.
5 Q. The rare episodes of exertional chest pain,
6 you certainly cannot attribute that to any type of
7 asbestos exposure in this individual; correct?
8 A. That's right.
9 Q. Would you agree with me that this man's
10 primary problem these days is his heart condition?
11 A. That's probably true.
12 Q. And the last couple of sentences in the
13 history section, where you talk about he has slowly
14 increasing dyspnea on exertion, you certainly would not
15 attribute that to any type of asbestos exposure in the
16 past; correct?
17 A. Correct.
18 Q. And, again, you note that he was treated for
19 pneumonia in 1973?
20 A. I did.
21 Q. Despite these heart conditions and everything
22 else, he had a completely normal physical exam; right?
23 A. Right.
24 Q. And even his chest x-ray was pretty much
25 normal except for some small noncalcified diaphragmatic

Page 186

1 pleural plaques bilaterally?
2 A. That's right.
3 Q. Do you believe that his pneumonia could have
4 any effect at all on that x-ray, lung x-ray?
5 A. It hasn't in this case.
6 Q. His pulmonary function test was, again,
7 within normal limits?
8 A. Yes.
9 Q. In other words, this sixty-four year old
10 man's lungs pretty much operate the same as any normal
11 sixty-four year old man's lungs would?
12 A. That's right.
13 Q. Based on his physical exam and his pulmonary
14 function test, there's no way that you or anybody else
15 could diagnose this guy with any type of
16 asbestos-related disease; right?
17 A. Just based on those, no.
18 Q. That's a correct statement?
19 A. That's correct.
20 Q. Basically what you need was an x-ray to see
21 the diaphragmatic pleural plaques bilaterally in order
22 to diagnose this man with pleural abnormalities?
23 A. That's right.
24 Q. Again, the odds of this man developing any
25 kind of lung cancer, mesothelioma or another separate

Page 187

1 disease is less than fifty percent; correct?
2 A. Correct.
3 Q. You recommended that he cease smoking?
4 A. Yes.
5 Q. Is this man in any kind of pain as a result
6 of his pleural abnormalities?
7 A. No.
8 Q. Can he function normally, do his everyday
9 functions or everyday activities of daily living --
10 strike that.
11 Do his pleural abnormalities restrict his
12 ability to conduct his everyday activities of daily
13 living?
14 A. No.
15 MR. SIAHATGAR: That's all I have for this
16 guy. Pass the witness.
17 MS. PAPANTONAKIS: Anyone else have any
18 questions about Mr. [REDACTED]? Now we'll move on
19 to Mr. [REDACTED].
20 ---
21 EXAMINATION
22 BY MR. SIAHATGAR:
23 Q. Dr. Segarra, I'd like to ask you some
24 questions about [REDACTED].
25 A. Okay.

Page 188

1 Q. I understand that you saw [REDACTED] on
2 January 23, 2002?
3 A. Correct.
4 Q. It's my understanding that you have no
5 independent recollection of this individual?
6 A. True.
7 Q. Your testimony here today as well as at the
8 time of trial will be based solely upon your report as
9 well as the medical records on this individual?
10 A. Yes, unless I'm asked other questions about
11 him; right. That's true.
12 Q. Do you have any plans to see this individual
13 again?
14 A. Not at this time.
15 Q. And you have not seen him for approximately a
16 year and a half?
17 A. No.
18 Q. Is that correct?
19 A. That's right.
20 Q. This man smoked one pack of cigarettes per
21 day for over forty years.
22 A. Yes, that's true.
23 Q. That's a huge amount of cigarettes. It's an
24 outrageous amount, isn't it, Doctor?
25 MS. PAPANTONAKIS: Object to form.

Page 189

1 A. I don't know how to answer that question. I
2 would simply say that that was a fairly heavy smoking
3 history.
4 BY MR. SIAHATGAR:
5 Q. If that's a fairly heavy smoking history,
6 Doctor, what do you consider a very heavy smoking
7 history?
8 A. Three packs a day for fifty years.
9 Q. All right. We'll get to that guy next.
10 This guy has, you list in his history,
11 cardiac dysrhythmia which was placed there three years
12 ago; right?
13 A. Excuse me?
14 Q. He has cardiac dysrhythmia. Did I pronounce
15 that correctly?
16 A. Yes, that's right. He had a "pacemaker"
17 placed three years ago.
18 Q. Right. Also had a history of hypertension?
19 A. Right.
20 Q. Chronic mild peripheral edema?
21 A. Yes.
22 Q. All of those are unrelated to any kind of
23 potential asbestos exposure in the past; correct?
24 A. That's right.
25 Q. Hernia repair. Certainly the hernia is

Page 190

1 unrelated to asbestos exposure; correct?
2 A. Correct.
3 Q. This wheezing that you note that he
4 occasionally has at night is unrelated to asbestos
5 exposure?
6 A. Probably unrelated.
7 Q. And you say that for the last five years he's
8 slowly had increasing dyspnea, which you'll agree in
9 this individual's case is unrelated to potential past
10 asbestos exposure?
11 A. No. I think that it is related to his
12 asbestos exposure.
13 Q. Do you believe his dyspnea is in any way
14 related to his cigarette smoking history?
15 A. It may well be.
16 Q. Can you tell the jury with any kind of
17 reasonable medical probability whether this is related
18 primarily to his cigarette smoking history or to his
19 potential asbestos exposure?
20 A. Well, yes. I can say that it's -- although
21 it could be related to his cigarette smoking, I think
22 it's unlikely since he does not have a smoking-related
23 lung disease such as COPD or emphysema. He doesn't
24 have that. So that instead in this particular man, I
25 think his shortness of breath with exertion is due to

Page 191

1 his asbestosis and to the fact that he's overweight.
2 Q. That's what my next question was going to be
3 Dyspnea just means shortness of breath; right?
4 A. Right.
5 Q. And this is a gentleman who is about
6 five-eleven, almost three hundred pounds?
7 A. Yes.
8 Q. This is an obese man; correct?
9 A. Well, probably so, yes.
10 Q. Other than the fact that this man is obese,
11 nonetheless, he still has a normal physical exam?
12 A. No. His blood pressure was elevated.
13 Q. Oh, that's right.
14 A. And he also had rales at his bases that I
15 believe are related to his asbestosis.
16 Q. And do you believe that the crackles at the
17 bases, are those related to his cigarette smoking,
18 potential asbestos exposure, both, neither?
19 A. It's not related to cigarette smoking. It's
20 related only to asbestosis.
21 Q. Let's talk about his chest x-ray. His
22 profusion was 1/1?
23 A. Yes.
24 Q. And you note here that the pleural surfaces
25 reveals diffuse pleural thickening versus pleural fat.

Page 192

1 Why do you make that differentiation on this gentleman
2 right here?
3 A. Oh, only because in obese individuals who
4 have extensive pleural thickening, there's a chance
5 that some of the pleural thickening could be fat
6 deposited in between the wall of the chest and the
7 lung. And that's the only reason I said that.
8 Q. The pulmonary function tests for this
9 individual were within normal limits; correct?
10 A. Yes.
11 Q. So what we basically have is a sixty-four
12 year old man whose lungs function like any other normal
13 sixty-four year old?
14 A. Within the limits of such, yes.
15 Q. And despite the fact that he's obese?
16 A. Well, the obesity generally doesn't have
17 anything to do with it unless he's -- only morbid
18 obesity has a slight effect on the pulmonary function
19 test, so that would be neither here nor there.
20 In spite of the fact that he has asbestosis,
21 his pulmonary function test, as we measured it, was
22 within normal limits, yes.
23 Q. Can you tell us whether his pulmonary
24 asbestosis causes him any pain?
25 A. I don't think it's causing pain, per se, no.

Page 193

1 I think it's only causing shortness of breath.
2 Q. And can you tell us whether his pulmonary
3 asbestosis is restricting his ability to conduct his
4 daily activities of living?
5 A. The specific restrictions that I got from him
6 was that he has trouble gardening and mowing the lawn,
7 but he still does those things.
8 Q. Other than those two things which he still
9 continues doing, is there any other restrictions that
10 he has as a result of his pulmonary asbestosis?
11 A. Not that I can tell.
12 Q. You would certainly agree that his heart
13 condition is this gentleman's main problem, main
14 concern at this time?
15 A. No. I think that they're fixed. Don't
16 forget, unlike the previous person we spoke about, he
17 has no history of progressive cardiac atherosclerosis.
18 He had a pacemaker placed for dysrhythmia and he's been
19 okay since then.
20 Q. And this man still has a pacemaker embedded
21 in his chest; right?
22 A. Well, sure.
23 Q. Yeah. And with regard to all these -- that
24 we talked about with all these other guys, his odds
25 that his pulmonary asbestosis will progress to either

Page 194

1 cancer, mesothelioma or some other separate disease,
2 the odds are less than fifty percent; right?
3 A. Although elevated, they're less than fifty
4 percent; right.
5 MR. SIAHATGAR: That's all I have for this
6 individual.
7 MS. PAPANTONAKIS: If there aren't any more
8 questions about Mr. [REDACTED], let's talk about Mr.
9 [REDACTED]
10 ---
11 EXAMINATION
12 BY MR. SIAHATGAR:
13 Q. Dr. Segarra, I'd like to ask you some
14 questions about [REDACTED]
15 A. Okay.
16 Q. Again, you have no independent recollection
17 of this individual?
18 A. True.
19 Q. Your testimony will be based solely upon
20 your report and this individual's medical records?
21 A. Yes.
22 Q. You have not seen him since April 11, 2002?
23 A. That's right.
24 Q. And you have no plans to see him again at
25 this time?

Page 195

1 A. Not at this time.
2 Q. This is a gentleman who has smoked two packs
3 of cigarettes daily for the past thirty-six years.
4 Will you agree with me that this guy is a very heavy
5 cigarette smoker?
6 A. Yes.
7 Q. It looks like there's a history of heart
8 disease and his father has emphysema, which tells you
9 that his father is probably a smoker as well?
10 A. Wait. You misphrased that a little bit.
11 There's a history of heart disease and emphysema in his
12 father, both.
13 Q. Right.
14 A. Both of those had to do with his family
15 history, not his history.
16 Q. Right. So you would assume that his father
17 was a heavy smoker as well?
18 A. Although I don't know that for sure, that
19 would make sense, yes.
20 Q. With regard to this individual's, [REDACTED]
21 [REDACTED], past medical history, you will agree with
22 me that acid reflux, hyperlipidemia, polio,
23 degenerative joint disease are all unrelated to
24 asbestos exposure; correct?
25 A. Yes. Correct.

Page 196

1 Q. The medications he's taking, Cephalexin and
2 Paxil, can you tell the jury what those are for or what
3 he's taking those for?
4 A. Cephalexin is an antibiotic, which I assume
5 he's taking for a temporary skin infection or
6 something. Paxil is an antidepressant.
7 Q. All right. You say on general systems
8 review, his chief complaint is dysphagia for solids,
9 memory loss and tension headaches.
10 A. Right.
11 Q. Any of those three related in any way to
12 asbestos exposure?
13 A. Probably not.
14 Q. You also mention that he has frequent
15 palpitations, exertional leg cramps, sweats,
16 intermittent hematemesis, frequent heartburn and other
17 gastrointestinal complaints.
18 A. Yes. Hematemesis is misspelled. The last
19 "a" should be an "e" in that word.
20 Q. All right. None of those would be in any
21 way related to asbestos exposure; correct?
22 A. Correct.
23 Q. In fact, you relate most of those to the
24 acid reflux?
25 A. Well, some of them.

Page 197

1 Q. The chronic productive cough he's had for
2 the past three or four years, do you attribute that to
3 his cigarette smoking? Let me back up. You do not
4 attribute that to asbestos exposure; correct?
5 A. I think that some of it could be related to
6 asbestos exposure. Some of it is probably related to
7 asbestos exposure. Some of it is probably related to
8 cigarette smoking. And some of it may be related to
9 acid reflux.
10 Q. Okay.
11 A. It's that hoarseness that clues me into
12 that.
13 Q. All right. Again, you mention the fact that
14 he had an episode of pleurisy about twenty years ago.
15 Why is it that you list that in your report?
16 A. I just always -- it's one of the standard
17 questions. And if I get a positive response, I put it
18 in there.
19 Q. All right. His physical exam was normal;
20 correct?
21 A. Yes.
22 Q. And his chest x-ray revealed a diffuse
23 interstitial pattern, small, irregular linear
24 opacities; right?
25 A. Right.

Page 198

1 Q. Otherwise, the x-ray was normal?
2 A. Yes, that's right.
3 Q. And I take it that you relate that
4 interstitial pattern to past asbestos exposure?
5 A. Yes.
6 Q. You had a chest x-ray that was taken
7 approximately six months -- well, a little more than
8 that, eight months before your April 11 visit. And,
9 again, that one was essentially the same as the one
10 that you saw when you saw this individual, Mr.
11 [REDACTED], back in April of 2002?
12 A. That's right.
13 Q. In other words, there had been no progression
14 of his disease?
15 A. Radiographically, that's true.
16 Q. Well, was there any other progression of his
17 disease that you can tell us about?
18 A. No.
19 Q. Pulmonary function tests, it looks like
20 normal spirometry and lung volumes, but whatever
21 abnormal values he had in there you attributed to his
22 cigarette smoking?
23 A. Well, no, I didn't say that. I simply said
24 the DLCO is mildly reduced, and I pointed out that he
25 was a current smoker. And the -- I think that his

Page 199

1 reduced DLCO is due to asbestosis and potentially the
2 fact that he may have smoked prior to taking the test,
3 but I don't know for sure whether that's the case or
4 not. I simply raise that as an issue because it's
5 something you have to consider in someone who is a
6 current smoker at the time the test is done.
7 Q. Someone who smokes two packs of cigarettes a
8 day?
9 A. Well, yes. Sure.
10 Q. Again, his pulmonary --
11 A. But please understand, I'm not saying that he
12 has a smoking-related lung disease. I'm saying the
13 smoking itself interferes with the technical
14 measurement of the DLCO. Those are two different
15 things.
16 Q. Right. His pulmonary asbestosis, can you
17 tell us whether that causes him any pain?
18 A. It doesn't appear to be at this time.
19 Q. And based solely on his physical exam and his
20 pulmonary function test, is there any way that you can
21 just by looking at those two things, identify this man
22 as having asbestos-related disease?
23 A. Well, his DLCO is reduced, so I'll say that,
24 despite a normal physical exam. Again, I have to
25 object to the implication that I could ever find an

Page 200

1 asbestos-related disease just based on the physical
2 exam and the pulmonary function test. Of course you
3 need the x-ray for that. But he does have a reduced
4 DLCO for reasons that I've already covered.
5 Q. Does this -- does his pulmonary asbestosis in
6 any way restrict his ability to conduct his everyday
7 living functions?
8 A. Yes. He has difficulty doing manual labor or
9 yard work.
10 Q. Other than that, can you tell us any other
11 restrictions that this man has as a result of the
12 pulmonary asbestosis?
13 A. He gets out of breath after walking two
14 blocks.
15 Q. Which you don't attribute to his cigarette
16 smoking?
17 A. No. He has no smoking-related lung disease,
18 so it can't be related to cigarette smoking.
19 Q. And, again, this man's pulmonary asbestosis,
20 you cannot tell us -- strike that.
21 As far as you're concerned, the odds of his
22 pulmonary asbestosis graduating to become a lung
23 cancer, mesothelioma or some other separate lung
24 disease is less than fifty percent?
25 A. Although it's greatly elevated, it is less

Page 201

1 than fifty percent, yes.
2 MR. SIAHATGAR: Object to the nonresponsive
3 portion of his answer. That's all I have for Mr.
4 [REDACTED]
5 ---
6 EXAMINATION
7 BY MR. PETERS:
8 Q. Dr. Segarra, what is dysphagia?
9 A. Dysphagia with "g," D-Y-S-P-H-A-G-I-A?
10 Q. Yes.
11 A. Trouble swallowing.
12 Q. Is that due to his gastroesophageal reflux
13 disease?
14 A. Probably.
15 Q. And I know you've testified in the past about
16 that disease and its ability or inability to cause
17 interstitial fibrosis.
18 Do you in this instance relate any of the
19 interstitial fibrosis findings of Mr. [REDACTED] due to
20 that disease?
21 A. No. As I've testified before, I generally
22 only see that in neurologically impaired individuals
23 with well-documented cases of aspiration and aspirator
24 pneumonia, which this man doesn't have. I do think his
25 acid reflux may be causing the dysphagia and the

1 hoarseness, though.
 2 Q. Right. This is a gentleman with a
 3 seventy-two-pack-year smoking history; right?
 4 A. Yes.
 5 Q. Do you find it odd or is it surprising that
 6 he has no lung disease associated with that
 7 seventy-two-pack-year smoking history?
 8 A. You know, that's the thing. It's not
 9 unusual. I know it's hard to imagine, but there are
 10 some people that don't seem to be all that susceptible
 11 to COPD and emphysema and others that are. And once
 12 we -- you know, we don't quite know why certain
 13 people's lung tissue appears to be resistant in terms
 14 of developing emphysema and others appear to be highly
 15 susceptible. That's a pulmonary mystery, really.
 16 Q. In such a situation as this, where there is a
 17 seventy-two-pack-year smoking history and no evidence
 18 of COPD or emphysema, do you question the validity of
 19 the pulmonary function test itself?
 20 A. Well, I would question it except that I've
 21 looked at all the curves and -- wait a minute. Where
 22 are the curves? I need his pulmonary function test
 23 back.
 24 Q. I think I may have it. No, I don't have it
 25 either.

1 A. Well, at least when I looked at -- when I
 2 originally interpreted his PFTs, I looked at all the
 3 curves and they were completely valid. So there's no
 4 problem with the validity of the test. I wish I could
 5 show that to you personally, and perhaps eventually
 6 I'll be able to do that, but I can't do it right now.
 7 Q. Is there anything else that goes into
 8 determining the validity of the PFT test other than the
 9 inspection of the curves?
 10 A. Well, there's lots of curve inspection that
 11 you do. You look at the volume-time curves and the
 12 flow-volume loops. That tells you whether the first
 13 part of the test was valid or not. The first part of
 14 the test is where you have the patient breathe in as
 15 hard as he can and blow out as hard as he can. That's
 16 the spirometry and that measures airflow obstruction
 17 present or absent and how bad it is.
 18 The second part is a measurement of the lung
 19 volumes, how big or small the lungs are. There's a gas
 20 equilibrium curve that you can inspect. And the third
 21 part is the diffusion test, where you have the patient
 22 breathe in as deep as he can and hold his breath for
 23 ten seconds. And that measures the efficiency at which
 24 oxygen gets into the blood. That test you look at the
 25 IVC and see if it matches up with the FVC. You look at

1 the length of time he held his breath and whether he
 2 held all of it for that ten seconds, and you can tell
 3 that from the curve. If all of those curves are fine,
 4 then that's a valid test.
 5 Q. What about the characteristics of the
 6 individual himself who is being tested? Pulmonary
 7 function tests, as I understand it, are based on age,
 8 race, weight?
 9 A. Not weight.
 10 Q. Not weight.
 11 A. Just age and height.
 12 Q. Age and height.
 13 A. Yes.
 14 Q. Is that adjusted for race?
 15 A. Race is something you correct after the fact.
 16 There are some predicted values that take race
 17 automatically into consideration. In these cases, any
 18 time race came into it, I would make a correction
 19 afterwards, a post, you know, a correction after the
 20 data had already been obtained, which is fine. There's
 21 no problem with that. That's within ATS standards.
 22 MR. PETERS: Pass the witness.
 23 ---
 24 EXAMINATION
 25 BY MR. JACOBS:

1 Q. I think I just have two questions, Doctor.
 2 You note that he's taking Paxil. You don't note in
 3 there as to why he's taking the Paxil, though.
 4 Obviously it's for depression. But we don't know when
 5 he was taking it, how long he was taking it or anything
 6 like that?
 7 A. No, I don't know. Paxil is used for
 8 depression and panic disorder. And I don't know which
 9 of those two were the case for him.
 10 Q. Okay. And I guess this is a good time to ask
 11 this question. I noticed that -- I think this person
 12 has the longest smoking history we've seen. I've read
 13 something previously and I just want to make sure it's
 14 still true. Smoking increases an individual's
 15 likelihood of developing asbestosis; correct?
 16 A. It does, yes.
 17 MR. JACOBS: Thank you.
 18 ---
 19 (Whereupon, a short break was taken.)
 20 EXAMINATION
 21 BY MR. SIAHATGAR:
 22 Q. Dr. Segarra, I'd like to ask you some
 23 questions about [REDACTED] please.
 24 A. Okay.
 25 Q. I believe this individual has a medical

Page 206

1 history of atherosclerotic heart disease?
2 A. Yes.
3 Q. He suffered eight heart attacks in the last
4 seven years?
5 A. That's what he said.
6 Q. The most recent one that you know of is in
7 April of 2001?
8 A. Right.
9 Q. And that one being the most recent one before
10 your January 23, 2002, visit with the individual?
11 A. Yes.
12 Q. You have not seen the individual since
13 January, 2002?
14 A. Correct.
15 Q. You don't know if the guy has had any heart
16 attacks since January, 2002?
17 A. I don't know.
18 Q. And you have no plans on seeing this
19 individual again; correct?
20 A. Not at this time.
21 Q. You have no independent recollection of this
22 individual?
23 A. Well, some of the elements of his history
24 sound familiar, but I can't picture him.
25 Q. My understanding is that your testimony here

Page 207

1 today and at the time of trial will be based solely
2 upon your report and this gentleman's medical records?
3 A. That's right.
4 Q. In addition to the eight heart attacks this
5 man had, he has also had four coronary stints during
6 angioplasties?
7 A. Yes.
8 Q. And if you look at the current medications
9 he's taking, the nitroglycerin/isosorbide, the Lipitor,
10 the Metoprolol and the Accupril, all of those are
11 related to his atherosclerotic condition and his heart
12 condition?
13 A. That's right.
14 Q. Certainly everything we've talked about so
15 far is completely unrelated to any type of prior
16 asbestos exposure; correct?
17 A. That's right.
18 Q. He complains about sharp chest pain occurring
19 once every two months. Clearly that's not related to
20 asbestos exposure; right?
21 A. Probably not.
22 Q. The increasing dyspnea on exertion which has
23 actually slowed down, do you relate that in any way to
24 his asbestos exposure?
25 A. Yes. It's probably partly related to

Page 208

1 asbestos exposure.
2 Q. The manual labor that is particularly
3 difficult for this individual, can you tell us whether
4 that's related to his heart disease or related to some
5 sort of prior asbestos exposure?
6 A. Well, he doesn't have exertional chest pain
7 right now, so I would think it would be related to his
8 asbestos exposure.
9 Q. And you also list the individual had
10 pneumonia twice?
11 A. Yes.
12 Q. In the '70s?
13 A. Right.
14 Q. Why is it that you note the fact that he had
15 rib fractures from a motorcycle accident? Is that in
16 any way relevant to your opinions here in this case?
17 A. It's a standard question. I always put it in
18 there if it's present. And it is not related to this
19 man's report in particular because it would only be
20 related if he had plaques right over the areas of rib
21 fracture, and it would raise the question if the
22 plaques were related to his rib fractures. But since
23 he doesn't have -- didn't have any plaques on his chest
24 x-ray at all, it's not an important question.
25 Q. All right. His physical exam was essentially

Page 209

1 normal except for slightly elevated blood pressure and
2 the fact that he's somewhat overweight?
3 A. Yes.
4 Q. The chest x-ray was normal except for the
5 diffuse interstitial pattern?
6 A. He had diffuse interstitial lung disease at
7 an ILO profusion of 1/1.
8 Q. Other than that, the x-ray was normal?
9 A. Yes.
10 Q. There was a prior x-ray that you were able to
11 compare his January, 2002 x-ray to and it was basically
12 the same?
13 A. From seven months earlier and it was the
14 same.
15 Q. Correct?
16 A. Yes.
17 Q. So there had been no progression at least in
18 those seven months?
19 A. No radiographic progression, that's right.
20 Q. Do you attribute his pneumonia that he had
21 twice in the 1970s in any way to the abnormal chest
22 x-ray you found?
23 A. No.
24 Q. The pulmonary function test was normal
25 except for a mild restrictive defect?

Page 210

1 A. He had a mild restrictive defect, that's
2 right.
3 Q. And I take it you attribute the mild
4 restrictive defect to his prior asbestos exposure?
5 A. Yes.
6 Q. Do you attribute it to anything else?
7 A. No.
8 Q. Will you agree with me that this man's most
9 significant problem is his heart disease, his heart
10 condition?
11 A. Yes.
12 Q. And as with all these other individuals,
13 you'll agree with me that the odds of his pulmonary
14 asbestosis turning into a lung cancer, mesothelioma or
15 some other type of asbestos-related disease or a more
16 significant asbestos-related disease is less than fifty
17 percent?
18 A. Though that's a significant risk, it's less
19 than fifty percent, yes.
20 MR. SIAHATGAR: Let me object to the
21 nonresponsive portion of the answer.
22 BY MR. SIAHATGAR:
23 Q. Does this man's pulmonary asbestosis cause
24 him any pain?
25 A. Probably not.

Page 211

1 Q. And does his pulmonary asbestosis in any way
2 restrict his abilities to conduct his activities of
3 daily living?
4 A. Yes.
5 Q. In what regard?
6 A. It creates exercise limitation, shortness of
7 breath with exertion, in particular for manual labor.
8 Q. And you're reading that out of the, I guess,
9 the second to the last line of your history section?
10 A. That's right.
11 Q. Other than that, do you attribute his
12 pulmonary asbestosis to any restriction of his
13 abilities to function or do his everyday functions of
14 living?
15 A. No.
16 Q. Correct?
17 A. Correct.
18 MR. SIAHATGAR: That's all I have for this
19 guy.
20 MS. PAPANTONAKIS: If there are no other
21 questions on Mr. [REDACTED] are there any
22 other questions about any other plaintiffs in the
23 [REDACTED] case group? If not, then we'll move on to
24 the [REDACTED] group.
25 MR. SIAHATGAR: Doctor, thank you for your

Page 212

1 time.
2 THE WITNESS: You're welcome.
3 MS. PAPANTONAKIS: And the first plaintiff in
4 [REDACTED] we will talk about will be Mr. [REDACTED].
5 ---
6 EXAMINATION
7 BY MR. GEOFFROY:
8 Q. Doctor, my name is Ray Geoffroy. I
9 represent Reynolds Metals Company and I've got some
10 questions for you about Mr. [REDACTED], [REDACTED]
11 [REDACTED].
12 A. Okay.
13 Q. Based on your report, you examined Mr.
14 [REDACTED] February 1st, 2001?
15 A. Right.
16 Q. I know that we've talked about this -- well,
17 some of the other attorneys earlier have talked about
18 this, but would you have had your temporary license to
19 practice in Texas at that time, on February 1st, 2001?
20 A. I don't recall. I'm not sure.
21 Q. And this was in Corpus Christi where you
22 would have traveled to do this examination?
23 A. That's right.
24 Q. And Foster and Sear is the plaintiffs' firm
25 that would have referred Mr. [REDACTED] to you?

Page 213

1 A. Yes.
2 Q. At the time of your examination, Mr. [REDACTED]
3 was sixty-nine years old; right?
4 A. Yes.
5 Q. And he was an iron worker?
6 A. That's right.
7 Q. And based on your report, he reported direct,
8 ambient and bystander exposure to various asbestos
9 materials; right?
10 A. Yes.
11 Q. And I just wanted to see if you could break
12 that down for me a little bit in the sense that we've
13 got three different types of exposure, direct, ambient
14 and bystander.
15 A. Sure.
16 Q. In terms of direct, are you referring to
17 hands-on --
18 A. Yes.
19 Q. -- type of exposures? And I think you've got
20 a couple of examples in here. He cut and replaced
21 gaskets.
22 A. Yes.
23 Q. He packed pumps and valves. Is that direct
24 as well?
25 A. That's right.

Page 214

1 Q. He used fire blankets and asbestos gloves.
2 Is that direct as well?
3 A. Direct; right.
4 Q. And then you've got bystander exposure. And
5 I assume that's -- he is not working hands-on with
6 material, with asbestos-containing material, but
7 working at some distance away from some other trade
8 person who was working with that?
9 A. In close proximity to people who were using
10 it directly; right.
11 Q. And you've explained that in your report as
12 well, in the sense that Mr. [REDACTED] had bystander
13 exposure to dust from pipe fitters and insulators;
14 right?
15 A. That's right.
16 Q. And then the last type of exposure you've
17 got for Mr. [REDACTED] is ambient exposure. Can you
18 explain that for me?
19 A. That's exposure from dust that was in the air
20 that he was breathing even though he was not working
21 directly where the asbestos was being used.
22 Q. Okay. So that's different from bystander
23 and from direct?
24 A. Right. The only reason I say it's different
25 is because, to me, bystander exposure is where there's

Page 215

1 a particularly concentrated environment,
2 asbestos-intensive environment, but the other people
3 working in the plant or the room or the factory, or
4 whatever it is, may not be exposed to that level if
5 they are on the assembly line or they're working in
6 another part of a large enclosure or large room.
7 Ambient exposure is where, say, in a paper
8 mill, where the paper machine operators are exposed to
9 asbestos because there's asbestos -- friable asbestos
10 material being disrupted elsewhere in this big
11 inhalation chamber, so to speak.
12 Q. And do you have any independent recollection
13 of Mr. [REDACTED]?
14 A. Separate question? No.
15 Q. Separate question, yes, sir.
16 A. I thought we were still talking about ambient
17 exposure.
18 Q. Well, we're coming back for a second to it.
19 How would he have related an ambient exposure to you
20 when he came to his examination?
21 A. He would have said that he worked in -- that
22 the environment was dusty all the time and that part of
23 the reason it was dusty is because there was insulation
24 all over the place. That's what I mean by ambient.
25 Q. Is that an independent recollection, or is

Page 216

1 that what he said?
2 A. No. No, those aren't his exact words.
3 That's typically what he would have said that would
4 have led me to put ambient in there as one of his type
5 of exposure.
6 Q. Would that be something that he wrote on his
7 form that he filled out before his examination?
8 A. He may have written it on his form or he may
9 have told me directly. It would depend.
10 Q. You don't recall?
11 A. I don't recall.
12 Q. Back in 2001, would this be the time when you
13 retrieved the forms and then returned them to the
14 plaintiffs' firm?
15 A. It's quite possible that the plaintiffs' firm
16 would still have the form. I'm not sure.
17 Q. As far as Mr. [REDACTED] smoking history,
18 what is that?
19 A. A pack a day for thirty-two years, so
20 thirty-two-pack years, quitting twenty years earlier.
21 Q. Quitting in 1980?
22 A. '80; right.
23 Q. And that's a fairly heavy smoking history;
24 correct?
25 A. Well, although it's a fairly heavy dose of

Page 217

1 smoking, so to speak, that's mitigated by the fact that
2 it's twenty years remote. So that would go into his
3 risk calculation, the fact that he quit twenty years
4 ago.
5 Q. And Mr. [REDACTED] has a medical history
6 limited to hypertension; correct?
7 A. Yes.
8 Q. How tall is Mr. [REDACTED]?
9 A. Five-foot-five.
10 Q. And he's two hundred and twenty-two pounds?
11 A. Yes.
12 Q. Does that make him overweight?
13 A. Yes.
14 Q. In terms of obesity, how would you qualify
15 him?
16 A. I really couldn't say whether he's obese or
17 not without looking at his frame, whether he has a
18 small, medium or large frame. You have to make that
19 assessment before you use the term obesity unless it's
20 really obvious. If he were three hundred and
21 twenty-two pounds, then we could say it from here. He
22 weighed two hundred and twenty-two. He's overweight,
23 but he may or may not be obese.
24 Q. And that would depend on your physical
25 examination of him?

Page 218

1 A. Yes.
2 Q. And if he were obese --
3 A. I would have said so.
4 Q. -- would that be something you would have
5 noted in here?
6 A. Generally. Usually.
7 Q. Usually, but not always?
8 A. Perhaps not always, but for the most part I
9 do. It's my general practice.
10 Q. In Mr. [REDACTED] you report that he complains
11 of some shortness of breath upon exertion; right?
12 A. Yes.
13 Q. Is that something that you would find
14 consistent with a sixty-nine year old man who is
15 overweight and has got a thirty-two-pack-year history
16 of smoking?
17 A. No. He had -- he's had progressive dyspnea
18 with exertion to the point where he now has it after
19 walking just one block. And that's much more than we
20 would expect just with age and smoking history. You
21 would have to -- that would indicate -- that would
22 suggest that he has a lung disease or two different
23 lung diseases.
24 Q. Are you saying that his smoking history has
25 no -- does not factor in at all into his shortness of

Page 219

1 breath?
2 A. No, I didn't say that. I said that smoking,
3 per se, wouldn't cause that. It would only factor into
4 that if he had a smoking-related lung disease. Now, if
5 we turn to his diagnosis and impression section, he has
6 two different lung diseases caused by two different
7 things. He has pulmonary asbestosis caused by asbestos
8 exposure and he has COPD caused by smoking. And both
9 of those are contributing to his shortness of breath.
10 Q. Turning to the chest x-ray -- actually, one
11 last thing on the history. Mr. [REDACTED] had pneumonia
12 as a child; right?
13 A. Yes.
14 Q. And with respect to the chest x-ray, the
15 film quality is grade 2 due to the scapular overlay.
16 That's with the shoulder blades that you told us
17 earlier?
18 A. That's right.
19 Q. And you found a diffuse interstitial pattern
20 of irregular linear opacities within all six lung
21 fields?
22 A. That's right. Six lung zones; right.
23 Q. Lung zones. Of size and shape T/S,
24 profusion 1/1?
25 A. That's right.

Page 220

1 Q. Now, what's the significance of finding those
2 opacities in the upper lung zones versus strictly the
3 mid and lower lung zones?
4 A. Asbestosis generally begins in the lower
5 lung zones and may include the mid and upper lung zones
6 after a time. But other than that, it doesn't have any
7 significance. You hardly ever see asbestosis just in
8 the upper lung zones. That would be quite rare.
9 Q. Are you attributing the opacities in the
10 upper lung zones to an asbestos exposure?
11 A. Yes. I think it's part of the same diffuse
12 interstitial process because the pattern of the
13 scarring is the same as is in the lower lung zones.
14 Q. And Mr. [REDACTED] has no pleural plaques,
15 pleural thickening, pleural calcifications; correct?
16 A. On his chest x-ray, that's right.
17 Q. You also noted that there are scattered
18 calcified nodules in the perihilar areas; right?
19 A. That's right.
20 Q. And that's not related to asbestos exposure;
21 correct?
22 A. I don't think so, no.
23 Q. Were there any markings, in your opinion, on
24 the x-ray that you would relate to Mr. [REDACTED]
25 pneumonia as a child?

Page 221

1 A. No.
2 Q. On the pulmonary function test, Mr. [REDACTED]
3 had normal lung volumes and diffusion capacity;
4 correct?
5 A. Yes, that's true.
6 Q. And then he had a minor -- is that minor or
7 mild? I can't tell from --
8 A. Where?
9 Q. It's the third line on the bottom.
10 A. Oh, it's cut off, part of the record is cut
11 off.
12 Q. Is that minor?
13 A. Mild.
14 Q. Mild.
15 A. Obstructive defect.
16 Q. And is that something that you would
17 attribute to his smoking history?
18 A. Predominantly.
19 Q. If you'll flip back to the chest x-ray,
20 peribronchial cuffing is noted. What is peribronchial
21 cuffing?
22 A. It's thickening of the large airways in the
23 center of the chest on the chest x-ray.
24 Q. That's not related to asbestos exposure?
25 A. It could be, yeah. It's a feature of both

Page 222

1 asbestos exposure and chronic bronchitis from smoking;
2 both.
3 Q. So in this case --
4 A. Probably both.
5 Q. As it relates to Reynolds Metals Company,
6 if Mr. [REDACTED] came in and saw you in 2001 and told
7 you that he worked at Reynolds Metals Company between
8 one week and two months in the late '50s or early '60s,
9 he can't recall which decade or exactly the duration,
10 and he worked as an iron worker; his only job
11 responsibility was to tie steel; he didn't cut gaskets;
12 he didn't pack pumps, valves.
13 A. Okay.
14 Q. He didn't have any direct exposure, and he
15 doesn't claim -- or he did not claim that he had any
16 bystander exposure --
17 A. Okay.
18 Q. -- from pipe fitters or insulators.
19 A. This is during the one week to two-month
20 period that he spent at Reynolds Metal; right, that
21 you're talking about now, that he didn't have any
22 bystander exposure?
23 Q. Correct.
24 A. Okay.
25 Q. And my question to you would be, would that

Page 223

1 work history be sufficient to cause his pulmonary
2 asbestosis?
3 MS. PAPANTONAKIS: Object to the form.
4 A. You mean in a hypothetical sense, would that
5 work history alone be able to account for his pulmonary
6 asbestosis, no.
7 BY MR. GEOFFROY:
8 Q. And as it relates to the entire -- looking
9 at his entire work history.
10 A. I think that if it's more towards the
11 two-month range, it could have made a small
12 contribution to his asbestosis, but not to a
13 significant degree.
14 Q. And what would be the exposure?
15 A. Well, if he was working -- I mean, he told me
16 he didn't have bystander exposure, but he worked around
17 asbestos; right? Didn't you say that?
18 Q. He said he comes in and says he didn't have
19 direct exposure. He doesn't claim --
20 A. Didn't have direct, didn't have bystander
21 exposure. He just had ambient exposure between one
22 week and two months. If it was one week, I'd say that
23 that was insignificant in terms of contributing to the
24 asbestosis. If he had two months of exposure, it would
25 be a minor component, but not very significant.

Page 224

1 I mean, you can do the math. I mean, he does
2 have asbestosis and he worked -- he had exposure from
3 1950 to the mid 1970s. That's twenty-five years.
4 Twenty-five years times twelve, let's see, that's two
5 hundred and seventy-five months. And what we're saying
6 is two of those two hundred and seventy-five months at
7 the maximum, according to your hypothetical question,
8 two of the two hundred and seventy-five months were
9 involved with ambient exposure to asbestos.
10 So of all the ambient exposure he had, one
11 percent of it was at this particular plant you're
12 talking about. Okay. So that's the contribution to --
13 I mean, that would be the -- as best as I can tell,
14 that would be how much that would be contributing to
15 his asbestosis.
16 Q. I just want to make sure that I've got it
17 straight, then, is that by itself, this work history
18 scenario that I've just described to you is not
19 sufficient; right?
20 MS. PAPANTONAKIS: Object to form.
21 A. By itself, it's not sufficient; that's right.
22 BY MR. GEOFFROY:
23 Q. And you're saying that --
24 A. But then your second question was, could it
25 have contributed at all?

Page 225

1 Q. Right.
2 A. And I said that, yes, to a small extent.
3 Q. Right. I just want to make sure that we're
4 clear.
5 MR. GEOFFROY: Dr. Segarra, that's all I've
6 got. Thank you, sir.
7 MS. PAPANTONAKIS: Anyone else have any
8 questions about Mr. [REDACTED]? Then does anyone
9 have any questions about Mr. [REDACTED]?
10 MR. PETERS: I do.
11 ---
12 EXAMINATION
13 BY MR. PETERS:
14 Q. Dr. Segarra, will you look at Mr. [REDACTED]
15 please.
16 A. Got it.
17 Q. Okay. This guy gave you a work history as a
18 boilermaker?
19 A. Yes.
20 Q. And he's been a boilermaker, it looks from
21 your work history, from about 1965 to 1997?
22 A. Yes.
23 Q. You don't know and you don't actually expect
24 him to be exposed to any type of asbestos-containing
25 insulation in the year 1997 as a boilermaker, do you?

Page 226

1 A. Not unless he was involved with a poorly
2 controlled tear-out or a formal abatement program.
3 Q. And you have no evidence of that?
4 A. No, other than -- no, I don't have any
5 direct evidence of that. You're right, I don't.
6 Q. And I think you testified earlier, and
7 correct me if I'm wrong, that approximately 1980 or
8 early '80s is about the period of time when you might
9 believe that his -- any exposure to asbestos may have
10 ceased?
11 A. No. What I said was that new asbestos was --
12 the use of new asbestos in industry went down abruptly
13 around 1972, and that existing supplies which were
14 still used began to be depleted around the mid 1970s.
15 And that safety -- even allowing for a slow phase-in of
16 safety procedures, most plants had those well in place
17 by the early 1980s. So that exposure that occurred
18 after the early 1980s, what I said was, is that that
19 would be due to poorly supervised tear-out projects or
20 abatement, actual abatement in most cases.
21 Now, there are exceptions. For instance,
22 there's a famous plant in Birmingham, Alabama, that
23 actually manufactured asbestos products with hardly any
24 environmental controls in the mid to late 1980s, but
25 those are exceptions. My statement to you was a

Page 227

1 general statement.
2 Q. And specifically, though, you have no
3 evidence that any defendant in this case were not
4 properly installing these safety standards at the
5 appropriate time frame?
6 A. No. As I sit here today, I don't plan to
7 give you any testimony about specific safety protocols
8 for any of the plants that these people may have worked
9 at.
10 Q. You made a statement in your explanation a
11 minute ago that existing supplies were still being used
12 into the mid to late '70s?
13 A. I hear that all the time from my patients.
14 Q. You're talking about existing supplies of
15 what?
16 A. Asbestos products.
17 Q. What type of asbestos products?
18 A. Block insulation, pipe insulation, board
19 insulation, etcetera.
20 Q. Other than hearing that from your
21 plaintiffs, do you have any other type of evidence that
22 that actually occurred?
23 A. It is an oral history, so to speak. I don't
24 have anything documented, I mean, nothing written that
25 it occurred. But frequently they tell me that although

Page 228

1 asbestos stopped being shipped at such-and-such a date,
2 that they used up what they had over the next several
3 years until they didn't have any more. I hear that all
4 the time.
5 Q. Okay. Have you seen any -- have you spoke to
6 any -- well, strike that.
7 This gentleman has a forty-pack-year smoking
8 history, if I read that correctly; is that right?
9 A. Say that again. I'm sorry.
10 Q. Forty-pack-year smoking history?
11 A. Yes.
12 Q. He has osteoarthritis in the knees and hands
13 and he was treated for pneumonia twice in the past two
14 years. Osteoarthritis and pneumonia, that's not
15 anything related to asbestos exposure; is that correct?
16 A. No, it's not related to asbestos exposure,
17 and neither is the pneumonia.
18 Q. And the pneumonia itself, twice in the past
19 two years, are those single events, or is that a
20 continuing condition with this gentleman?
21 A. Although I don't know for sure, I interpreted
22 it as two different events.
23 Q. And the reason I ask, of course, is because
24 pneumonia can -- on an x-ray can show -- well, I
25 understand it can show -- mimic the changes they see

Page 229

1 pursuant to asbestosis or interstitial fibrosis, in
2 some instances.
3 A. Well, yes, you're right. And sometimes
4 people with diffuse interstitial lung disease will
5 develop a cold or an upper respiratory infection. They
6 go to the emergency room or to a doctor who is
7 unfamiliar with them, they'll do an x-ray and they'll
8 see lung disease, and they'll think, oh, gee, maybe
9 he's got pneumonia and they'll treat him with
10 antibiotics. And the same thing will happen the next
11 time they get a cold. Yes, that's possible. But I
12 can't say whether it happened in this case or not. I
13 have no idea.
14 Q. Right. You don't know if it's a single event
15 or a continuing process?
16 A. I expect that it was two different events.
17 The continuing process hypothesis is something you have
18 raised that I have no evidence for.
19 Q. And I guess the way he reported it to you,
20 based on what's written here, and that's all we have to
21 go by is based on what you wrote, you believe it's two
22 separate events?
23 A. That's right.
24 Q. The exertional chest pressure lasting
25 several minutes occurring once or twice a week,

Page 230

1 relieved by rest, is that something that's related to
2 asbestos exposure?
3 A. No. I mean, that sounds like something that
4 would be more likely related to heart disease rather
5 than asbestos exposure. The only caveat to that is
6 that there's one paper which raised a lot of interest
7 about two years ago, and it was in an Australian
8 journal which looked at a large population of
9 asbestotics and found that they have a higher incidence
10 of angina-type chest pain compared to other individuals
11 with the same smoking history, age and risk factors who
12 were not exposed to asbestos. In other words, raising
13 the idea that somehow asbestos exposure makes you more
14 susceptible to pain that you would otherwise relate to
15 heart disease.
16 I have not seen that study repeated or
17 developed since then. And that's the reason why I was
18 answering not your questions, but the other guy who was
19 here. That's the reason I was answering his questions
20 in the way that I did. But as a caveat, I'm simply
21 telling you that, that that paper exists, but I haven't
22 seen anything since then on that subject.
23 Q. It's just kind of -- it's out there as a
24 hypothesis right now?
25 A. Well, no. It was a study, but, you know, in

Page 231

1 medicine, one study doesn't -- generally is something
2 that you're not supposed to -- one single study is
3 something that you might note with interest, but it's
4 good to have other studies, too, to reinforce that,
5 especially a revolutionary concept.
6 Q. Okay. The plaintiff's exertional leg cramps
7 and frequent heartburn, both of which are not related
8 to asbestos exposure; is that correct?
9 A. That's right.
10 Q. The nocturnal wheezing is not related to
11 asbestos exposure; correct?
12 A. Probably not.
13 Q. Can you attribute the chronic productive
14 cough to asbestos exposure?
15 A. It's likely that some of it is related to
16 asbestos exposure, but probably not all of it.
17 Q. And the same -- would that be basically the
18 same answer we've been hearing today about the
19 progressive dyspnea?
20 A. Yes. The shortness of breath with exertion
21 is probably related to asbestos exposure, that's right.
22 Q. And partially related to cigarette smoking?
23 A. Yes. Partly related to his cigarette
24 smoking, insofar as his cigarette smoking was the
25 predominant cause of his COPD, slash, emphysema.

Page 232

1 Q. You have no way of providing us an
2 estimation -- a percentage of his dyspnea, that
3 shortness of breath that's attributed to his cigarette
4 smoking, you can't do that, can you?
5 A. No.
6 Q. On his physical exam, it looks like he had
7 slightly elevated systolic blood pressure?
8 A. That's right.
9 Q. But you don't diagnose hypertension in this
10 gentleman, do you?
11 A. Well, he's got systolic hypertension, yes.
12 Q. Oh, I'm sorry. He's experiencing -- or you
13 heard some rhonchi in his chest. Is that how you say
14 that?
15 A. Yes, rhonchi, that's right.
16 Q. What is rhonchi? What does that sound like?
17 A. Rhonchi are low-pitched sounds that occur on
18 expiration, especially expiration that's prolonged.
19 And it implies the presence of airflow obstruction.
20 It's most commonly seen in asthma, COPD, chronic
21 bronchitis and emphysema.
22 Q. And this gentleman had COPD, as we
23 discussed?
24 A. Yes.
25 Q. You didn't hear any rales?

Page 233

1 A. I did not.
2 Q. Let's look at the chest x-ray. You saw mid
3 and lower lung zones bilaterally, diffuse interstitial
4 pattern of opacities. And you gave that profusion
5 rating a 1 over 1.
6 A. Right.
7 Q. And you also saw pleural disease which you
8 identified as circumscribed pleural thickening, pleura
9 plaque, in profile. Is that bilateral?
10 A. Yes. B-2 on the right, A-2 on the left.
11 Q. You noted that there's some healed fractures
12 of the ribs, 4, 5, 6 and 7. Any of those locations of
13 the rib correspond with the pleural thickening that you
14 saw?
15 A. Although that's possible, I thought that it
16 was unlikely to be just related to rib fractures since
17 it was worse on the other side where the rib fractures
18 weren't compared to the side where the rib fractures
19 were.
20 Q. I'm sorry. It was more --
21 A. The pleural thickening was worse on the side
22 that didn't have the rib fractures rather than the side
23 that did have the rib fractures. That's why I didn't
24 think the pleural thickening had anything to do with
25 the rib fractures.

1 Q. Did you consider whether or not, if that was
2 the case, whether the rib fractures were the cause on
3 the side where they were -- the cause of the pleural
4 plaques on the side they actually occurred and that the
5 other side where you saw worse pleural plaques were
6 some cause of a different disease?

7 A. Gee, that would be invoking a third disease.
8 I mean, although that's theoretically possible, that
9 would be very unlikely, I think.

10 Q. Would you expect in a general sense, though,
11 that -- well, certainly there are times bilateral
12 pleural plaques that are worse on one side than the
13 other due to asbestos exposure?

14 A. Certainly. Even unilateral sometimes.

15 Q. Sure. But the combination of a broken rib
16 fracture and a pleural plaque together on one side, is
17 that generally going to show a greater or worse pleural
18 plaque situation than it would be on the other side?
19 See what I'm saying here?

20 A. Well, I think I do, but I'm not sure.

21 Q. The combination.

22 A. If I were going to attribute pleural plaques
23 to rib trauma in the presence of rib fractures, I
24 generally do that when there's a huge asymmetry between
25 the extent of the pleural plaques on the side where the

1 trauma took place as opposed to the other side. That's
2 just common sense.

3 When the plaques are a little worse on the
4 side that doesn't have the rib fractures, then that
5 leads me to think that the rib fractures are having no
6 effect -- no impact on the pleural plaques at all.

7 Q. Okay. It was approximately three and a half
8 years between the time the films that you read of
9 11/30/98 were taken and the films of February 8, 2002,
10 the day your report was done.

11 A. That's right.

12 Q. And you didn't notice any progression of any
13 disease process there, did you?

14 A. That's right.

15 Q. We've already discussed that you found this
16 gentleman suffered from COPD or emphysema, which we
17 already discussed was due to his cigarette smoking;
18 right?

19 A. Yes. Yes. The COPD was related to that,
20 that's right.

21 Q. And a slightly reduced diffusion capacity
22 which you indicate is seventy percent; right?

23 A. That's right.

24 Q. Normal would be eighty percent, low range
25 normal?

1 A. Yes.

2 Q. And you indicate in here that Mr. [REDACTED] is
3 a current smoker. But you have no way of determining
4 what effect that smoking would have on diffusion
5 capacity in this gentleman?

6 A. No. Because the reduction in DLCO was only
7 mild and he was a current smoker, I didn't make a
8 great deal of it in terms of his diagnosis and
9 impression. If you would ask me now what I thought of
10 it, I would tell you that it was -- the small reduction
11 in DLCO was due to all three, his asbestosis, his COPD,
12 slash, emphysema and the smoking as a separate issue.
13 The only way to know for sure would be to redo his test
14 at a time when he was smoke free over the previous
15 twelve to twenty-four hours.

16 Q. And I believe that answer was responsive to
17 what you were reading in number one, paragraph number
18 one in diagnosis and impression, where you don't have
19 the information relating to reduced diffusion capacity
20 found on the pulmonary function test; is that correct?

21 A. That's right. Because the reduction was only
22 mild and he was a current smoker, I chose not to
23 emphasize that in my diagnosis section.

24 Q. And you are of the opinion that this
25 gentleman's asbestosis -- well, he doesn't have a

1 greater than fifty percent chance of developing lung
2 cancer as a result of the asbestosis?

3 A. Although his risk for lung cancer is
4 increased, it's less than fifty percent.

5 MR. RULON: Object to the nonresponsive
6 portion.

7 BY MR. PETERS:

8 Q. And the same -- if I ask the same question
9 with mesothelioma, you'd give me the same answer; right?

10 A. I would, yes.

11 Q. And if I ask the same question with regard to
12 other asbestos-associated cancers that you believe are
13 associated to asbestos exposure, you would give me the
14 same answer; correct?

15 A. That's right.

16 Q. One other thing on shortness of breath that
17 this gentleman was experiencing. We talked about the
18 cigarette smoking. We talked -- or the COPD. We
19 talked about the potential for asbestos exposure. How
20 about the hypertension; can that cause a little bit of
21 a condition which would cause you to be short of breath
22 at certain times?

23 A. No. But look at his chest pain. I mean,
24 that's a better question. The guy's got chest pain. I
25 think he needs to have ischemic heart disease ruled

1 out. That was the thing that I told him at the time of
 2 the exam that he ought to have done soon.
 3 Q. I see what you're saying. All right.
 4 A. But the blood pressure is not a big deal.
 5 Q. But the blood pressure in relation with chest
 6 pain, is that a big deal?
 7 A. Yeah, I mean, it could potentially be. But
 8 the blood pressure in of itself would not be.
 9 MR. PETERS: I will pass the witness.

EXAMINATION

10 ---
 11 BY MR. RULON:
 12 Q. Dr. Segarra, my name is Chris Rulon. I
 13 represent one of the defendants in the [redacted] group.
 14 You understand that; correct?
 15 A. Well, you just told me that; right.
 16 Q. Okay. Fair enough. I haven't met you
 17 before, so I just wanted to let you know that. I'm
 18 going to ask you a few follow-up questions about Mr.
 19 [redacted].
 20 A. Okay.
 21 Q. The report that you have -- I know you have
 22 a thick notebook there, but the report that you have
 23 about Mr. [redacted], is your copy seven pages?
 24 A. Well, my report is two pages, and then

1 Houston, Texas.
 2 Q. Okay. Thank you. And consistent with your
 3 prior testimony, you established only a limited
 4 physician-patient relationship with Mr. [redacted];
 5 correct?
 6 A. That's right.
 7 Q. You didn't treat him, you didn't medicate
 8 him, you didn't restrict his activities; correct?
 9 A. Well, that's largely correct. The only
 10 recommendation I made out of the limited doctor-patient
 11 relationship, as you put it, is that in addition to the
 12 normal things that I tell people who are diagnosed with
 13 pneumoconiosis, I advised that he get an evaluation to
 14 rule out the possibility of heart disease in this
 15 particular case.
 16 Q. Okay. But with that qualification, no
 17 treatment, no medication, no restriction?
 18 A. That's right.
 19 Q. And you haven't seen Mr. [redacted] since
 20 February, 2002; correct?
 21 A. Correct.
 22 Q. And I would assume you have no current plans
 23 to see him; correct?
 24 A. Not at this time.
 25 Q. And you made no independent efforts to verify

1 there's an ILO form which is the third page. And then
 2 the next five pages are the pulmonary function test
 3 reports.
 4 Q. So you've got a total of eight pages?
 5 A. Of which one was a duplicate, so really
 6 there's only seven pages. For some reason they like to
 7 give double copies of the DLCO things for reasons I
 8 can't fathom, but that's okay.
 9 Q. So you've got a total of eight pages;
 10 correct?
 11 A. Eight pages, that's right.
 12 Q. And as far as you can tell, that's a true and
 13 correct copy of the material that was generated during
 14 your assessment of Mr. [redacted]; correct?
 15 A. Yes.
 16 Q. And that assessment was done on February 8th,
 17 2002; correct?
 18 A. That's right.
 19 Q. The notation FS, slash, HT, and then there's
 20 a Social Security number on the first page, do you see
 21 that?
 22 A. Yes.
 23 Q. What does that refer to?
 24 A. That's the law firm that contracted me to
 25 examine the patient, Foster and Sear, and HT is

1 the work history that he gave to you; correct?
 2 A. Independent efforts?
 3 Q. In other words, you took him at his word?
 4 A. Yes, I did.
 5 Q. You didn't go out and follow up, go to the
 6 places that he said he worked, that sort of thing?
 7 I mean, that's not something you do; correct?
 8 A. Not something -- something outside the scope
 9 of what clinical doctors do.
 10 Q. And that's my point. You didn't make an
 11 independent effort to verify that?
 12 A. No.
 13 Q. And his smoking history is significant;
 14 correct, one pack a day for forty years?
 15 A. Yes, it is.
 16 Q. Turn the page to page two.
 17 A. Okay.
 18 Q. There's a diagnosis/impression. You've got
 19 item 1 and then under that 2, 3 and 4.
 20 A. Yes.
 21 Q. Items 2, 3 and 4, those are not asbestos
 22 related; correct?
 23 A. Correct.
 24 Q. Okay. Would you be able to agree with this
 25 statement, the most likely cause of the obstructive

Page 242

1 defect you noted on the PFT results for Mr. [REDACTED]
2 would be his COPD, emphysema and smoking history?
3 A. I think that's the predominant cause, yes. I
4 think that the asbestosis is probably contributing to
5 that airflow obstruction to a mild extent.
6 Q. But the predominant cause would be the COPD,
7 emphysema and smoking; correct?
8 A. Yes, that's right.
9 MR. RULON: Doctor, that's all the questions
10 I have. Thank you for your time.
11 MS. PAPANTONAKIS: If there are no other
12 questions about Mr. [REDACTED] let's talk about Mr.
13 [REDACTED]. Does anyone have any questions about
14 [REDACTED] in the [REDACTED] group? Then I
15 guess we're not going to talk about him. Going,
16 going, gone.
17 The next plaintiff in the [REDACTED] group is
18 [REDACTED]. Does anybody have questions about
19 [REDACTED]?
20 MR. PETERS: Yes.
21 ---
22 EXAMINATION
23 BY MR. PETERS:
24 Q. All right. Mr. [REDACTED] here presents as an
25 industrial painter/drywall technician; correct?

Page 243

1 A. Yes.
2 Q. Can you explain to the jury what this
3 gentleman did as an industrial painter/drywall
4 technician and when he did that?
5 A. I think he did painting, preparation of
6 surfaces painting and basically construction of walls
7 in industrial plants. Probably also commercial and
8 residential areas as well.
9 Q. And he gives you this history as between
10 1964 and 1993, it would seem like?
11 A. Yes, exactly. Although -- let me see. Yeah.
12 I think -- I didn't get the impression that he had much
13 asbestos exposure from 1964 to 1968 because that seemed
14 to me to be mostly painting in nonindustrial settings.
15 I thought -- it seemed to me most of his exposure
16 occurred from 1970 to 1993. And, of course, I'm
17 reading from my report, but the way I wrote it, I think
18 that's what I was conveying.
19 Q. And the 1970 to '93 time frame is the period
20 he worked in commercial settings; is that correct?
21 A. Industrial settings, yes.
22 Q. Industrial settings.
23 A. Yes.
24 Q. You note that he had direct exposure to
25 asbestos-containing joint compounds; is that correct?

Page 244

1 A. Yes.
2 Q. And you say ambient/bystander exposure to
3 dust from boiler insulation, pipe covering, gaskets and
4 insulating cement; correct?
5 A. That's what he told me.
6 Q. That ambient exposure that we talked about,
7 is that just being there while work is going on with
8 asbestos-containing products?
9 A. Well, bystander would be close by while it
10 was going on. Ambient would be where the dust from the
11 asbestos activity is forming a -- appears to be forming
12 a part of the respiratory environment of other workers
13 in other parts of the plant.
14 Q. So there's a delineation of some proximity
15 between ambient and bystander?
16 A. Although what you say is true, there's not a
17 hard -- there's no -- there's no distinct line between
18 the two. It's more qualitative.
19 Q. Bystander would be more than ambient?
20 A. Oh, yeah, I think so. Usually. Yeah,
21 generally.
22 Q. Give me an example of bystander exposure.
23 A. I'm a painter and I am painting an area of
24 ducts that have just been insulated by insulators that
25 are moving down a pipe next to me. Or I am operating a

Page 245

1 drill press next to pipe fitters who are tearing off
2 pipe insulation, repairing a leak and then replacing
3 the insulation next to it. I would consider that
4 bystander exposure. Or a sheet metal worker who is
5 laying down sheet metal over freshly insulated ducts
6 where a team of insulators is going before me on a
7 scaffold. I mean, those are all examples of bystander
8 exposure.
9 Q. Same question with ambient. Can you give us
10 some examples of ambient?
11 A. Ambient is I'm working as a digester
12 operator in a paper mill in a closed environment where
13 there is asbestos being removed from -- installed and
14 removed from dryers, boilers and kilns even though I'm
15 not directly working right next to it.
16 Q. And, again, there's no hard and fast line
17 between bystander and ambient. I guess the further you
18 get away from it, it goes from bystander to ambient?
19 A. I think that's fair, yes.
20 Q. And certainly the further you get away from a
21 task that's generating dust, the less exposure you'd
22 have?
23 A. The less exposure, unless it's a closed
24 chamber, in which case the exposure never drops below a
25 certain level. Now, not everything is a closed

Page 246

1 chamber, but if there is a closed chamber, then that
2 would be the case.

3 Q. And you would -- at that point you're
4 assuming that the asbestos dust is just floating around
5 the air the whole time and not settling, or it settles
6 and then --

7 A. In the closed chamber hypothesis, yes. But
8 in a plant where, say, the walls were open, the further
9 you get away from the exposure, the exposure gets --
10 becomes less and less, yes.

11 Q. And you're not an industrial hygienist by
12 training or experience, are you?

13 A. No. But many of the -- although I'm not,
14 most of the principles of industrial hygiene are just
15 common sense and, you know, you can -- the common sense
16 part comes in where the dose of an inhalational
17 material is going to vary with distance and, also, it's
18 going to vary with the degree to which airflow is
19 present or absent in any particular environment.

20 Q. Right. But you don't intend to do a dose
21 assessment --

22 A. No.

23 Q. -- quantitative dose assessment?

24 A. No, no, no. That's a separate issue. No.

25 Q. You note that he had some exposure to

Page 247

1 xylene. Why is that significant enough to put in your
2 report?

3 A. Well, as I said before, I try to put chemical
4 exposure when it's specific. And he wrote -- he either
5 wrote down or told me that he was exposed to xylene, so
6 I put it in the report.

7 Q. And do you know what xylene is?

8 A. Yes. It's a hydrocarbon benzene ring with
9 some methyl groups attached to it here and there, which
10 as a hydrocarbon can act as a potential carcinogen for
11 certain cancers, particularly lung and bladder cancer.

12 Q. Okay. You indicate that he tore insulation
13 off pipes. Did he -- I guess if it's not in here, do
14 you know how a painter or drywall technician would be
15 required or why he would be required to tear insulation
16 off pipes?

17 A. I don't know.

18 Q. That's just something --

19 A. That's just what he said he did, yeah. I
20 don't know.

21 Q. Okay.

22 A. I mean, if I could -- I don't know if he
23 said this or not because I don't recall. But just what
24 other people have said is that although somebody may
25 have worked as a painter/drywall technician or whatever

Page 248

1 as their main job, there are times they may be called
2 in to spell somebody else. Their job during shutdowns
3 may be totally different than their regular job. They
4 may have been in the labor pool for a year or so here
5 and there, you know. There's all sorts of reasons why
6 that might have been the case.

7 Q. But specifically with Mr. [REDACTED], you don't
8 remember?

9 A. I don't know. I just know that he told me he
10 did what, you know, what it says that he did.

11 Q. That's a general statement on your part?

12 A. That's a general statement, what I said
13 before, right.

14 Q. Sure. He's got a twenty-five-pack-year
15 smoking history; correct?

16 A. Yes.

17 Q. He's got posttraumatic stress disorder. Did
18 he indicate from what that occurred?

19 A. No.

20 Q. And the two medications that he's taking,
21 what are they for?

22 A. Clonazepam and Citalopram. I'm not sure
23 about the Citalopram. It's not a drug that I've
24 prescribed in the past. The Clonazepam is a
25 benzodiazepine similar to Valium, Serax and Ativan,

Page 249

1 which its primary medical use is to treat restless leg
2 syndrome and nocturnal myoclonus because it's a muscle
3 relaxer. But it's also a good treatment for panic
4 disorder and some types of complicated anxiety and
5 depressive disorders.

6 Q. Which would tie into the posttraumatic stress
7 disorder?

8 A. Yes.

9 Q. You indicate he has frequent episodes of
10 sharp chest pain lifting heavy object, coughing,
11 sneezing or deep breathing. You don't attribute that
12 to any asbestos exposure, do you?

13 A. No.

14 Q. Okay. And does that -- what does that sound
15 like to you? Is that muscular?

16 A. Sounds muscular. May not be, but it sounds
17 muscular.

18 Q. He has frequent palpitations and cramps in
19 his legs after walking two blocks. Do you attribute
20 that to asbestos exposure?

21 A. No.

22 Q. Do you attribute it to anything that you can
23 see from his physical -- your examination of him?

24 A. Not from his physical exam. But that history
25 suggests a possibility -- it doesn't prove it, but

Page 250

1 suggests a possibility of claudication, which can be a
2 sign of peripheral vascular disease, inadequate blood
3 supply to the legs.
4 Q. Okay. And he doesn't have a cough,
5 hemoptysis or hoarseness; correct?
6 A. Right.
7 Q. Then in the past twenty years, he's had
8 slowly progressive dyspnea upon exertion which now
9 occurs after walking a hundred yards, climbing two
10 flights or cutting grass.
11 A. That's right.
12 Q. And, again, the shortness of breath that
13 we're talking about here, do you attribute any of that
14 to his smoking history?
15 A. No.
16 Q. Are you attributing that solely to his
17 asbestos exposure?
18 A. Yes.
19 Q. Okay. And he's got some fractured ribs here
20 that you note on the left side two years prior to your
21 examination; correct?
22 A. That's right.
23 Q. Looking at the physical examination,
24 basically normal. Am I reading that right?
25 A. Yes, you are.

Page 251

1 Q. No rales?
2 A. That's right.
3 Q. Now, on the chest x-ray, you give him a one
4 over zero; right?
5 A. Yes, that's right.
6 Q. And you see pleural plaques, not bilateral,
7 are they? Oh, I see. Bilaterally.
8 A. Yes.
9 Q. Okay. And they're the same on both sides?
10 A. That's right.
11 Q. But not calcified?
12 A. Not calcified.
13 Q. And you looked at films from almost three
14 years earlier and compared them with the films that you
15 took on January 31st, 2001, the date of your report,
16 and you found no progression; right?
17 A. That's right.
18 Q. PFTs are normal?
19 A. Yes.
20 Q. You note in your impression section that he
21 has no silicosis at this time. Do you expect him to
22 develop silicosis; is that why you phrased it like
23 that?
24 A. No, not necessarily. Let's see. I must have
25 got a history that he had some -- yeah, he had some

Page 252

1 sandblasting exposure, so I simply raise that as a -- I
2 just indicated that there was no evidence of that since
3 that seems to be a topic of high interest these days.
4 Q. Okay. He doesn't have a cancer right now;
5 correct?
6 A. Correct.
7 Q. And, again, as we discussed in the past
8 today, there is -- he does not have a fifty percent
9 chance of contracting an asbestos-related lung cancer
10 correct, based on his exposure?
11 A. That's right. Although his risk is
12 increased, it's less than fifty percent.
13 Q. Right. The same question and the same answer
14 with mesothelioma; correct?
15 A. That's right.
16 Q. And the same question and same answer with
17 any other malignancy that you attribute to asbestos
18 exposure; correct?
19 A. Correct.
20 Q. Did he indicate to you whether or not he
21 wore any respiratory protection while he did his work
22 as a painter/drywall technician?
23 A. I don't think he did. Oftentimes if they do,
24 I will state that.
25 Q. Okay. And you expect a patient or even a

Page 253

1 plaintiff in one of these medical/legal contexts to
2 provide you with a full and complete history of his
3 work including whether or not he used appropriate
4 respiratory equipment?
5 A. Most patients will generally say whether they
6 used a mask or not when being exposed to various
7 things, be they chemicals or asbestos. And, in fact,
8 in most cases of sandblasting exposure, they specify
9 whether they used a desert hood or an air-fed hood, but
10 that's not always the case. It's just frequently they
11 do.
12 Q. But you would have asked him in your oral
13 history with him if he used a mask?
14 A. Most of the time, yeah. It could be that I
15 didn't. I don't recall whether I did or not.
16 Q. If he did use a dust mask while doing his
17 drywall work, does that in any way change or modify
18 your opinions as to his extent of exposure to
19 asbestos-containing products during that work?
20 A. Not really. This seems -- it seems like he
21 had an exposure that's fully sufficient to explain the
22 radiographic findings. The dust masks, plain old dust
23 masks generally become ineffective after an hour or so
24 of use. And unless it were changed regularly, then it
25 would not significantly mitigate the overall exposure.

1 Q. And if he did use one, the evidence does show
2 that he used one, you have no -- any personal knowledge
3 or evidence of Mr. [REDACTED] himself whether or not he
4 did change out that dust mask?

5 A. Yeah, I just don't. I can't recall whether
6 that was discussed. In fact, I would have to assume
7 that it wasn't since I didn't put it in my report.

8 MR. PETERS: Okay. I'll pass the witness.

9 MS. PAPANTONAKIS: Any other questions on Mr.
10 [REDACTED]? Then let's talk about Mr. [REDACTED]

12 ---
13 EXAMINATION

14 BY MR. PETERS:

15 Q. Dr. Segarra, if you would, look at Mr. [REDACTED]
16 [REDACTED], please.

17 A. Which group is he?

18 Q. He's in the same group we're talking about.

19 A. Oh, yeah, I have it. Yes. Okay.

20 Q. [REDACTED]. Okay. Mr. [REDACTED] presents
21 himself as a retired boilermaker/pipe fitter; correct?

22 A. Yes.

23 Q. And you saw him back in February of 2002?

24 A. That's right.

25 Q. And, again, you've testified in the past, I

1 Q. I see. I see where you wrote that. And
2 while he may believe that -- well, never mind.

3 We know also that he's a fifty-pack-year
4 smoker?

5 A. Yes.

6 Q. And he had a previous history of throat
7 cancer in 1977?

8 A. That's what he said, yes.

9 Q. Radiation therapy was used to treat that?

10 A. That's right.

11 Q. And about two weeks prior to your examination
12 of Mr. [REDACTED] here, he was actually hospitalized for
13 bronchitis and a sinus infection; is that right?

14 A. Yes.

15 Q. What are the medications that he's taking,
16 what are they used for or they treat?

17 A. Well, asthma and chronic bronchitis.

18 Q. Does he have a history of chronic
19 bronchitis?

20 A. Well, he didn't say that -- he didn't make
21 that statement, per se. But he said he was
22 hospitalized for bronchitis and a sinus infection at
23 one point. He doesn't really meet the criteria for
24 chronic bronchitis since he doesn't have any chronic
25 cough, so it's unclear.

1 believe, today that this report provides us with your
2 whole medical workup of Mr. [REDACTED] in this case?

3 A. That's right.

4 Q. When do you believe his first exposure to
5 asbestos began?

6 A. Probably as an outside machinist in the
7 shipyard in the 1960s. It's unclear whether he had any
8 back in the Navy. It doesn't seem that way.

9 Q. Okay.

10 A. So my interpretation of this is that he had
11 exposure from '67 to '68 in the shipyard, and from 1974
12 to 1998, or thereabouts, as a boilermaker/pipe fitter.

13 Q. Right. Because from '68 to '73 he's moving
14 dirt; is that correct?

15 A. Correct.

16 Q. So '74 to '98 as a boilermaker/pipe fitter.
17 And we talked in the past about when exposures may have
18 stopped or declined to some extent with regard to --

19 A. Yes.

20 Q. -- the time frame of his alleged exposures.

21 A. That's right.

22 Q. Okay.

23 A. I should point out that he said that he had
24 exposure on every job from '74 to '95, which is a
25 little unusual, but that's what he said.

1 Q. What is mild orthopnea?

2 A. It's shortness of breath when you lie flat
3 as opposed to when you sit up.

4 Q. What can be a cause of that?

5 A. Possible causes include chronic sinus
6 congestion and congestive heart failure.

7 Q. And asbestos exposure is not a cause of that;
8 is that correct?

9 A. Not generally unless it's -- unless you have
10 severe asbestosis. It's not a common feature of mild
11 asbestosis.

12 Q. Which Mr. [REDACTED] has mild asbestosis; right?

13 A. That's right.

14 Q. Again, he has shortness of breath upon
15 exertion as stated in your report; right?

16 A. Yes.

17 Q. And there's various reasons for that
18 shortness of breath, including bronchitis, a chronic
19 bronchitis syndrome?

20 A. Well, I just said he didn't have chronic
21 bronchitis. I know he's taking lophen for that. But
22 what I just said is he doesn't meet the criteria for
23 chronic bronchitis. So if we're going to talk
24 theoretically, that's one thing. But if we're going to
25 talk what his shortness of breath is most likely

Page 258

1 related to, then we'd have to skip over chronic
2 bronchitis. And instead, I would have to attribute
3 that to asbestosis or an asbestos exposure.
4 Now, what I did do, though, not so much
5 chronic bronchitis, but I did diagnose him with COPD
6 based on his pulmonary function test and his smoking
7 history.
8 Q. Right.
9 A. And that certainly would be contributing to
10 his dyspnea on exertion.
11 Q. Okay. Why do you have chronic bronchitis in
12 your impression section, then?
13 A. Simply, you know -- I don't know, I probably
14 shouldn't have. The reason I put it in there is
15 because he came with that diagnosis, but then he denied
16 chronic cough. And you can't really have chronic
17 bronchitis without chronic cough, because even though
18 it's related to COPD, you have to have chronic cough to
19 have that particular entity.
20 Q. Did he indicate to you when his treatment
21 for pneumonia was in the past?
22 A. If he did, I would have put it down. No, I
23 guess he didn't.
24 Q. And because it was in the past, it wasn't --
25 and how it's written here, it wasn't an active disease

Page 259

1 process?
2 A. Right. That's right.
3 Q. The physical exam, basically normal? A
4 little high blood pressure?
5 A. Well, no, not so much that. His breath
6 sounds were somewhat reduced. And his I/E ratio was
7 reduced a little bit. That would go along with COPD or
8 would go along with airflow obstruction in general.
9 Q. What's the I to E ratio?
10 A. The length of time it takes to get air in
11 versus the length of time it takes to get air out.
12 Q. And that's an obstructive defect?
13 A. Yes. It correlates with an obstructive
14 defect.
15 Q. Right. But he had no rales?
16 A. He didn't.
17 Q. The chest x-ray we indicated earlier showed
18 what you believe to be a mild asbestosis; right?
19 A. That's right.
20 Q. You indicate there is unilateral blunting of
21 a costophrenic angle. What does that mean?
22 A. That means that the angle rather than being
23 sharp is -- the sharp part is dull, it's blunted. And
24 that's generally due to scarring in that angle or
25 fluid. And when it's unilateral, as I've said before,

Page 260

1 it's usually due to reasons other than asbestos
2 exposure, except in the rare cases where it's due to
3 mesothelioma, which I don't think was the case here.
4 So in his particular case, it was probably due to one
5 of his pneumonias.
6 Q. And you noticed some noncalcified bilateral
7 diaphragmatic pleural plaques also in this gentleman.
8 A. Yes, that's right. Both sides.
9 Q. You saw the emphysema in this gentleman in
10 the x-ray also; correct?
11 A. That's right.
12 Q. In the PFTs, pulmonary function testing, you
13 note that he had a mildly reduced diffusion capacity in
14 a current smoker. That diffusion capacity is indicated
15 to be sixty-one percent?
16 A. Right.
17 Q. And we've talked extensively as to the
18 effects of smoking, a current smoker, someone who
19 smoked in the last twelve hours would have on that
20 diffusion capacity; right?
21 A. Yes, we have.
22 Q. Nothing changes there with your opinions,
23 obviously?
24 A. No.
25 Q. The radiation treatment for his throat

Page 261

1 cancer, would that have any effect on the lungs in
2 terms of scarring or anything?
3 A. No. It obviously was very limited.
4 Q. As far as we know from the --
5 A. It was outside the -- the port was outside
6 the lungs.
7 Q. Right. Okay. With regard to his prognosis,
8 Mr. [REDACTED] does not rise to the level of a fifty
9 percent chance of contracting asbestos-related lung
10 cancer; correct?
11 A. Although that's true, his particular risk is
12 higher than most of the others due to his asbestos
13 exposure --
14 Q. Right.
15 A. -- the presence of asbestosis --
16 Q. Right.
17 A. -- his smoking and the presence of
18 COPD/emphysema. All those things are increasing his
19 risk. So although it approaches fifty percent, it
20 doesn't exceed it.
21 Q. Okay. The smoking history -- well, strike
22 that. Same question with regard to mesothelioma, he's
23 not at or near a fifty percent risk of contracting
24 mesothelioma based on his asbestos exposure; correct?
25 A. Although his risk is elevated, again, it

1 doesn't exceed fifty percent.
 2 Q. Same question with regard to any other
 3 cancers, malignancies that you correlate or associate
 4 with asbestos exposure, same answer?
 5 A. Although that would be my same answer,
 6 there's a question that is, as far as I'm concerned,
 7 unresolved about his throat cancer, both in terms of
 8 latency and also as to what sort of cancer that really
 9 was. And I just don't have any opinion about that
 10 until there's medical record correlation with that
 11 issue.
 12 Q. All right. Are you saying that his throat
 13 cancer, it's possible that was related to his asbestos
 14 exposure?
 15 A. Well, since you frame the question, let me
 16 look now. He contracted that in 1977, assuming it
 17 really was a throat cancer. And the minimal latency
 18 period for attributing a non-lung cancer to asbestos is
 19 fifteen years. That would bring us back to 1962, and
 20 he had not yet had any exposure then, so I cannot
 21 attribute that particular throat cancer to asbestos
 22 exposure.
 23 MR. PETERS: Okay. I'll pass the witness.
 24 MS. PAPANTONAKIS: If there are no other
 25 questions about Mr. [REDACTED], then we will talk

1 you reported that he was being treated for
 2 hypertension, hyperlipidemia, osteoarthritis, coronary
 3 artery disease, acid reflux and emphysema. Are any of
 4 those conditions attributable to his exposure to
 5 asbestos?
 6 A. No.
 7 Q. Since October the 11th, 2002, have you seen
 8 Mr. [REDACTED] since that date?
 9 A. I don't think so, no.
 10 Q. Do you have any plans to see him again?
 11 A. Not at this time.
 12 Q. Any testimony you would give at the trial of
 13 this particular individual would be based on your
 14 report and the medical records review?
 15 A. Yes.
 16 Q. I note in here your report states that he is
 17 not using any inhaled bronchodilators. When he
 18 reported to you on October the 11th, 2000, should he
 19 have been using inhaled bronchodilators? Is there some
 20 indication that in the past he should have been using
 21 that?
 22 A. No. I think -- I mean, not necessarily. I
 23 just thought that it was surprising that since he had a
 24 history of emphysema, and if you look at his pulmonary
 25 function tests, he has severe COPD, at least moderate

1 about [REDACTED]
 2 THE WITNESS: Can we go off the record for a
 3 second?
 4 ---
 5 (Whereupon, there was an off-the-record discussion.)
 6 EXAMINATION
 7 BY MR. GOLDEN:
 8 Q. Dr. Segarra, Shawn Golden again with Alcoa.
 9 I'm going to ask you some questions about [REDACTED]
 10 [REDACTED]
 11 A. All right.
 12 Q. Mr. [REDACTED] presented to you as a sixty-two
 13 year old Caucasian male. He was retired. His trade or
 14 occupation, he was an auto or truck mechanic. And he
 15 reported exposure to asbestos dust from about 1961 to
 16 1991; is that correct?
 17 A. That's right.
 18 Q. And your report was done October the 11th,
 19 2000, and that would have been before you would have
 20 been licensed in Texas?
 21 A. That's right.
 22 Q. Nonetheless, you were a certified B-Reader
 23 for nine-plus years by that time?
 24 A. That's right.
 25 Q. And in the history section of Mr. [REDACTED],

1 to severe COPD, that he was not using inhaled
 2 bronchodilators because most patients with that
 3 condition are.
 4 Q. Did he give you or do you recall if he
 5 provided you any basis why he wasn't using any
 6 bronchodilation therapy?
 7 A. Although he did not -- although I don't
 8 recall whether he did, most patients who are not using
 9 it are not using them for one of three reasons. Either
 10 their primary doctor didn't diagnose the emphysema
 11 prior to me seeing them. Second is that they're
 12 expensive and some patients can't afford them. And the
 13 third reason is that in that particular patient's
 14 experience, they didn't help. All of them may have
 15 been the reason, but I don't recall whether any of that
 16 applied to this patient.
 17 Q. Yes, sir. And then the next couple of
 18 sentences down, it says general review of systems is
 19 essentially negative. What is meant by that statement?
 20 A. It just means that when I asked him about
 21 all his other symptoms, that none of them -- there was
 22 no additional -- there were no additional symptoms in
 23 regard to, you know, anemia or throwing or coughing up
 24 blood that relate to other diseases. All that was
 25 negative.

1 Q. Okay. The next sentence states that Mr.
2 [REDACTED] has had a productive cough for the past thirty
3 years occasionally associated with wheezing. Is that
4 attributable to his past asbestos exposure?

5 A. Some of it is attributable to his previous
6 asbestos exposure. But a large part of it is no doubt
7 related to his COPD and emphysema.

8 Q. Okay. Mr. [REDACTED] had heart surgery in
9 1988. And then your report notes that he has
10 noticeable shortness of breath upon exertion since his
11 heart surgery.

12 A. Yes.

13 Q. Does that statement exclude the possibility
14 that any shortness of breath could be attributable to
15 his asbestos exposure, or is that just --

16 A. No, it doesn't exclude the possibility.
17 It's just since he told me that, I put that in there,
18 and that's just a -- since that was an interesting
19 landmark, historical landmark, that's all.

20 Q. Then you noted he had a history of emphysema
21 dating back to '91, and then in '87 he treated for
22 pneumonia.

23 A. That's right.

24 Q. He's approximately five-five and a hundred
25 and eighty-five pounds. Depending on his build, he may

1 pleural plaques or pleural thickening or pleural
2 calcifications?

3 A. That's right.

4 Q. And all three of those, plaques, thickening
5 and calcifications, those would be markers for
6 asbestosis?

7 A. They would be.

8 Q. Again, his chest x-ray showed where he had
9 undergone a heart bypass surgery?

10 A. Yes.

11 Q. And compared to an earlier film, you didn't
12 see where there had been any interval change?

13 A. That's right.

14 Q. I know that you stated many times today that
15 sometimes prior B-Reads are forwarded to you when you
16 do your medical/legal evaluation --

17 A. Yes.

18 Q. -- and sometimes they're not. When they are
19 forwarded to you, is it peculiar or is it normal for
20 the B-Reader to assign a profusion level? For
21 instance, if you got a report that didn't have a
22 profusion level on it, would that make a difference to
23 you?

24 A. Well, in the first place, let me go back to
25 what you said. What I said is that there are times

1 or may not be overweight?

2 A. That's right. I think he probably is
3 overweight. I don't think he's obese. At least I
4 didn't think so at the time.

5 Q. His pulse and his blood pressure appear
6 normal?

7 A. Yes.

8 Q. Are there any other statements in the
9 paragraph that's entitled physical exam that are
10 remarkable concerning Mr. [REDACTED]?

11 A. Yeah. He's got a lung exam that has
12 decreased breath sounds, increased expiratory time;
13 all of which are findings that you see in COPD. And
14 plus, there's the scar from his heart surgery.

15 Q. But nothing else that would be attributable
16 to asbestos exposure?

17 A. Not on his physical exam.

18 Q. Okay. Going to the chest x-ray, you noted
19 it was grade 1 and that there were diffuse interstitial
20 pattern, small, irregular linear opacities within the
21 mid lung zones bilaterally, of size and shape T/S,
22 profusion 1/0?

23 A. That's right.

24 Q. And he did not present -- or his chest x-ray
25 did not present any pleural surfaces which demonstrated

1 that the x-rays are forwarded to me for review prior to
2 doing them and sometimes they're not. I also said as a
3 separate issue completely that at the time that I
4 examine these individuals, sometimes there are
5 B-Readings from prior x-rays that are stuck inside the
6 x-ray jackets of the old films that I compare them to
7 and sometimes they're not. So just with that
8 understanding, yes.

9 Now, on the old B-Readings from previous
10 readers, when I see one that doesn't have any ILO
11 profusion or kind of ILO nomenclature, my assumption
12 generally is that that person who read it was not a
13 B-Reader. But, I mean, that may not be the case, but
14 that's what I would assume.

15 Q. Nonetheless, you would do your own B-Reading
16 evaluation and --

17 A. Oh, of course.

18 Q. -- make your own independent analysis?

19 A. Of course. And if ever there is a time where
20 I haven't looked at the x-ray and I've just written
21 down what some other B-Reader has said, his name and
22 the specific B-Reading would always be prominently
23 attributed on the report.

24 Q. In your report.

25 A. I would never quote from someone else's

Page 270

1 B-Reading without attribution; never. So anytime that
2 there's a chest x-ray on my reports with a description,
3 that's something that I have directly done.
4 Q. Okay. The pulmonary function testing
5 paragraph, you again note in your report that his PFTs
6 demonstrate a severe obstructive defect with normal
7 lung volumes.
8 And my question is, and maybe it's for my own
9 edification, is I see there's various tests and values
10 on a PFT.
11 A. Right.
12 Q. Is one of those tests or values more
13 important than any other on there?
14 A. That's a great question. When I say by
15 normal lung volume, I mean the TLC was normal.
16 Q. Total lung capacity?
17 A. Total lung capacity; right. That determines
18 whether you have restriction or not, and that
19 determines whether you have hyperinflation or not, and
20 that was normal.
21 The FRC is an indication of air trapping and
22 is elevated, which is no surprise in somebody with
23 emphysema.
24 Q. Okay. And the other question I have, and
25 maybe it's for my own edification again from sitting

Page 271

1 here all day and listening to various people ask you
2 questions, but if you were looking at a chest x-ray and
3 you knew that the gentleman had a smoking history,
4 would his smoking history present the same type of
5 markings on that chest x-ray as asbestos exposure or
6 any other exposure to --
7 A. No. No. That's a good question, too, but,
8 no, it doesn't. The only kind of marks that you get on
9 an x-ray from smoking itself is you can get what's
10 known as a pattern of smoker's bronchiolitis. And
11 what that refers to are thickening of the normal
12 bronchiole markings in the center of the chest as
13 they -- on an x-ray, here's the center of the chest,
14 and then there's the lines that go out from the chest
15 that are the normal bronchiole markings. Those are
16 thickened sometimes in smokers as a result of smoking
17 with a combination of either chronic bronchitis or this
18 bronchiolitis thing I was telling you about.
19 Asbestosis are discrete, in other words,
20 separate little linear opacities that are in the
21 periphery of the lung zones that are discontinuous with
22 the markings in the center of the chest. They're not
23 just an accentuation of normal bronchiole markings,
24 they're separate scars.
25 Q. They're clearly different?

Page 272

1 A. They're different. Now, I mean, I will say
2 that to someone who is inexperienced in doing B-Reading
3 or reading chest x-rays of people with occupational
4 lung disease, they may not see that much of a
5 difference, but an experienced reader should be able to
6 distinguish between the two fairly easily in most
7 cases.
8 Q. And I think your diagnosis and impression
9 paragraph is self-explanatory. You diagnosed mild
10 pulmonary asbestosis based on the interstitial changes
11 on the chest x-ray and his exposure history that he
12 provided to you. And you also noted that the reduced
13 diffusion capacity provided some physiological
14 correlation with the interstitial radiographic
15 abnormalities.
16 A. Yes.
17 Q. Can smoking also cause the reduced diffusion
18 capacity?
19 A. Well, smoking itself can, but he's an
20 ex-smoker; he's quit. So when you're no longer
21 smoking, the smoking is not going to interfere with the
22 value. Now, in his case, he's got COPD and emphysema,
23 and certainly that is probably contributing to the
24 reduction in diffusion capacity, but is probably not
25 accounting for the complete reduction.

Page 273

1 Q. Okay. Then again in diagnosis and
2 impression, you noted the COPD, emphysema, with a
3 moderate to severe description, and you advise clinical
4 follow-up, and for him to seek -- or you recommended
5 initiation of bronchodilator therapy.
6 A. That's right.
7 Q. And then you noted his heart disease, status
8 post coronary artery bypass grafting.
9 A. That's correct.
10 Q. Now jumping to the fifty percent questions,
11 Dr. Segarra, that you've been asked repeatedly today.
12 A. Okay.
13 Q. Is it more likely than not that Mr. [REDACTED]
14 is going to develop an asbestos-related cancer?
15 A. Although his risk for asbestos-related
16 cancers is increased, it does not exceed fifty percent.
17 Q. And your answers would be the same for
18 mesothelioma or any other cancer which you associate
19 with asbestos exposure?
20 A. Well, since you used the term
21 asbestos-related cancer, that was sort of all
22 inclusive. You covered all three.
23 MR. GOLDEN: Okay. Fair enough. Thank you
24 for your time today, sir.
25 THE WITNESS: You're welcome.

Page 274

1 MS. PAPANTONAKIS: Does anyone else have any
2 other questions about any plaintiff in the
3 [REDACTED] case group? Okay. Then we're going to
4 stop for today and --
5 MR. PETERS: Let me ask just two more
6 questions.
7 MS. PAPANTONAKIS: Okay.
8 ---
9 EXAMINATION
10 BY MR. PETERS:
11 Q. Have you been provided by the Heard, Robins,
12 Cloud law firm or any other law firm that you've done
13 these -- that you've worked with in these medical/legal
14 examinations any documents or information concerning my
15 client, Celanese?
16 A. No.
17 Q. You have no personal knowledge yourself
18 through any source of any use of asbestos-containing
19 products during any time frame in the history of
20 Celanese's existence?
21 A. Well, now, not in relation to these clients.
22 But in general you mean?
23 Q. Yeah.
24 A. I believe there were a group of people who
25 worked at a Celanese plant one time who told me that

Page 275

1 they were exposed to asbestos-containing acoustic
2 ceiling tiles that were made by Celanese. At least
3 that's my understanding, but that was several years
4 ago.
5 Q. That we made asbestos -- you think -- you
6 think someone told you --
7 A. Unless I'm remembering this wrong, that's
8 what I recall. But that was several years ago and I
9 may have it mixed up.
10 MR. PETERS: Okay. That's fine. I pass him.
11 MS. PAPANTONAKIS: Anyone else have any other
12 questions?
13 MR. JACOBS: Well, I mean, I think a lot of
14 us have general questions we probably could ask
15 him, but nothing specific as to the plaintiffs we
16 have discussed today. I think that's probably
17 safe to say.
18 MS. PAPANTONAKIS: Well, if you've got some
19 general questions as far as these cases, go ahead.
20 ---
21 EXAMINATION
22 BY MR. JACOBS:
23 Q. Let me ask a few very quick questions here,
24 Doctor. When I use the term environmental tobacco
25 smoking, do you know what I mean by that?

Page 276

1 A. You mean passive secondhand smoke.
2 Q. Yes. Do you believe that environmental
3 tobacco smoke is a risk factor for lung cancer?
4 A. I think that it's a weak risk factor for lung
5 cancer. In other words, if you have long-term, heavy
6 exposure over many years, the SMR, if you will, for
7 lung cancer for such an exposed individual can exceed
8 two in some cases, in some studies, and by no means all
9 of them.
10 Q. Okay. That was going to be my next question,
11 is do you believe that there is a consensus in the
12 scientific and medical communities about this issue?
13 A. I think there is a consensus. I think the
14 consensus has been hyped by the popular press quite a
15 bit. And by far, the worst thing environmental
16 cigarette smoke does is it causes asthma in children;
17 I mean, vastly increases the incidence of asthma in
18 kids. And that's the biggest -- that should be the
19 biggest public health aspect that should be emphasized
20 in terms of getting people not to smoke around their
21 kids. But as far as causing cancer in adults, yes,
22 there is an increased risk, but it's not huge.
23 Q. Okay. Now, on that increased risk, when was
24 that consensus in the medical and scientific community
25 reached?

Page 277

1 A. Ten years ago, roughly.
2 Q. If I want to go back and look and see when
3 that consensus was reached, what would I need to look
4 at in order to make that determination?
5 A. American Cancer Society Journals. There's
6 been -- I'm sure there's been ATS and -- I know there's
7 been ATS and ACCP consensus statements on the danger
8 of secondhand cigarette smoke. And I'm sure I have
9 them, I'd just have look them up to tell you for sure.
10 And, I mean, if that's something that you wanted
11 desperately, my office staff could probably provide you
12 with reprints on that information.
13 Q. We're not just talking about case studies
14 here; right, we're talking about epidemiology?
15 A. No. No, of course. No, I'm talking
16 about -- and not just epidemiologic studies, but I'm
17 talking about a consensus on the issue. Right.
18 Q. Okay. And one further question. When did
19 the scientific and medical community reach the
20 consensus that primary tobacco smoke causes lung
21 cancer?
22 A. Consensus, or when was the first convincing
23 evidence? The first convincing evidence was probably
24 a hundred years ago, but the --
25 Q. Consensus.

1 A. -- the consensus was probably the surgeon
 2 general's report on cigarette smoking and lung cancer
 3 which was in '52, if I remember correctly.
 4 MR. JACOBS: That's all I have for today.
 5 MR. PETERS: You're not going to let me talk
 6 about [REDACTED] and [REDACTED] today?
 7 MS. PAPANTONAKIS: It's my understanding that
 8 the [REDACTED] case has already been discussed fully
 9 with Dr. Segarra, so, no, I'm not.
 10 MR. PETERS: And in response, upon checking
 11 with my office, as of July of 2002, [REDACTED]
 12 had not indicated that he was making a claim
 13 against Celanese as of July of 2002.
 14 MR. WALKER: And Caryn, what are we going to
 15 do about the plaintiffs in the [REDACTED] case, just for
 16 the record?
 17 MS. PAPANTONAKIS: For the record, we will
 18 get another date and reoffer Dr. Segarra for the
 19 [REDACTED] case as well as the [REDACTED] case.
 20 (Witness excused)
 21 (Whereupon, said deposition concluded at 4:45 p.m.)
 22 ---
 23
 24
 25

1 CERTIFICATE
 2 STATE OF MISSISSIPPI
 3 COUNTY OF JACKSON
 4 I, Lynn Strickler, CSR, Freelance Court
 5 Reporter and Notary Public, duly commissioned for the
 6 County of Jackson, State of Mississippi, do hereby
 7 certify:
 8 That on the 18th day of June, 2003, there
 9 appeared before me JAY T. SEGARRA, M.D., who was sworn
 10 and examined to tell the truth, and that the preceding
 11 two hundred seventy-eight (278) typewritten pages
 12 contain a full, true and correct copy of my stenotype
 13 notes and/or electronic tape recording of the testimony
 14 of JAY T. SEGARRA, M.D.
 15 That the witness has chosen to WAIVE the
 16 reading and signing of the deposition.
 17 That I am not related to or in anywise
 18 associated with any of the parties to this cause of
 19 action, or their counsel, and that I am not financially
 20 interested in the same;
 21 IN WITNESS WHEREOF, I have hereunto set my
 22 hand, this 29th day of June, 2003.
 23
 24 _____
 25 Lynn Strickler, CSR No. 1299, Notary Public,
 State of Mississippi, County of Jackson.
 My commission expires 6-12-2004.